

EXHIBIT 39
REDACTED

CONFIDENTIAL

1
2
3
4
5
6
7
8
9
10
11
12
13
14
15
16
17
18
19
20
21
22
23
24
25

IN THE UNITED STATES DISTRICT COURT
FOR THE MIDDLE DISTRICT OF ALABAMA
NORTHERN DIVISION

BRIANNA BOE, et al.,)
)
Plaintiffs,)
)Civil Action No.
UNITED STATES OF AMERICA,)2:22-cv-184-LCB
)
Intervenor Plaintiff,)
)
vs.)
)
HON. STEVE MARSHALL in his)
official capacity as)
Attorney General of the State)
of Alabama, et al.,)
)
Defendants.)
_____)

VIDEO-RECORDED DEPOSITION OF
DAN KARASIC, M.D.
Tuesday, May 7, 2024
Volume I
*** CONFIDENTIAL ***

Reported by:
CARLA SOARES
CSR No. 5908
Job No. 6671384
Pages 1 - 263

CONFIDENTIAL

<p style="text-align: right;">Page 2</p> <p>1 IN THE UNITED STATES DISTRICT COURT 2 FOR THE MIDDLE DISTRICT OF ALABAMA 3 NORTHERN DIVISION 4 5 BRIANNA BOE, et al.,)) 6 Plaintiffs,)) Civil Action No. 7 UNITED STATES OF AMERICA,)2:22-cv-184-LCB) 8 Intervenor Plaintiff,)) 9 vs.)) 10 HON. STEVE MARSHALL in his)) official capacity as) 11 Attorney General of the State)) of Alabama, et al.,) 12)) Defendants.) 13 _____) 14 15 16 VIDEO-RECORDED DEPOSITION OF DAN KARASIC, 17 M.D., Volume I, taken on behalf of Defendants, 18 beginning at 8:58 a.m., and ending at 5:34 p.m., on 19 Tuesday, May 7, 2024, before CARLA SOARES, Certified 20 Shorthand Reporter No. 5908. 21 22 23 24 25</p>	<p style="text-align: right;">Page 4</p> <p>1 APPEARANCES (Continued): 2 3 For the Defendants: 4 COOPER & KIRK PLLC 5 BY: JOHN D. RAMER, Attorney at Law 6 1523 New Hampshire Avenue, NW 7 Washington, DC 20036 8 202.220.9621 9 jramer@cooperkirk.com 10 11 12 For the Witness: 13 COVINGTON & BURLING LLP 14 BY: CORTLIN H. LANNIN, Attorney at Law 15 BY: NOAH S. GOLDBERG, Attorney at Law 16 Salesforce Tower 17 415 Mission Street 18 San Francisco, California 94105 19 415.591.7078 20 clannin@cov.com 21 ngoldberg@cov.com 22 23 24 ALSO PRESENT: Cassia Leet, Video Operator 25 --o0o--</p>
<p style="text-align: right;">Page 3</p> <p>1 APPEARANCES: 2 3 For the Private Plaintiffs: 4 NATIONAL CENTER FOR LESBIAN RIGHTS 5 BY: CHRISTOPHER STOLL, Attorney at Law 6 BY: SHANNON MINTER, Attorney at Law 7 (via Zoom) 8 870 Market Street, Suite 370 9 San Francisco, California 94102 10 415.392.6257 11 cstoll@nclrights.org 12 sminter@nclrights.org 13 14 15 For the United States of America: 16 DEPARTMENT OF JUSTICE CIVIL RIGHTS DIVISION 17 BY: RENEE WILLIAMS, Attorney at Law 18 (via Zoom) 19 150 M Street, NE, 8th Floor 20 Washington, DC 20004 21 205.514.2000 22 renee.williams3@usdoj.gov 23 24 25</p>	<p style="text-align: right;">Page 5</p> <p>1 INDEX 2 WITNESS 3 DAN KARASIC, M.D. EXAMINATION Volume I 4 5 BY MR. RAMER 13 6 BY MR. STOLL 260 7 8 EXHIBITS 9 NUMBER DESCRIPTION PAGE 10 Exhibit 1 48 11 Document entitled "Chapter 18 12 Mental health" 13 14 Exhibit 2 71 15 Document entitled "Standards of 16 Care 8," Bates JHU_000003256 - 3262 17 18 Exhibit 3 89 19 Document entitled "Appendix A 20 Methodology" 21 22 Exhibit 4 119 23 Expert Rebuttal Report of Dan H. 24 Karasic, M.D. 25</p>

CONFIDENTIAL

Page 6			Page 8		
1	EXHIBITS		1	EXHIBITS	
2	NUMBER	DESCRIPTION	2	NUMBER	DESCRIPTION
3	Exhibit 5	140	3	Exhibit 15	215
4	Document entitled "Chapter 6		4	Email string, top email to	
5	Adolescents"		5	WPATH EC from [redacted], dated	
6			6	2-12-17, Bates BOEAL_WPATH_101671 - 1672	
7	Exhibit 6	158	7		
8	Document entitled "Consensus		8	Exhibit 16	219
9	Parameter: Research Methodologies		9	Document containing numbered paragraphs,	
10	to Evaluate Neurodevelopmental Effects		10	Bates BOEAL_WPATH_143750 - 3751	
11	of Pubertal Suppression in		11		
12	Transgender Youth"		12	Exhibit 17	222
13			13	Private Plaintiffs' Supplemental	
14	Exhibit 7	162	14	Rule 26 Disclosures	
15	Document entitled "Gender Dysphoria		15		
16	in Adults: An Overview and Primer		16	Exhibit 18	224
17	for Psychiatrists"		17	Email string, top email to Walter	
18			18	Bouman from Asa Radix, dated 6-14-22,	
19	Exhibit 8	195	19	Bates BOEAL_WPATH_105494 - 5498	
20	Facebook post, dated 2-4-17		20		
21			21	Exhibit 19	226
22	Exhibit 9	197	22	Email to Melanie Bird from Emily G.	
23	Email string, top email to [redacted]		23	Gean, dated 12-29-20, Bates HHS-0153399	
24	from [redacted], dated 6-7-22,		24		
25	Bates BOEAL_WPATH_064098 - 4099		25		
Page 7			Page 9		
1	EXHIBITS		1	EXHIBITS	
2	NUMBER	DESCRIPTION	2	NUMBER	DESCRIPTION
3	Exhibit 10	202	3	Exhibit 20	227
4	Video labeled "Karasic_Dep_		4	Document entitled "Treatments for	
5	Video1.mp4"		5	Gender Dysphoria in Transgender	
6			6	Youth Topic Nomination,"	
7	Exhibit 11	205	7	Bates HHS-0153400 - 3402	
8	Video labeled "Karasic_Dep_		8		
9	Video2.mov"		9	Exhibit 21	229
10			10	Email string, top email to Karen	
11	Exhibit 12	206	11	Robinson from Christine Chang, dated	
12	Video labeled "Karasic_Dep_		12	9-1-20, Bates HHS-0153484 - 3487	
13	Video3.mov"		13		
14			14	Exhibit 22	243
15	Exhibit 13	207	15	Document entitled "SOC 8 Mental	
16	Document entitled "A message from		16	Health chapter in Clinical Practice,"	
17	the WPATH Executive Committee"		17	Bates BOEAL_WPATH_139861 - 9873	
18			18		
19	Exhibit 14	212	19	Exhibit 23	253
20	Email string, top email to [redacted]		20	Document entitled "Initial Clinical	
21	from [redacted], dated 2-13-17,		21	Guidelines for Co-Occurring Autism	
22	Bates BOEAL_KARASIC_000008 - 0010		22	Spectrum Disorder and Gender	
23			23	Dysphoria or Incongruence in	
24			24	Adolescents"	
25			25	--o0o--	

CONFIDENTIAL

<p style="text-align: right;">Page 10</p> <p>1 REFERENCED EXHIBITS</p> <p>2 (None.)</p> <p>3</p> <p>4</p> <p>5</p> <p>6</p> <p>7 INSTRUCTIONS NOT TO ANSWER</p> <p>8 (None.)</p> <p>9</p> <p>10 --o0o--</p> <p>11</p> <p>12</p> <p>13</p> <p>14</p> <p>15</p> <p>16</p> <p>17</p> <p>18</p> <p>19</p> <p>20</p> <p>21</p> <p>22</p> <p>23</p> <p>24</p> <p>25</p>	<p style="text-align: right;">Page 12</p> <p>1 The court reporter is Carla Soares, from the firm</p> <p>2 Veritext Legal Solutions.</p> <p>3 I am not related to any party in this</p> <p>4 action, nor am I financially interested in the</p> <p>5 outcome.</p> <p>6 Would counsel and all present, including</p> <p>7 remotely, please state your appearances and</p> <p>8 affiliations for the record, beginning with the</p> <p>9 noticing attorney.</p> <p>10 MR. RAMER: John Ramer, from the law firm</p> <p>11 Cooper & Kirk, on behalf of defendants.</p> <p>12 MR. LANNIN: Cortlin Lannin, of Covington</p> <p>13 & Burling, representing the witness, Dr. Dan</p> <p>14 Karasic, in his capacity as a fact witness. I'm</p> <p>15 joined this morning by my colleague Noah Goldberg,</p> <p>16 also of Covington.</p> <p>17 MR. STOLL: Christopher Stoll, of the</p> <p>18 National Center for Lesbian Rights, for the private</p> <p>19 plaintiffs.</p> <p>20 THE VIDEO OPERATOR: Remote counsel?</p> <p>21 MR. MINTER: Shannon Minter, also with</p> <p>22 NCLR, for the private plaintiffs.</p> <p>23 MS. WILLIAMS: Good morning. Renee</p> <p>24 Williams for the United States.</p> <p>25 THE VIDEO OPERATOR: Thank you.</p>
<p style="text-align: right;">Page 11</p> <p>1 San Francisco, California</p> <p>2 Tuesday, May 7, 2024</p> <p>3 8:58 a.m.</p> <p>4</p> <p>5 P R O C E E D I N G S</p> <p>6 THE VIDEO OPERATOR: Good morning. We are</p> <p>7 going on the record at 8:58 a.m. on May 7th, 2024.</p> <p>8 Please note that the microphones are</p> <p>9 sensitive and may pick up whispering and private</p> <p>10 conversations. Audio- and video-recording will</p> <p>11 continue to take place unless all parties agree to</p> <p>12 go off the record.</p> <p>13 This is Media Unit 1 of the video-recorded</p> <p>14 deposition of Dan Karasic, M.D., taken by counsel</p> <p>15 for defendants, in the matter of Brianna Boe,</p> <p>16 et al., Plaintiff, and United States of America,</p> <p>17 Intervenor Plaintiff, vs. Honorable Steve Marshall,</p> <p>18 in his official capacity of Attorney General of the</p> <p>19 State of Alabama, et al., filed in the United States</p> <p>20 District Court for the Middle District of Alabama,</p> <p>21 Northern Division, Civil Action No. 2:22-cv-184-LCB.</p> <p>22 The location of the deposition is 415 Mission</p> <p>23 Street, San Francisco, California 94105.</p> <p>24 My name is Cassia Leet, representing</p> <p>25 Veritext Legal Solutions, and I am the videographer.</p>	<p style="text-align: right;">Page 13</p> <p>1 Would the court reporter please swear in</p> <p>2 the witness, and then counsel you may proceed.</p> <p>3 DAN KARASIC, M.D.,</p> <p>4 having been administered an oath, was examined and</p> <p>5 testified as follows:</p> <p>6 EXAMINATION</p> <p>7 BY MR. RAMER:</p> <p>8 Q Good morning, Dr. Karasic.</p> <p>9 A Good morning.</p> <p>10 Q My name is John Ramer. I represent the</p> <p>11 defendants. And I know you've been deposed several</p> <p>12 times before. This will be the normal drill.</p> <p>13 I'll try to take a break about every hour,</p> <p>14 but if at any point you need a break, just let me</p> <p>15 know. And the only request is that you would answer</p> <p>16 any pending questions.</p> <p>17 If you don't understand a question, just</p> <p>18 let me know, and I'll try to rephrase it.</p> <p>19 Otherwise, I'll assume you understand it.</p> <p>20 Does that all make sense?</p> <p>21 A Yes.</p> <p>22 Q Great.</p> <p>23 MR. LANNIN: Counsel, I wonder -- before</p> <p>24 you get started, as we discussed earlier,</p> <p>25 Dr. Karasic is here both in a fact witness capacity</p>

CONFIDENTIAL

<p style="text-align: right;">Page 14</p> <p>1 and in his capacity as an expert witness in this 2 litigation. And I'm here to represent him in his 3 fact capacity, and Mr. Stoll here is here on behalf 4 of the plaintiffs to represent Dr. Karasic in his 5 expert capacity. 6 To minimize disruptions to the record, 7 I'll endeavor to object to form when necessary. And 8 can we agree that an objection on one party's behalf 9 is an objection for all? 10 MR. RAMER: Yes. 11 MR. STOLL: Agreed. 12 MR. LANNIN: Very good. 13 BY MR. RAMER: 14 Q Dr. Karasic, are you an expert? 15 A Yes, on this subject matter. 16 Q And when you say "this subject 17 matter," what do you mean by that? 18 A I would say I'm an expert on healthcare 19 provision for transgender people. 20 Q Any other areas in which you're an expert? 21 A Yes. I'm a psychiatrist, a consultation 22 liaison psychiatrist in terms of my career 23 initially, and so I think I have broader expertise 24 in psychiatry as a professor emeritus of 25 psychiatrist at UCSF.</p>	<p style="text-align: right;">Page 16</p> <p>1 adults. So they're -- it is distinguished in 2 that -- in that way. 3 Q Is it fair to say that the beginning of 4 puberty is often described as the beginning of 5 Tanner Stage 2? 6 MR. LANNIN: Object to the form. 7 THE WITNESS: So it's fair to describe 8 Tanner Stage 2 as the onset of puberty, yes. 9 BY MR. RAMER: 10 Q And so you said there were separate 11 diagnoses for children and adolescents. So how do 12 you determine which diagnosis is applicable to a 13 particular patient? 14 A So that is prior to the onset of puberty 15 versus at Tanner Stage 2 or afterwards. 16 Q And so today, if -- let me start again. 17 Unless you or I say otherwise, if we use 18 the term "children," is it fair to say we're talking 19 about prepubertal individuals? 20 A Yes. 21 Q And if we use the term "adolescents," is 22 it fair to say we're talking about individuals 23 between the beginning of puberty and the age of 24 majority? 25 A Yes. Yes.</p>
<p style="text-align: right;">Page 15</p> <p>1 Q Any other fields? 2 A Other than -- I would say more broadly in 3 transgender health, and then in psychiatry. 4 Q Are you an expert in the treatment of 5 gender dysphoria in children? 6 A So I am a -- an expert in the care of 7 children, adolescents; adolescents in terms of my 8 clinical practice, my years as a psychiatrist for 9 the Dimensions Clinic for trans youth, where I was a 10 psychiatrist for 17 years. And that clinic saw 11 patients from 12 to 25, and also in my own faculty 12 practice and private practice where I saw some 13 people who were younger even than 12. 14 Q And in this field, do you agree that there 15 is a distinction between children and adolescents? 16 A Yes. 17 MR. LANNIN: Object to the form. 18 THE WITNESS: Yes. 19 BY MR. RAMER: 20 Q And what is that distinction? 21 A So medical treatment is first contemplated 22 at the start of puberty. And so with that, 23 diagnostically, there's a separate diagnosis for 24 gender dysphoria in prepubertal children and one 25 in -- another diagnosis for those in adolescence and</p>	<p style="text-align: right;">Page 17</p> <p>1 Q And so are you -- are you an expert in the 2 treatment of gender dysphoria in children along the 3 lines we've just described? 4 A My clinical practice does not extend to 5 prepubertal children. 6 Usually when I -- when I see patients, 7 they are at Tanner Stage 2 or older. And -- but I 8 do have expertise more broadly as somebody who has 9 been in the field of transgender health for many 10 decades, and have been involved in conferences and 11 publications. 12 For example, on -- I edited a book about 13 questions for DSM-V that was -- that were articles 14 written early on in the stage of discussion of how 15 the gender identity diagnoses of DSM-IV might be 16 changed, and those included the diagnosis for 17 prepubertal children. 18 So I have -- I have academic expertise 19 there. I don't have -- my clinical practice isn't 20 young children. 21 Q And so your clinical practice involves 22 only adolescents or older patients; is that right? 23 A Yes. 24 Q But you have published in the field of 25 gender dysphoria in children specifically?</p>

CONFIDENTIAL

<p style="text-align: right;">Page 18</p> <p>1 A I have -- I edited a book which included 2 discussion on the DSM diagnoses of -- for gender 3 identity disorder in children, and I was involved in 4 meetings and discussions with WPATH and the American 5 Psychiatric Association and the World Health 6 Organization about the diagnoses for children as 7 well as for adults.</p> <p>8 Q Would you say that your relationship to 9 WPATH is relevant to the expertise that you're 10 testifying about in this case?</p> <p>11 MR. LANNIN: Object to the form.</p> <p>12 THE WITNESS: Yes.</p> <p>13 BY MR. RAMER:</p> <p>14 Q And I understand that your clinical 15 practice does not currently have -- let me start 16 again.</p> <p>17 Your clinical practice does not currently 18 extend to children with gender dysphoria.</p> <p>19 Have you ever treated a child for gender 20 dysphoria?</p> <p>21 MR. LANNIN: Object to the form.</p> <p>22 THE WITNESS: So the youngest people I've 23 treated were right at the start of Tanner Stage 2.</p> <p>24 So in my faculty practice, I may have seen 25 people as young as 10 or 11.</p>	<p style="text-align: right;">Page 20</p> <p>1 hormones, but I was seeing people for mental health 2 issues that they -- that they had.</p> <p>3 Q Just to make sure I understand, is your 4 role as the psychiatrist actually prescribing the 5 medication or recommending it?</p> <p>6 MR. LANNIN: Object to the form.</p> <p>7 THE WITNESS: So I prescribe psychiatric 8 medication. I don't prescribe puberty blockers or 9 hormones.</p> <p>10 BY MR. RAMER:</p> <p>11 Q What would you describe what you do with 12 respect to puberty blockers or hormones for your 13 patients?</p> <p>14 MR. LANNIN: Object to the form.</p> <p>15 THE WITNESS: So, mostly I have -- I'm 16 part of a treatment team which varies in 17 different -- over different settings.</p> <p>18 So the Dimensions Clinic was a clinic in 19 faculty practice. In private practice, it's kind of 20 a more virtual team, where most patients have a 21 psychotherapist, a psychiatrist, and a pediatrician 22 or pediatric endocrinologist or internist if they're 23 over -- 18 and over.</p> <p>24 BY MR. RAMER:</p> <p>25 Q And so what do you do in the context of</p>
<p style="text-align: right;">Page 19</p> <p>1 My practice at Dimensions Clinic was only 2 12 to 25 years old because that was the age range 3 for people to be able to receive care at that 4 clinic.</p> <p>5 BY MR. RAMER:</p> <p>6 Q And when did you first begin treating 7 adolescents for gender dysphoria?</p> <p>8 A So I was hired to be the psychiatrist for 9 the Dimensions Clinic in 2003, and -- but as early 10 as the 1990s, in my faculty practice, I was seeing 11 some adolescents with gender dysphoria.</p> <p>12 Q Were you seeing them for treatment of 13 gender dysphoria?</p> <p>14 A So I was not seeing them for medical 15 treatment of gender dysphoria, but I was seeing 16 people as their psychiatrist or for mental health 17 reasons who were being treated medically for gender 18 dysphoria.</p> <p>19 Q And when you say you weren't "seeing them 20 for medical treatment of gender dysphoria," what do 21 you mean by that?</p> <p>22 A I wasn't -- I wasn't prescribing hormones 23 or puberty blockers. Back then it was just 24 hormones.</p> <p>25 So I wasn't the person prescribing</p>	<p style="text-align: right;">Page 21</p> <p>1 that team?</p> <p>2 A So I am addressing mental health issues 3 with the -- with the patient, and consulting and 4 working with the team that is providing other 5 aspects of care.</p> <p>6 Q Are you ever the member of the team who is 7 recommending hormone treatment?</p> <p>8 MR. LANNIN: Object to the form.</p> <p>9 THE WITNESS: So sometimes I will have a 10 patient where there is either a mental health 11 assessment that is needed or discussion in terms of 12 psychiatric stability, or sometimes there's a letter 13 required. It can be different in different 14 settings.</p> <p>15 But I communicate to the person who's 16 providing medical care, and also communicate with 17 the psychotherapist if there's a separate 18 psychotherapist about -- about recommendations for 19 care.</p> <p>20 The recommendation, you know, can come 21 from different parts of the team, but I can end up 22 assuming kind of some different roles, very often 23 about mental health stability, sometimes in terms of 24 a primary person that I've worked with in terms of 25 their eligibility per standards of care for medical</p>

CONFIDENTIAL

<p style="text-align: right;">Page 22</p> <p>1 treatment.</p> <p>2 BY MR. RAMER:</p> <p>3 Q So you said the recommendation can come</p> <p>4 from different parts of the team. Does the</p> <p>5 recommendation ever come from you?</p> <p>6 MR. LANNIN: Object to the form.</p> <p>7 THE WITNESS: Yes. It's typically not</p> <p>8 my -- my saying to the patient, "I recommend that</p> <p>9 it's time to start hormones or puberty blocker."</p> <p>10 They've often been seen, you know, in</p> <p>11 therapy, and sometimes then, at some point, they</p> <p>12 might get a referral to a pediatric endocrinologist.</p> <p>13 And the pediatric endocrinologist might</p> <p>14 want my opinion, and I might speak with a therapist,</p> <p>15 and include my own experience with the patient in</p> <p>16 communicating that to the pediatric endocrinologist.</p> <p>17 BY MR. RAMER:</p> <p>18 Q Do you diagnose gender dysphoria in</p> <p>19 adolescents?</p> <p>20 A Yes.</p> <p>21 Q And then do you ever assess the adolescent</p> <p>22 patient to determine whether hormone therapy would</p> <p>23 be an appropriate treatment for the gender</p> <p>24 dysphoria?</p> <p>25 A Yes.</p>	<p style="text-align: right;">Page 24</p> <p>1 recommended puberty blockers as a treatment for</p> <p>2 gender dysphoria in a patient?</p> <p>3 A So that was later. In the early part of</p> <p>4 my practice, puberty blockers weren't available, and</p> <p>5 adolescents would, though, get started on hormones.</p> <p>6 So I would guess that that was in the</p> <p>7 late -- late 2000s. It would have been in my</p> <p>8 faculty practice, I think.</p> <p>9 Typically, though I worked at various</p> <p>10 times with the Child and Adolescent Gender Center at</p> <p>11 UCSF, I wasn't typically the person doing the</p> <p>12 evaluation for puberty blockers. It was more often</p> <p>13 for hormones, but there were instances that I did.</p> <p>14 Q When you say "late 2000s," what do you</p> <p>15 mean by that?</p> <p>16 A So -- well, I'm trying to think.</p> <p>17 So the Child and Adolescent Gender Center</p> <p>18 didn't start until -- officially until 2012, but</p> <p>19 there were pediatric endocrinologists as early as</p> <p>20 maybe 2009 that were prescribing puberty blockers at</p> <p>21 UCSF.</p> <p>22 So it would be somewhere in that early</p> <p>23 period. I guess it could be between 2009 and 2012</p> <p>24 in my -- via my faculty practice.</p> <p>25 The people at the Dimensions Clinic did</p>
<p style="text-align: right;">Page 23</p> <p>1 Q And then do you reach a conclusion that it</p> <p>2 would be appropriate?</p> <p>3 MR. LANNIN: Object to the form.</p> <p>4 You can answer.</p> <p>5 THE WITNESS: Yes. So it would be</p> <p>6 appropriate in -- right. Yes.</p> <p>7 BY MR. RAMER:</p> <p>8 Q And then who do you relay that decision</p> <p>9 to?</p> <p>10 MR. LANNIN: Object to the form.</p> <p>11 THE WITNESS: So in that particular case,</p> <p>12 it can be to the pediatric endocrinologist or</p> <p>13 pediatrician who might be prescribing the medical</p> <p>14 intervention.</p> <p>15 BY MR. RAMER:</p> <p>16 Q And when was the first time that you</p> <p>17 recommended hormone therapy to treat gender</p> <p>18 dysphoria in an adolescent?</p> <p>19 A It would -- I would say probably in the</p> <p>20 early 2000s.</p> <p>21 Q And have you ever recommended puberty</p> <p>22 blockers as a treatment for gender dysphoria in a</p> <p>23 patient?</p> <p>24 A Yes.</p> <p>25 Q And when was the first time you</p>	<p style="text-align: right;">Page 25</p> <p>1 start cooperating or working with the Child and</p> <p>2 Adolescent Gender Center, but that wasn't until a</p> <p>3 little bit later.</p> <p>4 Q And so approximately sometime between 2009</p> <p>5 and 2012 was the first time you recommended puberty</p> <p>6 blockers as a treatment for gender dysphoria; is</p> <p>7 that right?</p> <p>8 MR. LANNIN: Object to the form.</p> <p>9 THE WITNESS: Yeah, that would be my</p> <p>10 recollection.</p> <p>11 BY MR. RAMER:</p> <p>12 Q And for recommending hormone therapy for</p> <p>13 adolescents, did I hear you correctly, you said</p> <p>14 early 2000s?</p> <p>15 A Yes. So the -- I became the psychiatrist</p> <p>16 at the -- at the Dimensions Clinic for trans youth</p> <p>17 that -- even though you often see, like, a history</p> <p>18 of pediatric gender care, just going back to Norm</p> <p>19 Spack at Boston Children's, the Dimensions Clinic</p> <p>20 has been providing care for trans adolescents since</p> <p>21 the 1990s, and I was hired as a psychiatrist in</p> <p>22 2003.</p> <p>23 Q And so when you say "early 2000s," we're</p> <p>24 talking, approximately 2003 would have been the</p> <p>25 first time you recommended hormone therapy to treat</p>

CONFIDENTIAL

<p style="text-align: right;">Page 26</p> <p>1 gender dysphoria in an adolescent?</p> <p>2 A Well, before that, I may well have seen</p> <p>3 adolescents -- I mean, I got hired from them because</p> <p>4 I was already seeing adolescents in my faculty</p> <p>5 practice.</p> <p>6 And so -- so I can't say precisely the</p> <p>7 year, but it was in that -- in that early 2000s</p> <p>8 period, I would say.</p> <p>9 Q So approximately 2003 would have been the</p> <p>10 first time that you recommended hormone therapy to</p> <p>11 treat gender dysphoria in an adolescent, correct?</p> <p>12 MR. LANNIN: Object to the form.</p> <p>13 THE WITNESS: Certainly through the</p> <p>14 Dimensions Clinic. It's possible I -- in my faculty</p> <p>15 practice, I would guess that I had made those</p> <p>16 recommendations beforehand because I was already</p> <p>17 kind of known when they hired me as the</p> <p>18 psychiatrist.</p> <p>19 BY MR. RAMER:</p> <p>20 Q And so you're saying that, if anything,</p> <p>21 it's -- earlier than 2003 could have been the first</p> <p>22 time?</p> <p>23 MR. LANNIN: Object to the form.</p> <p>24 THE WITNESS: It's possible. I don't</p> <p>25 recall.</p>	<p style="text-align: right;">Page 28</p> <p>1 were just being started on hormones as their first</p> <p>2 intervention.</p> <p>3 Q I guess, just to kind of understand a</p> <p>4 ballpark, I mean, are we talking -- have you</p> <p>5 recommended puberty blockers as a treatment for</p> <p>6 gender dysphoria in over 50 patients?</p> <p>7 A It's hard for me to say. It may well be,</p> <p>8 when we're talking about puberty blockers, fewer</p> <p>9 than that. But it would be hard for me to give a</p> <p>10 number.</p> <p>11 As I said, I would say more often over the</p> <p>12 course of my career, people were either already on</p> <p>13 puberty blockers, or we were recommending</p> <p>14 recommendations before puberty blockers were widely</p> <p>15 available.</p> <p>16 But I did recommend people for puberty</p> <p>17 blockers over the course of those years,</p> <p>18 particularly in my faculty practice, and then</p> <p>19 private practice.</p> <p>20 Q Would you say that you've recommended</p> <p>21 puberty blockers as a treatment for gender dysphoria</p> <p>22 in more than 10 patients?</p> <p>23 A Yes.</p> <p>24 Q More than 20?</p> <p>25 A Yes.</p>
<p style="text-align: right;">Page 27</p> <p>1 BY MR. RAMER:</p> <p>2 Q But it was not after 2003 that was the</p> <p>3 first time that you recommended hormone therapy to</p> <p>4 treat gender dysphoria in an adolescent, correct?</p> <p>5 MR. LANNIN: Object to the form.</p> <p>6 THE WITNESS: It's hard for me to remember</p> <p>7 specific patients, but I started in a clinic that</p> <p>8 was seeing adolescents and young adults.</p> <p>9 And at that time, we did see adolescents</p> <p>10 who had started hormones on their own and then moved</p> <p>11 to San Francisco. And then the pediatricians, the</p> <p>12 family practitioners, started -- you know, often</p> <p>13 were starting the provision of hormones in a</p> <p>14 monitored way for people who had already started</p> <p>15 them.</p> <p>16 BY MR. RAMER:</p> <p>17 Q Approximately how many patients have you</p> <p>18 had for whom you've recommended puberty blockers as</p> <p>19 a treatment for gender dysphoria?</p> <p>20 A I don't know if I can say a number because</p> <p>21 I've been working over so many years.</p> <p>22 But I would say more commonly, I had</p> <p>23 gotten involved with a patient after they were</p> <p>24 already on puberty blockers, or I had patients,</p> <p>25 before puberty blockers were routinely given, who</p>	<p style="text-align: right;">Page 29</p> <p>1 Q More than 30?</p> <p>2 A You know, then I would just -- I just</p> <p>3 never really thought about how many. And I've seen</p> <p>4 so many patients over so many years.</p> <p>5 There was a time when there were</p> <p>6 relatively few practitioners, and -- but puberty</p> <p>7 blockers weren't so -- there were many young people</p> <p>8 that I saw for hormones, and then puberty blockers</p> <p>9 weren't really available until UCSF pediatric</p> <p>10 endocrinology started prescribing them in the late</p> <p>11 2000s before the multidisciplinary clinic was set</p> <p>12 up.</p> <p>13 And then I was asked to be involved on the</p> <p>14 steering committee to set up the Child and</p> <p>15 Adolescent Gender Clinic, and that was probably</p> <p>16 around in 2009 or 2010.</p> <p>17 And then the clinic officially didn't have</p> <p>18 its first meeting until 2012, but there were a lot</p> <p>19 of meetings about the provision of puberty blockers</p> <p>20 in that period, I'd say, between 2010 and 2012,</p> <p>21 including Norm Spack, who had -- was the original</p> <p>22 prescriber, the person who started the first clinic</p> <p>23 in the U.S. at Boston Children's, came out to</p> <p>24 San Francisco, and we met with him in 20- --</p> <p>25 probably 2010 is my guess.</p>

CONFIDENTIAL

<p style="text-align: right;">Page 30</p> <p>1 And then I was involved in kind of 2 organizing a set of therapists who worked with 3 transgender -- or gender-diverse youth who -- and 4 that was -- that's a group led by Diane Ehrensaft. 5 So that was also in that period. 6 I remember doing a presentation with Diane 7 Ehrensaft in 2009. So in that period between 2009 8 and 2012, there was a lot of discussion about 9 setting up services for people who might receive 10 puberty blockers as well as hormones. 11 Q Why were you mentioning all that 12 background? 13 MR. LANNIN: Object to the form. 14 THE WITNESS: I was just -- you were 15 trying to relate, like, how -- I was trying to maybe 16 kind of talk through the -- evaluating people for 17 puberty blockers and the timeline for that, because 18 puberty blockers weren't widely available until 19 probably 2009, and then -- but there wasn't a 20 structured clinic until 2012. 21 So I was -- I've been in practice for a 22 long time, and so the years are arbitrary things. 23 So I was just kind of talking through when those 24 services were set up to provide puberty blockers in 25 San Francisco.</p>	<p style="text-align: right;">Page 32</p> <p>1 A So a lot of times it's -- I would say most 2 commonly there's a psychotherapist who's doing it, 3 and the person -- it's a patient who has a 4 psychotherapist and a psychiatrist, and then there's 5 a letter that's going to the pediatrician or 6 pediatric endocrinologist, or at Dimensions there 7 was often a family practice doctor who took care of 8 kids. 9 Q Have you ever recommended surgery as a 10 treatment for gender dysphoria in an adolescent? 11 A I have recommended -- I have recommended 12 chest surgery, mastectomy, in adolescents. 13 Q Any other surgeries? 14 A No. 15 Q What age was the youngest patient for whom 16 you've recommended surgery as a treatment for gender 17 dysphoria? 18 A So for an adolescent, probably 13 or 14 19 was the earliest. Typically, my patients have 20 received chest surgery later in adolescence. 21 Q And when was the first time you 22 recommended surgery as a treatment for gender 23 dysphoria in an adolescent? 24 A Good question. 25 We didn't have -- in the early years of</p>
<p style="text-align: right;">Page 31</p> <p>1 BY MR. RAMER: 2 Q And approximately how many minor patients 3 have you had for whom you've recommended hormone 4 therapy as a treatment for gender dysphoria? 5 MR. LANNIN: Object to the form. 6 THE WITNESS: So -- well, I've certainly 7 taken care of many hundreds of adolescents over the 8 years, but I don't -- I don't know if I could say 9 how many I wrote the letter for them starting 10 hormones versus their psychotherapist, because there 11 were many of them who also saw a psychotherapist, 12 and the psychotherapist wrote the letter. 13 But I was involved with the treating team, 14 as well as at the Dimensions Clinic where there was 15 a group of therapists that I would meet with and 16 where we would discuss cases, as well as with the 17 hormone prescriber. 18 BY MR. RAMER: 19 Q And so you've treated over hundreds of 20 adolescents for gender dysphoria. And is your point 21 that it's not always some clear distinction of 22 who's -- what individual on the team is doing the 23 recommending? Is that -- 24 A Right. 25 Q Okay.</p>	<p style="text-align: right;">Page 33</p> <p>1 the Dimensions Clinic, there wasn't wide access to 2 surgery for those who needed chest surgery, but 3 there were a few people who did get it privately. 4 I'm just trying to think of specific 5 patients. But I'll just -- I don't remember a year. 6 Q And when you first began recommending 7 hormone therapy as a treatment for gender dysphoria 8 in adolescents back in the early 2000s, what 9 evidence were you relying on? 10 MR. LANNIN: Object to the form. 11 THE WITNESS: So there -- so we obviously 12 had far less evidence, but there were many 13 adolescents who were already benefiting from 14 hormones. 15 So the circumstance I can recall the most 16 from that time was adolescents who had -- who had 17 originally self-treated or had gotten hormones on 18 their own, and then presented with -- to the clinic. 19 There was a pretty robust mental health 20 component, and so they would work with the 21 therapists. 22 And there was -- I think the initial 23 treatment was really, even before I started there, 24 from the 1990s, from the Tom Waddell Clinic, of kind 25 of harm reduction, of providing supervised hormones.</p>

CONFIDENTIAL

<p style="text-align: right;">Page 34</p> <p>1 And then at some point, particularly</p> <p>2 adolescents in later adolescence would come to the</p> <p>3 clinic seeking initiation of hormones, and they</p> <p>4 would meet with therapists there and then initiate</p> <p>5 hormones. And that preceded the availability of</p> <p>6 puberty blockers.</p> <p>7 BY MR. RAMER:</p> <p>8 Q And so in the early 2000s, in your</p> <p>9 opinion, there was sufficient evidence to conclude</p> <p>10 that giving adolescents hormone therapy was</p> <p>11 effective for treating gender dysphoria, correct?</p> <p>12 MR. LANNIN: Object to the form.</p> <p>13 THE WITNESS: So we used the information</p> <p>14 we had. There already was a long history of people,</p> <p>15 even adolescents, on hormones.</p> <p>16 You know, the famous case of Agnes Torres</p> <p>17 who started treating herself with her mother's</p> <p>18 hormones in 1951 or '52, and then that was very</p> <p>19 well-documented, although they originally thought</p> <p>20 that she had -- that she was intersex because she</p> <p>21 didn't tell them. But they did -- she got</p> <p>22 vaginoplasty in 1959 at UCLA.</p> <p>23 And I had -- did my psychiatry residency</p> <p>24 training at UCLA and was just kind of aware of the</p> <p>25 long history of that care being provided. And it</p>	<p style="text-align: right;">Page 36</p> <p>1 by 2011, they had published. But in 2009 at the</p> <p>2 WPATH conference in Oslo, they discussed their</p> <p>3 experience pretty -- the Dutch group -- pretty</p> <p>4 extensively, from my recollection.</p> <p>5 BY MR. RAMER:</p> <p>6 Q And when you say "information was shared</p> <p>7 with pediatric endocrinology at UCSF," who are you</p> <p>8 referring to as sharing information?</p> <p>9 MR. LANNIN: Object to the form.</p> <p>10 You can answer.</p> <p>11 THE WITNESS: So between the Dutch group,</p> <p>12 I remember Annelou de Vries coming to San Francisco,</p> <p>13 who published the 2011 and 2014 publications on</p> <p>14 their group, and the pediatric endocrinologist was</p> <p>15 Steven Rosenthal, who had been the pediatric</p> <p>16 endocrinologist for people with disorders of sexual</p> <p>17 development before starting to take care of trans</p> <p>18 kids, and so would provide puberty blockers for</p> <p>19 people with precocious puberty before doing that</p> <p>20 care for trans youth. And so he was already</p> <p>21 familiar with the provision of that care.</p> <p>22 And then there was the information that</p> <p>23 this was helping youth in the Netherlands.</p> <p>24 BY MR. RAMER:</p> <p>25 Q And so in your opinion, in the late 2000s,</p>
<p style="text-align: right;">Page 35</p> <p>1 was clear that there were adolescents who had</p> <p>2 received hormones and benefited from it, even though</p> <p>3 the evidence base obviously was much less than we</p> <p>4 have now.</p> <p>5 BY MR. RAMER:</p> <p>6 Q But you would not have recommended hormone</p> <p>7 therapy as a treatment for gender dysphoria in</p> <p>8 adolescents if you did not think there was</p> <p>9 sufficient evidence to conclude that it was</p> <p>10 effective, correct?</p> <p>11 MR. LANNIN: Object to the form.</p> <p>12 THE WITNESS: Correct. Yes.</p> <p>13 BY MR. RAMER:</p> <p>14 Q And when you first recommended puberty</p> <p>15 blockers as a treatment for gender dysphoria in the</p> <p>16 late 2000s, what evidence were you relying on?</p> <p>17 MR. LANNIN: Object to the form.</p> <p>18 THE WITNESS: So -- so the evidence at</p> <p>19 that point was evidence that was being used</p> <p>20 successfully in Amsterdam, and then in Boston. And</p> <p>21 then that information was shared with pediatric</p> <p>22 endocrinology at UCSF.</p> <p>23 And -- so it was information from the</p> <p>24 Dutch clinic and their clinical experience, as well</p> <p>25 as, I believe, their early publication. Certainly</p>	<p style="text-align: right;">Page 37</p> <p>1 there was sufficient evidence to conclude that</p> <p>2 giving adolescents puberty blockers was effective</p> <p>3 for treating gender dysphoria, correct?</p> <p>4 MR. LANNIN: Object to the form.</p> <p>5 THE WITNESS: Well, it was certainly true</p> <p>6 that we welcomed more research as it came about, but</p> <p>7 there was certainly promising research of the</p> <p>8 benefits that had been going on for quite a number</p> <p>9 of years in the Netherlands. And so there was the</p> <p>10 start of people getting that care in San Francisco.</p> <p>11 BY MR. RAMER:</p> <p>12 Q When you say "the start of people getting</p> <p>13 that care in San Francisco," what are you referring</p> <p>14 to?</p> <p>15 A People getting -- youth getting puberty</p> <p>16 blockers through pediatric endocrinology at UCSF in</p> <p>17 the late 2000s, and then leading to the opening of a</p> <p>18 formal interdisciplinary clinic in 2012.</p> <p>19 Q And you mentioned you served as the</p> <p>20 psychiatrist for the Dimensions Clinic; is that</p> <p>21 right?</p> <p>22 A Yes.</p> <p>23 Q And what age group did that clinic serve?</p> <p>24 A Ages 12 to 25.</p> <p>25 Q Why did the clinic provide care up to age</p>

CONFIDENTIAL

<p style="text-align: right;">Page 38</p> <p>1 25? 2 MR. LANNIN: Object to the form. 3 You can answer. 4 THE WITNESS: So, many youth clinics 5 provide care for adolescents into young adults. So 6 I just -- I think -- I don't know why they picked 7 those specific ages, but it's not unusual to see 8 youth clinics that span between providing care to 9 adolescents and to young adults. 10 BY MR. RAMER: 11 Q Do you think 18- to 24-year-olds should be 12 treated as adolescents rather than adults with 13 respect to gender-affirming care? 14 MR. LANNIN: Object to the form. 15 THE WITNESS: So there are recommendations 16 that are specific to age, but I think that there are 17 youth who are more or less mature. 18 So one has to certainly pick an age. And 19 generally, for legal capacity to consent to care 20 generally, that age has been 18. 21 On the other hand, in the Dimensions 22 Clinic, there was a substantial provision of 23 psychotherapy and mental health care for people in, 24 you know, also 18 to 25, recognizing that there were 25 young people who needed mental health support or</p>	<p style="text-align: right;">Page 40</p> <p>1 bio-psychosocial -- that's how it's often 2 described -- understanding and evaluation of -- of 3 the patient. And that there is variability as 4 people get older in terms of their own understanding 5 of themselves, such that it may well be clinically 6 advisable for young adults to -- to have more care 7 around transition. 8 But they're typically -- you know, because 9 ages are set in terms of things like legal capacity, 10 18 is -- you know, is the divider in that way, and 11 people generally, at 18, are considered to have the 12 capacity to consent on their own for medical care. 13 BY MR. RAMER: 14 Q When you say clinicians can use their 15 individual judgment with a patient, does that mean 16 that a clinician could have a 22-year-old patient 17 and determine that the clinician is going to use the 18 requirements from the "Adolescents" chapter? 19 MR. LANNIN: Object to the form. 20 THE WITNESS: So, you know, again, they're 21 practice guidelines. And so I wouldn't describe 22 them as requirements for a 22-year-old, but there 23 are 22-year olds who would benefit from more time, 24 you know, kind of understanding gender identity and, 25 you know, what they -- what course of action they</p>
<p style="text-align: right;">Page 39</p> <p>1 needed to better understand themselves in terms of 2 their gender identity. 3 So there -- there are reasons both for why 4 care is separated at 18, why people go from 5 pediatricians and child and adolescent psychiatrists 6 to adult psychiatrists at 18. That is just kind of 7 practice. But there's certainly, as you know, 8 individual variability as well. 9 And so, you know, I think it was useful 10 that the clinic provided, you know, care for people 11 through young adulthood. 12 BY MR. RAMER: 13 Q Doesn't the WPATH Standards of Care 8 14 provide different requirements depending on whether 15 you're treating an adolescent or an adult? 16 A Yes. 17 Q And how do you determine which 18 requirements apply to a particular patient? 19 MR. LANNIN: Object to the form. 20 THE WITNESS: So, remember, you know, 21 these are clinical guidelines, and individual 22 clinicians can use their own judgment with a 23 patient. 24 But it reflects that in younger people, it 25 is particularly important to have a comprehensive</p>	<p style="text-align: right;">Page 41</p> <p>1 want to take. 2 But then there are many 22-year-olds who 3 are -- who don't have that ambiguity, and so that's 4 why an individual clinician, working with an 5 individual patient, might, you know, spend more time 6 with that patient; and even some people who were 7 middle-aged or sometimes some people who need the 8 most years of, you know, work in terms of what they 9 want to do are people in their 50s and 60s. 10 And so there are individual circumstances 11 in terms of -- particularly in the mental health 12 field in working with people, in terms of helping 13 them with the path that is best for them. 14 BY MR. RAMER: 15 Q With respect to the clinician's individual 16 judgment, could a clinician have a particularly 17 mature 17-year-old where the clinician would decide 18 they're not going to use all the recommendations 19 from the "Adolescents" chapter? 20 MR. LANNIN: Object to the form. 21 THE WITNESS: So they're practice 22 guidelines, and they're not laws. 23 So it -- it certainly is, you know, 24 possible that somebody might make that 25 determination.</p>

CONFIDENTIAL

<p style="text-align: right;">Page 42</p> <p>1 I do think that if you are making that 2 determination in an adolescent, in a way, in terms 3 of your process of making that recommendation, 4 you're utilizing the WPATH guidelines because you're 5 assessing the cognitive maturity of the adolescent 6 in order to decide, you know, what course of 7 treatment is best. 8 BY MR. RAMER: 9 Q And switching gears just a little bit, I 10 thought I read that you helped run WPATH's global 11 education initiative; is that right? 12 A So I wasn't the -- one of the two leaders. 13 There were two chairs. But I was very active in it 14 from its inception until 2018. 15 Q And can you just kind of describe what 16 that is? 17 A Sure. 18 So there was a recognition from, really, 19 the -- around the start of my board service -- I 20 guess others had probably had that recognition 21 earlier -- that it was important for WPATH to use 22 the knowledge of its experts to train a larger 23 population of health professionals to provide 24 transgender health and to -- to come up with some 25 guidelines of how that education should work,</p>	<p style="text-align: right;">Page 44</p> <p>1 been involved during that period. 2 But it was a switch-over that, I think, 3 probably would have happened anyway, but like many 4 things, I think really got pushed forward by the 5 pandemic when people were switching how they take in 6 information to Zoom and watching videos. 7 Q And when you referenced "mentoring with a 8 senior clinician," can you just kind of describe how 9 that works? 10 A Yeah. 11 MR. LANNIN: Object to the form. 12 THE WITNESS: So I had left the WPATH 13 board, and with it, my involvement in GEI, in 2018, 14 just as the mentoring system was being set up. 15 The mentoring system was, I think, most 16 important for psychotherapists, but there also was a 17 mentoring program for people providing medical care. 18 So someone who had gone through all of the 19 trainings and taken the exam would be matched with a 20 mentor with whom they could discuss their cases. 21 And so it was a further step of trying to 22 improve the kind of competency of people coming into 23 the field to -- you know, in terms of their 24 abilities to provide good care. 25 ///</p>
<p style="text-align: right;">Page 43</p> <p>1 looking at other health organizations that provided 2 education of how -- how they organized that and how 3 they did it. 4 And so providing a broader education 5 program, and then also a certification where one 6 could -- if one went through a fairly long process 7 of trainings and took an exam and got mentoring with 8 a senior clinician, one could call themselves 9 "certified." 10 So that it was similar to what other 11 organizations have done, especially in the mental 12 health field; so that clients or patients could see 13 that this was somebody who had received training, 14 received formal training in the provision of 15 transgender care. 16 Q And how do providers access the GEI 17 programming? 18 A So they sign up for sessions on the WPATH 19 website. 20 Q Are they, like, videos saved somewhere 21 that people then -- 22 A So when I was on GEI, it was all live 23 trainings. And then with the start of the pandemic, 24 they started doing both videotaped presentations 25 and, I think, live Zoom sessions. But I haven't</p>	<p style="text-align: right;">Page 45</p> <p>1 BY MR. RAMER: 2 Q How were the mentors for that program 3 selected? 4 A So, much of this happened just as I was 5 leaving the board; so I am not familiar with all of 6 it. 7 But there was an application process, is 8 my recollection, where people would apply to be 9 mentors. And they had to, I think, be certified 10 themselves and have a certain amount of experience, 11 and then they could apply to be mentors. 12 And then there were meetings of the 13 mentors before they would be matched with -- with 14 somebody needing mentoring. 15 Q Is it fair to say the mentors are experts 16 on the topics that they're mentoring about? 17 MR. LANNIN: Object to the form. 18 THE WITNESS: I think it's fair to say 19 that they're very knowledgeable in that they did 20 need to be certified themselves. 21 But I have not been involved with the 22 mentoring process since its early -- you know, since 23 early on. So I can't say -- I can't vouch for the 24 mentors or who were mentors now because I just 25 haven't -- it's been six years since I've been</p>

CONFIDENTIAL

<p style="text-align: right;">Page 46</p> <p>1 involved with GEI.</p> <p>2 MR. RAMER: We've been going about an</p> <p>3 hour. Is this a good time to take a break?</p> <p>4 THE WITNESS: Sure.</p> <p>5 MR. RAMER: We'll go off the record.</p> <p>6 THE VIDEO OPERATOR: Going off the record,</p> <p>7 the time is 9:56 a.m.</p> <p>8 (Recess, 9:56 a.m. - 10:12 a.m.)</p> <p>9 THE VIDEO OPERATOR: Back on the record.</p> <p>10 The time is 10:12 a.m.</p> <p>11 BY MR. RAMER:</p> <p>12 Q Dr. Karasic, you are testifying both as an</p> <p>13 expert witness and as a fact witness in this case,</p> <p>14 correct?</p> <p>15 A Yes.</p> <p>16 Q And you've been deposed as an expert</p> <p>17 witness before, correct?</p> <p>18 A Yes.</p> <p>19 Q Did you give truthful testimony during</p> <p>20 those depositions?</p> <p>21 A Yes.</p> <p>22 Q And you have testified at court hearings</p> <p>23 as an expert witness before, correct?</p> <p>24 A Yes.</p> <p>25 Q And did you give truthful testimony at</p>	<p style="text-align: right;">Page 48</p> <p>1 A I would say the last time I spoke with</p> <p>2 him was in Florida. We were both experts in Doe</p> <p>3 vs. LaDapo, and we went to dinner.</p> <p>4 Q Are you aware that Dr. Marci Bowers and</p> <p>5 Dr. Eli Coleman were deposed last week?</p> <p>6 A Yes.</p> <p>7 Q Have you spoken with anyone other than</p> <p>8 counsel about their depositions?</p> <p>9 A No.</p> <p>10 (Exhibit 1 was marked for identification</p> <p>11 and is attached hereto.)</p> <p>12 BY MR. RAMER:</p> <p>13 Q Dr. Karasic, you've been handed what has</p> <p>14 been marked as Karasic Exhibit 1.</p> <p>15 Does this appear to be Chapter 18 of the</p> <p>16 WPATH Standards of Care 8?</p> <p>17 A Yes.</p> <p>18 Q And if I refer to the Standards of Care 8</p> <p>19 as the SOC-8, will you know what I'm talking about?</p> <p>20 A Yes.</p> <p>21 Q And you were the chapter lead for this</p> <p>22 chapter, correct?</p> <p>23 A Yes.</p> <p>24 Q And am I correct that the SOC-8 revision</p> <p>25 committee essentially had a three-tier structure,</p>
<p style="text-align: right;">Page 47</p> <p>1 those hearings?</p> <p>2 A Yes.</p> <p>3 Q What did you do to prepare for this</p> <p>4 deposition?</p> <p>5 A I reviewed my declaration, and I reviewed</p> <p>6 a little bit of literature, and I spoke with both</p> <p>7 the plaintiffs' lawyers and with the Covington</p> <p>8 lawyers about the deposition.</p> <p>9 Q Did you speak with anyone else about the</p> <p>10 deposition?</p> <p>11 A No.</p> <p>12 Q And what literature did you review?</p> <p>13 A So I looked over just my declaration. I</p> <p>14 reread the Cass report which was, you know, recently</p> <p>15 released in its final form, and some of the</p> <p>16 systematic reviews that were done: Joe Taylor, I</p> <p>17 believe, in association with the Cass report.</p> <p>18 Q And do you know Dr. Aron Janssen?</p> <p>19 A Yes.</p> <p>20 Q When was the last time you spoke?</p> <p>21 MR. LANNIN: Object to the form.</p> <p>22 To Dr. Janssen, you mean?</p> <p>23 BY MR. RAMER:</p> <p>24 Q I'm sorry. Yes. When was the last time</p> <p>25 you spoke with Dr. Janssen?</p>	<p style="text-align: right;">Page 49</p> <p>1 with co-chairs at the top, then chapter leads, and</p> <p>2 then chapter authors?</p> <p>3 MR. LANNIN: Object to the form.</p> <p>4 THE WITNESS: Yes. There was the chair</p> <p>5 and co-chair as -- right, and then chapter authors,</p> <p>6 and then -- I mean chapter leads, and then chapter</p> <p>7 authors. Yes.</p> <p>8 BY MR. RAMER:</p> <p>9 Q Would you agree that all those people were</p> <p>10 experts in the field of transgender medicine?</p> <p>11 MR. LANNIN: Object to the form.</p> <p>12 THE WITNESS: I can't speak to the people</p> <p>13 outside of my chapter. I wasn't involved in the</p> <p>14 selection of all the people in all the chapters.</p> <p>15 BY MR. RAMER:</p> <p>16 Q So you are unable to say that the other</p> <p>17 authors outside of Chapter 18 were experts in the</p> <p>18 field of transgender medicine?</p> <p>19 MR. LANNIN: Object to the form.</p> <p>20 THE WITNESS: I would assume -- I mean, I</p> <p>21 know that there were many experts involved. I can't</p> <p>22 speak to every one of the 120 or however many there</p> <p>23 were.</p> <p>24 My experience with Standards of Care 8 was</p> <p>25 limited to the tasks which, you know, I was expected</p>

CONFIDENTIAL

<p style="text-align: right;">Page 50</p> <p>1 to do.</p> <p>2 BY MR. RAMER:</p> <p>3 Q And what were those tasks?</p> <p>4 A So it was being -- as chapter lead -- so</p> <p>5 in terms of all my tasks with standards of care, I</p> <p>6 had a little bit broader involvement when I was</p> <p>7 still on the board early on in terms of the</p> <p>8 selection of Eli Coleman, who had been chair of</p> <p>9 Standards of Care 7, for him to carry on to</p> <p>10 Standards of Care 8.</p> <p>11 And then I applied for and I was made lead</p> <p>12 for the "Mental Health" chapter and worked on --</p> <p>13 read the resumes and applications of the people</p> <p>14 applying to be on that chapter, reviewed those with</p> <p>15 the editors, and we selected a committee.</p> <p>16 Q And just to go back to what we were</p> <p>17 previously discussing about the authors outside of</p> <p>18 Chapter 18, are you saying there's a chance that</p> <p>19 some authors of the SOC-8 were not experts in the</p> <p>20 field of transgender medicine?</p> <p>21 MR. LANNIN: Object to the form.</p> <p>22 THE WITNESS: No. I'm just saying I can't</p> <p>23 say under oath that they all were. I never met many</p> <p>24 of them.</p> <p>25 So we were originally going to have -- our</p>	<p style="text-align: right;">Page 52</p> <p>1 know who all of them are.</p> <p>2 Q And you mentioned the WPATH conference in</p> <p>3 Buenos Aires; is that right?</p> <p>4 A Yes.</p> <p>5 Q Can you just tell me what that was?</p> <p>6 A So WPATH has a conference every two years.</p> <p>7 And so 2018 was the international -- you know, every</p> <p>8 two-year WPATH conference.</p> <p>9 And so during that conference, they had</p> <p>10 each -- the members of each chapter meet with the</p> <p>11 editors.</p> <p>12 Q Did you -- were there any -- let me start</p> <p>13 again.</p> <p>14 At that conference, were there any</p> <p>15 presentations about the drafting of the SOC-8?</p> <p>16 MR. LANNIN: Object to the form.</p> <p>17 THE WITNESS: Yes. There was some sort of</p> <p>18 update about SOC-8 at that conference, I assume by</p> <p>19 the editors. I don't remember -- I don't remember</p> <p>20 what sessions there were, but I -- I'm sure that</p> <p>21 there were discussions about -- you know, sessions</p> <p>22 about Standards of Care 8 at the 2018 conference.</p> <p>23 BY MR. RAMER:</p> <p>24 Q Would you agree that the vast majority of</p> <p>25 the SOC-8 revision committee were people who were</p>
<p style="text-align: right;">Page 51</p> <p>1 chapter met with the editors in Buenos Aires at the</p> <p>2 WPATH conference in 2018. And then we were all</p> <p>3 going to meet in 2020 at WPATH Hong Kong, but there</p> <p>4 was a little thing that happened in China in 2020,</p> <p>5 and that meeting didn't take place.</p> <p>6 So we never had the opportunity to have a</p> <p>7 meeting of everyone. The next meeting we had of a</p> <p>8 WPATH meeting was 2022, which was just after the</p> <p>9 publication of Standards of Care 8.</p> <p>10 BY MR. RAMER:</p> <p>11 Q Would you be surprised if somebody was an</p> <p>12 author of SOC-8 and was not an expert in transgender</p> <p>13 medicine?</p> <p>14 A I mean, my assumption is that the other</p> <p>15 authors, you know, had considerable expertise, and</p> <p>16 that's why they were selected for the chapter.</p> <p>17 There was -- also, each chapter had a</p> <p>18 stakeholder who was appointed to the chapter,</p> <p>19 including ours, and our stakeholder was a</p> <p>20 psychologist who was trans but was not selected</p> <p>21 as part of the original group, who were mostly</p> <p>22 academics.</p> <p>23 And so there may well be stakeholders who</p> <p>24 don't have the same kind of expertise, but I just</p> <p>25 don't want to vouch for everyone on as I don't even</p>	<p style="text-align: right;">Page 53</p> <p>1 either publishing in the field of transgender health</p> <p>2 or had reputations for the clinical programs they</p> <p>3 led in transgender health?</p> <p>4 MR. LANNIN: Object to the form.</p> <p>5 THE WITNESS: So that was certainly the</p> <p>6 experience with the people whose names I recognized,</p> <p>7 and it was definitely true of the people on my</p> <p>8 chapter.</p> <p>9 BY MR. RAMER:</p> <p>10 Q And was a particular co-chair assigned to</p> <p>11 oversee your chapter?</p> <p>12 A Yes.</p> <p>13 Q And who was that?</p> <p>14 A That was Jon Arcelus.</p> <p>15 Q And who were the other co-chairs?</p> <p>16 A Asa Radix was the other co-chair, and then</p> <p>17 there was the chair, Eli Coleman.</p> <p>18 Q Can you just give me a general overview of</p> <p>19 Jon Arcelus as either a clinician or an author?</p> <p>20 A Yeah.</p> <p>21 MR. LANNIN: Object to the form.</p> <p>22 THE WITNESS: So John Arcelus is a</p> <p>23 psychiatrist. He -- I was aware of him with his --</p> <p>24 he had published with Cecilia Dhejne and others</p> <p>25 about psychiatric illness in people who are trans,</p>

CONFIDENTIAL

<p style="text-align: right;">Page 54</p> <p>1 which was really the topic of our chapter. So he 2 certainly was somebody who had -- who was well-known 3 and had expertise in that area. 4 BY MR. RAMER: 5 Q And was he a practicing clinician in the 6 field of gender-affirming care? 7 A Yeah. My understanding is that he was 8 both practicing and did academic work in -- in the 9 United Kingdom. 10 Q And similar to how you just described 11 Dr. Arcelus's background, can you provide a 12 background of Dr. Radix? 13 MR. LANNIN: Object to the form. 14 THE WITNESS: So Dr. Radix I knew well 15 from conferences over the years. He was a -- a 16 clinician for health programs for trans people in 17 New York and also had a faculty appointment, and 18 taught and did research. 19 BY MR. RAMER: 20 Q Who is Dr. Karen Robinson? 21 MR. LANNIN: Object to the form. 22 You can answer. 23 THE WITNESS: Dr. Karen Robinson is an 24 academic from Johns Hopkins who has been involved 25 with systematic reviews and -- and clinical</p>	<p style="text-align: right;">Page 56</p> <p>1 Q Why is it important to conduct systematic 2 reviews? 3 MR. LANNIN: Object to the form. 4 THE WITNESS: So systematic reviews are a 5 widely accepted way of trying to look at evidence 6 kind of broadly in a field. It's -- I think looking 7 at systematic reviews is, you know, a common part of 8 discussing the literature in any field. 9 BY MR. RAMER: 10 Q Would you say Dr. Robinson is an expert in 11 transgender medicine? 12 MR. LANNIN: Object to the form. 13 THE WITNESS: No, but I really can't -- I 14 really can't make a judgment. I don't -- I don't 15 know her other than very kind of limited contact, 16 and I don't recall the extent of her background as 17 it might relate to transgender health, other than 18 doing the systematic review. 19 BY MR. RAMER: 20 Q Do you know if Dr. Robinson is a clinician 21 who treats children and adolescents for gender 22 dysphoria? 23 A That's not my understanding of what she 24 does, but I really don't know her background. 25 You know, we -- I was part of a board that</p>
<p style="text-align: right;">Page 55</p> <p>1 guidelines. And she was, I think, the lead of the 2 team that WPATH hired from Johns Hopkins for 3 reviewing the literature and providing guidance in 4 terms of practice guidelines. 5 BY MR. RAMER: 6 Q Were you involved in the process of 7 helping select Dr. Robinson and her team to help 8 with SOC-8? 9 A Yes. I recall there was a -- I think 10 there was a request for proposals. I think the 11 executive committee had -- after discussion with the 12 board -- had recommended Johns Hopkins, and we, as a 13 board, voted to hire the Johns Hopkins team for that 14 purpose. 15 Q I think you described it generally, but 16 could you kind of explain what the role was for 17 Dr. Robinson and her team with respect to the SOC-8? 18 A Yes. So they had a responsibility for 19 doing systematic reviews, and so contacting chapter 20 leads about whether or not that was something kind 21 of possible for that chapter. 22 And then they provided -- from their own 23 kind of systematic review of the literature, they 24 provided a very large document of publications that 25 might be related to that chapter.</p>	<p style="text-align: right;">Page 57</p> <p>1 made a recommendation to hire Johns Hopkins, and 2 then she was the representative from Johns Hopkins 3 who -- so my contact with her was really limited to 4 her reaching out to me as -- as chapter lead and 5 having a discussion about the literature for the 6 "Mental Health" chapter. 7 Q Do you have any personal knowledge 8 regarding WPATH restricting Dr. Robinson's ability 9 to publish research? 10 MR. LANNIN: Object to the form. 11 THE WITNESS: No. 12 BY MR. RAMER: 13 Q And I think you touched on it briefly, but 14 how did you come to be a chapter lead? 15 A Okay. So I'd been involved in WPATH for 16 quite a while, involved particularly with WPATH's 17 recommendations on diagnosis. 18 I had chaired, in 2003, the APA symposium 19 about the gender identity disorder and the other 20 disorders in that chapter in DSM-IV, and looking 21 ahead to DSM-V, and edited a book on that. And then 22 I had -- was involved in WPATH recommendations 23 related to psychiatry. 24 And so then I was appointed to the 25 Standards of Care 7 committee and was actively</p>

CONFIDENTIAL

<p style="text-align: right;">Page 58</p> <p>1 involved in Standards of Care 7, and so -- all 2 before being on the board, but then, you know, I was 3 also known, you know, being on the board. 4 And specifically, I was appointed -- I 5 applied and was appointed for this chapter because 6 that was a particular area that -- that I had done a 7 number of presentations and some publishing about 8 working with transgender people who had co-occurring 9 mental illness. 10 Q So is it fair to say that the chapters of 11 the SOC-8 were divided up among the revision 12 committee by expertise? 13 MR. LANNIN: Object to the form. 14 THE WITNESS: Yeah. So the editors came 15 up with the chapters, and then people applied to be 16 on the chapters and they were accepted onto the 17 chapters, presumably related to their expertise. 18 (Proceedings interrupted.) 19 THE WITNESS: May I have the question 20 again, please? 21 BY MR. RAMER: 22 Q Yeah. 23 I was just asking, is it fair to say that 24 the chapters of the SOC-8 were divided up among the 25 committee based on the authors' expertise?</p>	<p style="text-align: right;">Page 60</p> <p>1 Q Do you recall reporting any conflicts? 2 A No. 3 Q Did the authors of the "Mental Health" 4 chapter complete a conflict-of-interest form? 5 MR. LANNIN: Object to the form. 6 THE WITNESS: I assume they did. I think 7 that was part of their -- there was an application 8 packet for each member. 9 BY MR. RAMER: 10 Q Did you review them? 11 A My recollection is that I reviewed, you 12 know, the whole packet that included the conflict 13 form. But it was, you know, many years ago that 14 that happened. But my recollection is that that was 15 part of each application. 16 Q Do you recall any of the applicants for 17 the "Mental Health" chapter having conflicts that 18 you determined should preclude them from working on 19 the chapter? 20 A No. 21 Q Were there any conflicts that you elevated 22 to the co-chairs? 23 MR. LANNIN: Object to the form. 24 THE WITNESS: No. 25 ///</p>
<p style="text-align: right;">Page 59</p> <p>1 MR. LANNIN: Object to the form. 2 THE WITNESS: So the process was that the 3 editors established the chapters, and then there was 4 an application process where one could apply to be a 5 member of any of the chapters. And some people 6 applied to multiple chapters. 7 If you were chapter lead, you were only 8 involved with one chapter because that was felt to 9 be enough work. If you were one of the chapter 10 authors, you could be on multiple chapters. 11 But you had to apply to the chapter lead 12 to be on the chapter, and then, you know, a decision 13 was made really based on somebody's expertise of 14 whether or not they were the best people for the 15 chapter. 16 BY MR. RAMER: 17 Q Is it fair to say that the authors of one 18 chapter may not have expertise in the subject matter 19 of a different chapter in SOC-8? 20 MR. LANNIN: Object to the form. 21 THE WITNESS: Yes. 22 BY MR. RAMER: 23 Q Did you complete a conflict-of-interest 24 disclosure form for the SOC-8? 25 A My recollection is yes.</p>	<p style="text-align: right;">Page 61</p> <p>1 BY MR. RAMER: 2 Q Are you aware whether any of the authors 3 of the "Mental Health" chapter had conflicts? 4 MR. LANNIN: Object to the form. 5 THE WITNESS: No. 6 BY MR. RAMER: 7 Q Do you recall whether anyone reviewed your 8 conflict-of-interest form? 9 A I assume that that was reviewed by the 10 editors because that was part of the process in 11 which the editors selected me as chapter lead. 12 Q Did anyone ever ask you any questions 13 about your conflict-of-interest form? 14 MR. LANNIN: Object to the form. 15 THE WITNESS: Not that I can recall. 16 BY MR. RAMER: 17 Q Did you update your conflict-of-interest 18 form when you first began serving as an expert 19 witness? 20 MR. LANNIN: Object to the form. 21 THE WITNESS: Update my 22 conflict-of-interest form on Standards of Care 8? 23 BY MR. RAMER: 24 Q Yes. 25 A So that conflict-of-interest form was</p>

CONFIDENTIAL

<p style="text-align: right;">Page 62</p> <p>1 before I had served as an expert witness on any of 2 these cases. 3 Q Right. 4 And my question is, once you began serving 5 as an expert witness after you submitted the form, 6 did you ever update the form? 7 A No. 8 Q After you submitted your 9 conflict-of-interest form, do you recall ever 10 discussing potential conflicts of interest again 11 while drafting the SOC-8? 12 MR. LANNIN: Object to the form. 13 THE WITNESS: Can you repeat the question? 14 BY MR. RAMER: 15 Q After you submitted your 16 conflict-of-interest form, do you recall ever 17 discussing potential conflicts of interest again 18 while drafting the SOC-8? 19 MR. LANNIN: Same objection. 20 THE WITNESS: No. 21 BY MR. RAMER: 22 Q Switching gears a little bit, or maybe 23 zooming out, rather, can you just describe the 24 drafting process for Chapter 18? 25 A Yes.</p>	<p style="text-align: right;">Page 64</p> <p>1 were in 2018. We had our ten statements already 2 completed before Buenos Aires, which was in the fall 3 of 2018. And so we discussed each of those, and our 4 committee discussed each of those. 5 And then we submitted those statements to 6 go to the Delphi process, and our chapter was in the 7 first set of Delphi statements, which I think was 8 2019 that went to all of the authors of 9 Standards of Care 8. 10 BY MR. RAMER: 11 Q And how did you communicate with your 12 co-authors during the drafting process? 13 A So we talked by phone, by -- eventually, I 14 think, by Zoom. We had a common document on Google 15 Docs that people could edit. And we spoke by 16 emails, which were all emails to SOC-8 mental 17 health. 18 Q And can you explain a little more about 19 your particular role as chapter lead with respect to 20 the chapter authors and with respect to the 21 co-chairs? 22 MR. LANNIN: Object to the form. 23 THE WITNESS: Yes. 24 I would be in communication with the 25 co-chairs about tasks, and then would communicate</p>
<p style="text-align: right;">Page 63</p> <p>1 So we had phone meetings. I don't 2 remember when Zoom came into wide use, but it may 3 have been -- I think it was originally phone, and 4 then Zoom meetings. And we discussed what our 5 recommendations might be. 6 And we had discussion with the editors, 7 and they gave recommendations that our 8 recommendations for the Delphi process should be 9 ones that people can be -- that people using the 10 document could act on as opposed to just only kind 11 of good care documents. So they provided some 12 guidance in terms of winnowing things down to fewer 13 statements. And so we ended with ten statements. 14 And -- were you asking about -- what were 15 you asking the process? I don't want to drag on 16 further than -- 17 Q No, I was just asking -- that was very 18 helpful -- a general overview of the process. 19 A Yeah. 20 Q And can you explain the timeline of what 21 you were kind of describing, the course of that 22 drafting process? 23 A Sure. 24 MR. LANNIN: Object to the form. 25 THE WITNESS: So I think that the calls</p>	<p style="text-align: right;">Page 65</p> <p>1 those to chapter authors after we got the -- after 2 we had our statements. Those went to Delphi, and 3 all ten were approved. 4 And then there was -- we were supposed to 5 take into account comments during Delphi. But if 6 there were any major changes, it needed to go back 7 to Delphi, and we didn't have any major changes. 8 And then at that point, we had a task of 9 writing the explanatory text, and that task was 10 divvied up among chapter authors, and that was sent 11 to me, and I edited that. 12 And then it went to the editors, and they 13 provided feedback. That's my recollection. 14 BY MR. RAMER: 15 Q And when you were communicating with 16 co-chairs, were you primarily communicating with 17 Dr. Arcelus? 18 MR. LANNIN: Object to the form. 19 THE WITNESS: Yes, but the email chain, 20 from my recollection, always included SOC mental 21 health because we wanted the administrative staff 22 from WPATH to get the emails. And Eli Coleman and 23 Asa Radix were also, I think, getting those -- those 24 emails, in addition to John Arcelus. 25 ///</p>

CONFIDENTIAL

<p style="text-align: right;">Page 66</p> <p>1 BY MR. RAMER: 2 Q And looking at this chapter, how did you 3 select the studies that are cited in here? 4 A So there was an initial selection by -- by 5 members of the chapter committee, and then there 6 were citations that I added and there were citations 7 that -- that John Arcelus added. 8 Q Do you know how the other chapters in 9 SOC-8 selected the studies that they cite? 10 MR. LANNIN: Object to the form. 11 THE WITNESS: No. 12 BY MR. RAMER: 13 Q Do you have any reason to think they did 14 it differently? 15 MR. LANNIN: Object to the form. 16 THE WITNESS: I don't know the process in 17 the other -- for the other chapters. 18 BY MR. RAMER: 19 Q Did you ever assess the risk of bias that 20 you cite in this chapter? 21 A So typically with risk of bias, I think 22 of, like, of a study where certain people might have 23 dropped out and others might not have. 24 But generally, in this particular area, 25 which is about treating people with co-occurring</p>	<p style="text-align: right;">Page 68</p> <p>1 measured. 2 I was saying, in terms of this chapter, 3 there was really a very wide range of kinds of 4 papers that were -- that were cited because it's a 5 field where, for certain questions, there are 6 certain kinds of evidence, and for other questions, 7 there are kind of recommendations of clinical 8 practice that have been made, but maybe not as much 9 in terms of -- of a study determining it. 10 So there were times where the consensus of 11 the clinicians, backed up by what we knew from the 12 literature, you know, was more of a basis. 13 There were other things that were maybe a 14 little more clear-cut, like about addressing 15 nicotine in our patients, you know, where the health 16 effects of nicotine are well-known. 17 BY MR. RAMER: 18 Q Do you know if the authors of the other 19 chapters in the SOC-8 ever assessed the risk of bias 20 of the studies they cite? 21 MR. LANNIN: Object to the form. 22 THE WITNESS: So my experience was really 23 contained to my -- the chapter that I was chapter 24 lead on, voting for Delphi's statements, and not the 25 process that was going on in the other chapters.</p>
<p style="text-align: right;">Page 67</p> <p>1 conditions, the research is maybe more limited. And 2 so we used authors in these various areas who we 3 were familiar with or the chapter author who had 4 taken primary responsibility for that recommendation 5 was familiar with. 6 But, you know, so there certainly was some 7 overall assessment of a particular -- a particular 8 reference, but I'm not quite sure how that might 9 relate to bias. 10 Q What do you mean -- at the end there, what 11 do you mean by that? 12 MR. LANNIN: Object to the form. 13 THE WITNESS: Well, different people use 14 that term differently. So I don't know if you 15 have -- if you're more specific about what you mean. 16 BY MR. RAMER: 17 Q What's your understanding of "bias" in the 18 context of assessing the method used in a particular 19 study? 20 MR. LANNIN: Object to the form. 21 You can answer. 22 THE WITNESS: Sure. 23 Well, as I said, that term is used in 24 different ways. Sometimes it can be used in terms 25 of the particular populations that is -- that's</p>	<p style="text-align: right;">Page 69</p> <p>1 BY MR. RAMER: 2 Q Did you ever assess the risk of bias of 3 the studies you cite in your expert report in this 4 case? 5 MR. LANNIN: Object to the form. 6 THE WITNESS: So, again, that -- "bias" is 7 used in different ways. 8 And so there is bias in a clinical trial 9 which might involve who was reported on with, for 10 example, drop-outs, as well as the population 11 that -- from where the data was recruited. 12 And so those are potential sources of bias 13 that -- you know, that I would look at with a 14 particular -- with a particular study. 15 BY MR. RAMER: 16 Q And did you do that for the studies you 17 cite in your expert report in this case? 18 MR. LANNIN: Object to the form. 19 THE WITNESS: Well, for -- I think that's 20 always an assessment in terms of looking at a 21 particular article. 22 Like, I cited Cavve from Western Australia 23 where they took everyone who had completed treatment 24 in their clinic, and they -- because of the 25 geographic isolation of Perth and Western Australia,</p>

CONFIDENTIAL

<p style="text-align: right;">Page 70</p> <p>1 they were able to track down almost everyone who had 2 been seen in the clinic and terminated care. 3 And so a study like that, you know, has 4 less bias because there aren't people that are loss 5 to follow-up. 6 BY MR. RAMER: 7 Q And so "loss to follow-up" is one example 8 of a form of bias, right? 9 A Right. 10 Q And when you are assessing the degree of 11 bias in a study, how do you do that? 12 MR. LANNIN: Object to the form. 13 THE WITNESS: So I would -- I'd look at 14 the -- at the methods, at the clinical population 15 that they were studying; and if there is that 16 information, how they were recruited, how someone 17 came into a study. 18 And so there are various kind of points 19 along the way where one can try to get some 20 assessment of what particular biases could be. 21 BY MR. RAMER: 22 Q And so basically you're reading the study 23 and using your judgment to determine where there may 24 be methodological weaknesses in the study as you 25 read it; is that right?</p>	<p style="text-align: right;">Page 72</p> <p>1 from that meeting. 2 BY MR. RAMER: 3 Q I'll represent to you this is an excerpt 4 of this document. 5 And I'd like to turn to page 4 -- I 6 apologize for the stapling of this -- page 4 which 7 has the Bates stamp JHU3259 in the bottom right, and 8 the slide at the top says, "Hierarchy of Evidence." 9 Do you see that? 10 A Yes. 11 Q Can you explain what this slide is 12 communicating? 13 MR. LANNIN: Object to the form. 14 THE WITNESS: Yeah. 15 So it is from another publication, and 16 that there are these kind of different levels of 17 evidence, each which can have value, but are ranked 18 in a way in systematic reviews because they are 19 looking at -- a kind of broader body of literature 20 are at the top, and randomized controlled trials are 21 also up there because that is a -- you know, an 22 important source of evidence. 23 BY MR. RAMER: 24 Q And I think we touched on it at a general 25 level, but can you explain more about what a</p>
<p style="text-align: right;">Page 71</p> <p>1 MR. LANNIN: Object to the form. 2 THE WITNESS: Yes. 3 BY MR. RAMER: 4 Q Have you ever used a tool to help you 5 assess the risk of bias in a study? 6 MR. LANNIN: Object to the form. 7 THE WITNESS: No. 8 (Exhibit 2 was marked for identification 9 and is attached hereto.) 10 THE WITNESS: I would say, like, 11 systematic reviews are -- you know, do use -- 12 include the risk of bias in their assessments. And 13 so that is part of the use of systematic reviews and 14 that they've made an assessment or tried to make a 15 formal assessment on risk of bias. 16 BY MR. RAMER: 17 Q And, Dr. Karasic, you've been handed 18 what's been marked as Karasic Exhibit 2. 19 A Um-hum. 20 Q And looking at just the cover, does this 21 appear to be a presentation from the meeting in 22 Buenos Aires we were talking about earlier? 23 MR. LANNIN: Object to the form. 24 THE WITNESS: Well, yeah. I don't 25 remember the slide, but it does look like it was</p>	<p style="text-align: right;">Page 73</p> <p>1 systematic review is? 2 A Yes. 3 So a systematic review is when one tries 4 to take the entirety of the literature in a 5 particular area, and then to have a filtering 6 protocol, essentially, that one decides upon in 7 advance, and use that to filter this very -- what 8 can be a very large literature into the papers, the 9 studies, that meet one's criteria, and then to try 10 to just analyze those studies. 11 So you're trying to take the broader 12 literature that might be quite difficult to analyze, 13 and then filter them to a smaller group that meet 14 those criteria that might be easier to kind of 15 assess and synthesize. 16 Q Is it your understanding that as part of a 17 systematic review, researchers would assess the 18 degree of bias in the individual studies? 19 MR. LANNIN: Object to the form. 20 THE WITNESS: Yes. Typically they assess 21 bias in a systematic review. 22 BY MR. RAMER: 23 Q And are you able to explain why randomized 24 controlled trials are above cohort studies in this 25 pyramid?</p>

CONFIDENTIAL

<p style="text-align: right;">Page 74</p> <p>1 A Yes. So randomization is a way of 2 reducing bias in terms of who gets a particular 3 intervention. 4 So in a -- in some other sorts of studies, 5 everyone might get -- who signs up for the study -- 6 might get a particular intervention, and in a 7 randomized controlled trial, people are randomized 8 typically to either get the intervention or not get 9 it. 10 Q And so what is a cohort study? 11 MR. LANNIN: Object to the form. 12 THE WITNESS: So a cohort study is when 13 you are looking at a group of people who have 14 received a particular intervention and trying to see 15 whether that intervention has an impact. 16 BY MR. RAMER: 17 Q And so how is it -- how is it different 18 from the randomized controlled trial? 19 MR. LANNIN: Object to the form. 20 THE WITNESS: In a cohort study, you don't 21 have to have randomized people to the intervention 22 or not. It could be -- you could have had -- you 23 could be looking at a group of people who have 24 received a particular intervention. 25 ///</p>	<p style="text-align: right;">Page 76</p> <p>1 more evidence of its efficacy than another 2 intervention, then it could be unethical to 3 randomize people to -- you know, if we know that 4 that randomization might cause harm to one of the 5 groups of people when there is a treatment that is 6 well established. 7 BY MR. RAMER: 8 Q What if you don't know that a particular 9 treatment is well established? 10 MR. LANNIN: Object to the form. 11 You can answer. 12 THE WITNESS: There are -- there are 13 certainly a number of -- of settings in which 14 randomized controlled trials are done, but there are 15 also challenges to doing them based on the -- on the 16 intervention. 17 For example, when I was involved in a 18 study where we did randomize people, both groups got 19 an intervention that would be considered 20 efficacious. 21 We didn't do a placebo group because we 22 wouldn't consider that ethical when we knew that 23 there were interventions that might be efficacious. 24 BY MR. RAMER: 25 Q In the context of treating adolescents for</p>
<p style="text-align: right;">Page 75</p> <p>1 BY MR. RAMER: 2 Q And so cohort studies represent 3 lower-quality evidence than randomized controlled 4 trials; is that right? 5 MR. LANNIN: Object to the form. 6 THE WITNESS: So in the -- in the 7 methodology of ranking the quality or certainty, a 8 randomized trial is valued higher. 9 BY MR. RAMER: 10 Q Do you think that's right? 11 MR. LANNIN: Object to the form. 12 THE WITNESS: Yes. I've conducted a 13 randomized controlled trial myself, and I see the 14 value there. 15 But there also are interventions, and that 16 certainly is the case with patients that we treat 17 where it's more difficult or impossible to do a 18 randomized controlled trial. 19 BY MR. RAMER: 20 Q Do you think it's unethical to do a 21 randomized controlled trial in this context? 22 MR. LANNIN: Object to the form. 23 THE WITNESS: I think in certain 24 circumstances, yes. 25 If we know that one intervention has a lot</p>	<p style="text-align: right;">Page 77</p> <p>1 gender dysphoria, would it be unethical to create a 2 study where participants are randomized into two 3 groups, where one group receives only psychotherapy 4 and the other group receives psychotherapy and 5 hormone therapy? 6 MR. LANNIN: Object to the form. 7 THE WITNESS: So if you had availability 8 of hormone treatment and you had healthcare 9 providers who had determined that those people would 10 benefit from medical treatment, it would be 11 unethical to randomize them to not getting that 12 medical treatment. 13 So there are many people who can benefit 14 from psychotherapy, but there's no evidence that in 15 the subset of people who have a gender dysphoria 16 diagnosis with the clinically significant distress 17 and impairment of that, that psychotherapy 18 alleviates that gender dysphoria. 19 So under that circumstance, I don't think 20 it would be ethical to randomize that population of 21 people for whom gender-affirming medical care has 22 been indicated and recommended. 23 BY MR. RAMER: 24 Q But doesn't that sort of assume the 25 conclusion if the question is, can the psychotherapy</p>

CONFIDENTIAL

<p style="text-align: right;">Page 78</p> <p>1 achieve similar results, and the answer is, well, 2 we're not going to do the study because there's no 3 evidence that the psychotherapy achieves similar 4 results? 5 MR. LANNIN: Object to the form. 6 THE WITNESS: So I think you can look back 7 to even a publication by Brown in 1960, who was with 8 the Air Force, but he had done some research with 9 the UCLA group that was providing care to both -- 10 across age groups in the 50s, 60s, 70s. 11 And for -- so they, at that time, you 12 know, recognized that prepubertal youth might desist 13 from their gender diversity. They didn't have a 14 diagnosis at that time. But they recognized that 15 after puberty, that wasn't likely. 16 Anyway, in that 1960 publication, the 17 person was specifically referring to adults, but 18 saying that they had never seen someone whose gender 19 identity had changed as a result of -- of 20 psychotherapy. 21 So in another talk that Brown had given, 22 he had said that he hadn't seen it in adolescents, 23 but in younger -- basically younger people could 24 desist, and that was what they eventually published 25 out of UCLA. Again, not people with a diagnosis,</p>	<p style="text-align: right;">Page 80</p> <p>1 ineffective? 2 MR. LANNIN: Object to the form. 3 THE WITNESS: So I think we can -- when it 4 comes to adolescents and adults, I think that we 5 have -- we don't have evidence of efficacy, and we 6 have substantial clinical experience of inefficacy. 7 And that's something that's been known really for 8 decades. 9 As I said, there's been controversy on 10 prepubertal children, but not adolescents and 11 adults. 12 BY MR. RAMER: 13 Q Do you see a distinction between 14 psychotherapy that is provided with the purpose of 15 changing an individual's gender identity and 16 psychotherapy that is provided for the purpose of 17 reducing the distress associated with gender 18 incongruence? 19 MR. LANNIN: Object to the form. 20 THE WITNESS: So I do work, and the 21 therapists I work with do work, in terms of reducing 22 distress or improving someone's ability to cope with 23 the distress of gender dysphoria. But we don't see 24 that as a replacement for treating gender dysphoria 25 medically when -- in the cases where it makes sense</p>
<p style="text-align: right;">Page 79</p> <p>1 but people who were brought in, in that case the 2 young people, by their parents. 3 So my point is that we're not coming in 4 without decades of experience. And there have been 5 multiple case reports, attempts at literature in 6 terms of psychotherapy back from the psychoanalysis 7 days to cognitive behavioral therapy. 8 And so through all of that, there just 9 hasn't been any evidence of efficacy that -- for 10 psychotherapy only for those people who need 11 medication. 12 And so taking those people who have been 13 determined to have a gender dysphoria diagnosis and 14 have -- within that diagnosis, need -- have great 15 distress about aspects of their physical body, 16 typically, to randomize people to either get an 17 intervention that we have a long experience of being 18 successful versus an intervention where we have a 19 long experience of lack of success, that that would 20 not be ethical to randomize between those two 21 choices. 22 BY MR. RAMER: 23 Q Are you saying we don't have evidence to 24 show that psychotherapy alone is effective, or are 25 you saying that we know that psychotherapy alone is</p>	<p style="text-align: right;">Page 81</p> <p>1 to do so. 2 BY MR. RAMER: 3 Q And do you think it would be unethical to 4 study whether that psychotherapy alone would be 5 effective? 6 MR. LANNIN: Object to the form. 7 THE WITNESS: So I think that there has 8 been -- there have been decades in which people have 9 had that opportunity. And, you know, there have 10 been transgender people and people with gender 11 dysphoria, you know, for -- certainly for many 12 decades that have been documented. 13 And you look at someone like Robert 14 Stoller, who started the Gender Identity Research 15 Center at UCLA in 1963 and was a mentor, he was a 16 psychoanalyst. So psychoanalysts really believe 17 that almost everyone, you know, should get therapy, 18 basically. They're strong proponents. 19 But with this population, he supported 20 medical intervention because his experience was 21 there was a subset of the people he saw where 22 medical intervention was the only thing that helped 23 them. 24 And so -- so the reason I bring that up is 25 it's like -- it's not a new thing like, you know,</p>

CONFIDENTIAL

<p style="text-align: right;">Page 82</p> <p>1 COVID appearing and stopping our WPATH conference in 2 2020. It's not something that just arose de novo, 3 you know. 4 Clinicians have been working with these 5 folks for decades and decades and decades. And if 6 there was -- it seems like you would need to have 7 some glimmer that there was an intervention that was 8 effective given that there has been a long 9 opportunity to do interventions, and interventions 10 have been tried for decades without success. 11 And so that's why I'm saying it's not 12 ethical just like when -- the clinical trial that I 13 did, we didn't have a placebo group because we knew 14 that interventions helped, it wouldn't have been 15 ethical to give placebo to some of our patients. 16 BY MR. RAMER: 17 Q Are you aware of a study designed along 18 the lines of the study I previously described? 19 MR. LANNIN: Object to the form. 20 THE WITNESS: So in terms of randomizing 21 people to psychotherapy versus a medical 22 intervention, no. 23 BY MR. RAMER: 24 Q And you think that we should not do that 25 study because it would be unethical, correct?</p>	<p style="text-align: right;">Page 84</p> <p>1 A So they were randomized to two different 2 kinds of intervention. 3 One was usual care where they would get 4 their prescription and be responsible for it 5 themselves, the way which is how most everyone gets 6 an antidepressant, how they were getting 7 antidepressants before, versus getting a weekly form 8 of an antidepressant that -- with directly observed 9 therapy, where they would come in, and it was 10 observed that they would swallow the medication. 11 And it was a medication that only needed to be given 12 once a week. 13 So we knew that there was a problem with 14 adherence in this population. And because they were 15 people with HIV, the problem with adherence was also 16 with their HIV medications. And we knew that the 17 mortality was much higher in that particular 18 population than other populations of HIV-positive 19 people with better adherence -- we were looking at 20 homeless people who had particular difficulties 21 taking their medications -- and trying to see if 22 there was a better intervention for those who were 23 depressed to treat their depression. 24 Q So am I wrong in thinking that that study 25 divided up the individuals into the treatment arm</p>
<p style="text-align: right;">Page 83</p> <p>1 MR. LANNIN: Object to the form. 2 THE WITNESS: I think if you were actually 3 doing a randomized group as opposed to selecting 4 people who -- who were -- like, a select population 5 of people who really were seeking exploration of 6 their gender identity and not seeking -- not having 7 clinicians saying that a medication was indicated, 8 you know, that there could be a clinical trial of 9 people who were gender questioning, you know, of 10 different interventions on them. 11 But for people for whom a medical 12 intervention is indicated, to randomize those people 13 to not getting that intervention and getting a 14 psychotherapeutic intervention, I don't think it's 15 ethical. 16 It's also not practical because I don't 17 think that they would -- you would be able to get 18 people to join that study. 19 BY MR. RAMER: 20 Q In the randomized controlled trial that 21 you did that you were referencing, which I assume is 22 the one with the individuals who are HIV positive, 23 and you gave them a particular psychiatric -- 24 A Right. 25 Q -- were they randomized?</p>	<p style="text-align: right;">Page 85</p> <p>1 which received -- I'm going to butcher the 2 pronunciation -- fluoxetine -- 3 A Yes. 4 Q -- and the referral arm which received 5 group therapy? 6 MR. LANNIN: Object to the form. 7 THE WITNESS: No. So that was a different 8 study. So that study was way earlier. That was 9 while I was still at UCLA in my residency, and -- 10 when that was done. And that was a group therapy 11 focused on therapeutic interventions for depression 12 versus fluoxetine. 13 And that was a different -- a different 14 study because those were specific group therapies 15 that were known to be effective treatments for 16 depression, and those were people who were motivated 17 to get that kind of treatment as well, with or 18 without fluoxetine. So that was a different study. 19 I was referring to a study with homeless 20 HIV-positive people for whom -- and this was many 21 years later -- for whom -- the first group was 22 before there were any HIV medications; and having 23 interventions -- group interventions to treat 24 depression and to teach them to better cope with the 25 stress of having AIDS or HIV, that was the intent of</p>

CONFIDENTIAL

<p style="text-align: right;">Page 86</p> <p>1 the intervention. 2 The study with the second population, 3 everyone got fluoxetine. Some people got it weekly, 4 directly observed therapy. Some people got it as a 5 usual care prescription like they would if they 6 weren't in the study. 7 BY MR. RAMER: 8 Q In the earlier study with fluoxetine and 9 group therapy, was that study ethical? 10 MR. LANNIN: Object to the form. 11 THE WITNESS: Yes, because we were -- in 12 that study and in that population, we were looking, 13 within this limited time period, of intervening for 14 depression with psychotherapy. 15 So unlike gender dysphoria, cognitive 16 behavioral therapy and interpersonal therapy have 17 been determined to be effective treatments for 18 depression. 19 I would say since that study, there have 20 been some systematic reviews that have shown 21 cognitive behavioral therapy to be effective, and 22 fluoxetine to be effective, but fluoxetine may be 23 more effective; so maybe that changes the balance of 24 the ethics. 25 But that -- but from the information we</p>	<p style="text-align: right;">Page 88</p> <p>1 THE WITNESS: So -- yeah. So I think 2 "expert opinion" can include based on one's clinical 3 observations. I think that, you know, might be a 4 reasonable -- 5 BY MR. RAMER: 6 Q Yeah, and I wasn't trying -- I was just -- 7 you know, you can understand there could be expert 8 opinion that is informed by all the studies. 9 A Right. 10 Q That's one example. 11 A Right. 12 Q But I don't think that's what that's 13 referring to. 14 A Right. 15 Q And that's referring to clinical 16 observations, I assume. 17 MR. LANNIN: Object to the form. 18 THE WITNESS: Yeah, I would assume that 19 that's like in isolation, but all of these other 20 things should be taken into account as well. 21 MR. RAMER: Maybe good time for a break? 22 MR. LANNIN: Sure. 23 THE VIDEO OPERATOR: This marks the end of 24 Media Unit 1 of the deposition of Dan Karasic, M.D. 25 The time is 11:21 a.m. We're off the record.</p>
<p style="text-align: right;">Page 87</p> <p>1 had then, a cognitive behavioral therapy for 2 depression and fluoxetine were both efficacious 3 treatments for depression in that population. 4 BY MR. RAMER: 5 Q Just one more question, and maybe we'll 6 take a break. 7 But back on Exhibit 2 and that page with 8 the pyramid, do you agree that out of the types of 9 medical evidence listed on this pyramid, that 10 "expert opinion" represents the lowest form of 11 medical evidence? 12 MR. LANNIN: Object to the form. 13 THE WITNESS: So expert opinion on its 14 own, but I do think that clinical guidelines refer 15 heavily and with good reason to expert opinions that 16 try to synthesize -- both synthesize the data and 17 the end clinical practice as it is. 18 And so -- so all these other levels are 19 important, but consensus of experts is important as 20 well. 21 BY MR. RAMER: 22 Q Sorry. Just clarifying, do you agree that 23 "expert opinion" on this pyramid is referring to 24 what might be referred to as clinical observations? 25 MR. LANNIN: Object to the form.</p>	<p style="text-align: right;">Page 89</p> <p>1 (Recess, 11:21 a.m. - 11:31 a.m.) 2 THE VIDEO OPERATOR: We are back on the 3 record at 11:31 a.m. This marks the beginning of 4 Media Unit 2 of the deposition of Dan Karasic, M.D. 5 Please continue. 6 BY MR. RAMER: 7 Q Dr. Karasic, one question I meant to ask 8 earlier is, did you review any -- I'll start again. 9 In preparation for this deposition, did 10 you review any deposition transcripts from this 11 case? 12 A No. 13 Q In the context of reviewing medical 14 research, are you familiar with the term "narrative 15 review"? 16 A I'm not sure. Can you say anything more? 17 Q I'm just curious if you've heard that term 18 and what your understanding of that term is. 19 A I'm not sure. 20 (Exhibit 3 was marked for identification 21 and is attached hereto.) 22 BY MR. RAMER: 23 Q Dr. Karasic, the court reporter has handed 24 you what's been marked as Karasic Exhibit 3, and it 25 says, "Appendix A Methodology" at the top.</p>

CONFIDENTIAL

<p style="text-align: right;">Page 90</p> <p>1 A Um-hum.</p> <p>2 Q And does this appear to be Appendix A to</p> <p>3 the SOC-8?</p> <p>4 A Yes.</p> <p>5 Q And does this appendix discuss the</p> <p>6 methodology used to create the SOC-8?</p> <p>7 MR. LANNIN: Object to the form.</p> <p>8 THE WITNESS: Yes.</p> <p>9 BY MR. RAMER:</p> <p>10 Q And on this page, which has "S247" in the</p> <p>11 upper right corner, I'd like to go to the left</p> <p>12 column, the first paragraph, and the third sentence.</p> <p>13 And I'll just read it and first ask if I read it</p> <p>14 correctly.</p> <p>15 It says, "Evidence-based guidelines</p> <p>16 include recommendations intended to optimize patient</p> <p>17 care and are informed by a systematic review of</p> <p>18 evidence and an assessment of the benefits and harms</p> <p>19 of alternative care options."</p> <p>20 Did I read that correctly?</p> <p>21 A Yes.</p> <p>22 Q For the "Mental Health" chapter, did you</p> <p>23 or your co-authors seek a systematic review of</p> <p>24 evidence?</p> <p>25 A So we discussed that with Karen Robinson,</p>	<p style="text-align: right;">Page 92</p> <p>1 other chapters in which they wouldn't add much. And</p> <p>2 I asked her about that, and that's my recollection.</p> <p>3 BY MR. RAMER:</p> <p>4 Q And you agreed with her conclusion that a</p> <p>5 systematic review would not add much to the "Mental</p> <p>6 Health" chapter; is that right?</p> <p>7 MR. LANNIN: Object to the form.</p> <p>8 THE WITNESS: Yeah. I didn't -- I</p> <p>9 certainly didn't contest her -- her point.</p> <p>10 BY MR. RAMER:</p> <p>11 Q And do you think that's true, that a</p> <p>12 systematic review would not have added much to the</p> <p>13 chapter?</p> <p>14 A Well, I think that -- I mean, I think it's</p> <p>15 a good question.</p> <p>16 I think that there -- we were treading on</p> <p>17 an area where the literature, as it relates</p> <p>18 specifically to transgender people, is limited, and</p> <p>19 I think we were drawing on the broader literature,</p> <p>20 for example, on the provision of or capacity for</p> <p>21 informed consent.</p> <p>22 And so I -- you know, I think it was</p> <p>23 reasonable for us to just look at the specific</p> <p>24 literature in -- in those specific areas, given the</p> <p>25 kind of disparate nature of the recommendations</p>
<p style="text-align: right;">Page 91</p> <p>1 and she didn't feel, based on the ten statements</p> <p>2 that we had, that a systematic review would add</p> <p>3 anything versus just trying to reference the</p> <p>4 research. Many of the things had not had a specific</p> <p>5 systematic review.</p> <p>6 Q And when you say she explained that a</p> <p>7 systematic review would not add anything, what do</p> <p>8 you mean by that?</p> <p>9 A Well, it was a long time ago, but we had a</p> <p>10 conversation where I said, "These were our</p> <p>11 statements of recommendation. Do you recommend or</p> <p>12 do you think we could do systematic reviews on</p> <p>13 this?"</p> <p>14 And my recollection was that -- that she</p> <p>15 said no.</p> <p>16 Q So it was Dr. Robinson's decision as to</p> <p>17 whether a systematic review was conducted for the</p> <p>18 "Mental Health" chapter; is that correct?</p> <p>19 MR. LANNIN: Object to the form.</p> <p>20 THE WITNESS: Well, if I felt -- if I</p> <p>21 disagreed, I might have pressed the point.</p> <p>22 But I asked her opinion as a -- you know,</p> <p>23 our systematic review group, asked her about -- my</p> <p>24 understanding is that there were -- would be</p> <p>25 chapters where systematic reviews might help and</p>	<p style="text-align: right;">Page 93</p> <p>1 where we were kind of basing them on kind of</p> <p>2 different fields of medicine and mental health.</p> <p>3 Q And so is it fair to say that, in your</p> <p>4 opinion, a systematic review would have confirmed</p> <p>5 what you already knew, which is that the evidence in</p> <p>6 this area is limited?</p> <p>7 MR. LANNIN: Object to the form.</p> <p>8 THE WITNESS: Yes, and that we often had</p> <p>9 to look at the broader -- the broader research.</p> <p>10 Like, whether it might be for nicotine affecting</p> <p>11 surgical outcomes, we know that from the broader</p> <p>12 plastic surgery literature; or whether it's about --</p> <p>13 capacity to give informed consent is from the</p> <p>14 broader literature, not specifically from the</p> <p>15 transgender health literature.</p> <p>16 BY MR. RAMER:</p> <p>17 Q And outside of just Chapter 18, can you</p> <p>18 name a systematic review that supports the</p> <p>19 conclusion that people receive benefit from</p> <p>20 gender-affirming medical care?</p> <p>21 MR. LANNIN: Object to the form.</p> <p>22 THE WITNESS: So I think that the</p> <p>23 systematic review for hormones that was done, that</p> <p>24 that one, which was done by the Hopkins group and</p> <p>25 published by them, provides support for people</p>

CONFIDENTIAL

<p style="text-align: right;">Page 94</p> <p>1 getting benefit from -- psychological benefit, for 2 example, from -- from gender-affirming hormones. 3 BY MR. RAMER: 4 Q And you're referring to the systematic 5 review that was published, correct? 6 A Yes. 7 Q And are there any other systematic reviews 8 you're aware of that support the conclusion that 9 gender-affirming medical care will benefit people? 10 MR. LANNIN: Object to the form. 11 THE WITNESS: So I think that there have 12 been a range of systematic reviews showing benefit. 13 Even most recently from the Cass report, the 14 systematic review for hormones showed a moderate 15 level of support for mental health benefit. 16 I think the Cornell systematic review of 17 what we know, which reviewed the literature from 18 early on, I think early 1990s to 2017, found benefit 19 within that systematic review. I think the Bustos 20 systematic review of regret showed that that was 21 very uncommon. 22 So I think there have been systematic 23 reviews that -- you know, that have been supportive 24 of providing gender-affirming care. 25 ///</p>	<p style="text-align: right;">Page 96</p> <p>1 A Yes. 2 Q And then following that sentence, it cites 3 two documents. 4 Do you see that? 5 A Yes. 6 Q Have you ever read -- sorry. Go ahead. 7 A Yeah. Okay. Yeah, I see the two 8 documents. Okay. 9 Q Have you ever read either of those 10 documents? 11 A I don't recall reading either of them, but 12 I can't say no, either. 13 Q Are you able to say that the SOC-8 14 followed the recommendations of those documents with 15 respect to the SOC-8's conflict-of-interest policy 16 and committee composition? 17 MR. LANNIN: Object to the form. 18 THE WITNESS: I think that they -- they 19 had a conflict-of-interest policy, and they had a 20 transparent process for appointing the committees. 21 I can't say whether they met, you know, 22 all of the recommendations from the two papers. 23 BY MR. RAMER: 24 Q In your answer just now, who is the 25 "they"?</p>
<p style="text-align: right;">Page 95</p> <p>1 BY MR. RAMER: 2 Q Am I right in thinking that the Cornell 3 review applied only to adults? 4 MR. LANNIN: Object to the form. 5 THE WITNESS: My recollection is that it 6 was the literature as a whole and not -- not 7 specific to adolescents. 8 I don't know whether there were any youth 9 included in any of the papers that they reviewed. 10 They reviewed a lot of papers. 11 BY MR. RAMER: 12 Q Sticking with Exhibit 3, which is 13 Appendix A to the SOC-8, I'd like to go to -- stick 14 with the same page, same column, actually same 15 paragraph. And there's, just over halfway, a 16 sentence that begins with, "The process." And I'll 17 read it and first ask if I read it correctly. 18 It says, "The process for development of 19 the SOC-8 incorporated recommendations on clinical 20 practice guideline development from the National 21 Academies of Medicine and the World Health 22 Organization that addressed transparency, the 23 conflict-of-interest policy, committee composition 24 and group process." 25 Did I read that correctly?</p>	<p style="text-align: right;">Page 97</p> <p>1 A Oh. So I would say the editors of 2 Standards of Care 8. 3 Q And so sticking with the same Exhibit 3, 4 same column, and then skipping down two paragraphs, 5 about halfway -- a little over halfway through that 6 paragraph, there's a sentence referring to the 7 Delphi process. 8 Do you see that? 9 A Yes. 10 Q And you've mentioned that a little bit 11 today already. 12 A Yes. 13 Q Can you just -- can you just explain 14 broadly what that is? 15 A Sure. 16 MR. LANNIN: Object to the form. 17 THE WITNESS: So Delphi process is a means 18 of producing a consensus recommendation in practice 19 guidelines, and particularly where consensus of 20 experts is part of the process for developing the 21 guidelines. 22 And so for Delphi, we submitted our 23 recommendations, and they needed 75 percent 24 approval; but also, each of the larger group of 25 authors of Standards of Care 8 had the opportunity</p>

25 (Pages 94 - 97)

CONFIDENTIAL

<p style="text-align: right;">Page 98</p> <p>1 to provide any feedback for each -- for each 2 statement. 3 And they wouldn't be included in 4 Standards of Care 8 unless they or the revised 5 version had received 75 percent support. 6 BY MR. RAMER: 7 Q And the "they" in that answer is referring 8 to the statements? 9 MR. LANNIN: Object to the form. 10 THE WITNESS: Yes. The statements 11 wouldn't be included unless the statements had 12 received 75 percent support. 13 BY MR. RAMER: 14 Q And can you describe, just as a practical 15 matter, how the authors voted on a statement? 16 A Yeah. 17 It was a survey program that provided a -- 18 as I recall, like a Likert or modified Likert scale 19 of level of approval to disapproval. It may have 20 been 1 to 10. And so people could vote level of 21 approval to level of disapproval. 22 And a high level of approval was required, 23 but there was still variability that people could -- 24 could report, even if they're approving. 25 And then whether approving or</p>	<p style="text-align: right;">Page 100</p> <p>1 not all of the authors voted on the statement, 2 correct? 3 MR. LANNIN: Object to the form. 4 THE WITNESS: So -- right. So all of the 5 broader authors -- a statement didn't go to Delphi 6 until there was consensus of the chapter authors. 7 So all of our statements had kind of full 8 consensus of the people in our chapter, but only 9 75 percent had to approve of all of the authors who 10 voted. 11 BY MR. RAMER: 12 Q I guess my question was a little bit 13 different, which is that -- and maybe I'm 14 misunderstanding. 15 I thought you said that you had to hit a 16 threshold of 65 percent for the vote to count, 17 meaning that you could have up to 35 percent who did 18 not vote, yet the vote would still count; is that 19 right? 20 A That is my recollection, but I'm not sure 21 if that was the -- there was a number threshold that 22 you wouldn't complete the poll if -- you know, if 23 enough people hadn't voted. Knowing that you have 24 this very large group of authors and there are going 25 to be people that don't respond, that they set a</p>
<p style="text-align: right;">Page 99</p> <p>1 disapproving, there was space on the form for their 2 comments or any recommendations for changes that 3 were especially important if they were not approved 4 for Delphi. But we were also encouraged to take 5 that into account if we needed to make modifications 6 even if a statement was approved. 7 Q And did you say "Likert scale"? 8 A Oh, that's just like a 1 to 5 or 1 to 10 9 from "strongly disagree" to "strongly agree" that 10 you see in polling, basically. 11 Q And so can you explain who all voted in 12 the Delphi process? 13 MR. LANNIN: Object to the form. 14 THE WITNESS: So that was all of the 15 authors of Standards of Care 8; you know, over 100 16 people. 17 And I think they had to have -- I think 18 65 percent of them had to have voted in order for 19 the poll to be complete. So there was a deadline to 20 complete the poll. And if they didn't reach a 21 minimum, then presumably there could be more time 22 given or more urging for people to vote. 23 BY MR. RAMER: 24 Q And so a statement, under the process as 25 you just described it, could be approved even though</p>	<p style="text-align: right;">Page 101</p> <p>1 threshold. 2 And then -- I also don't recall if it was 3 the 75 percent -- my assumption is it was 75 percent 4 of the people voting and not 75 percent of all the 5 authors, but I would have to look that up to see 6 what that was. 7 Q Sorry. I think my question was less about 8 the precise number, but just understanding that a 9 statement could be -- let me restart. 10 A statement could pass the Delphi process 11 even though some authors did not participate in the 12 vote for that statement; is that right? 13 MR. LANNIN: Object to the form. 14 THE WITNESS: Yes. And so, like this 15 statement says, "was approved by 75 percent of the 16 members." 17 But I'm not sure whether that was 18 75 percent of all the people on the chapter versus 19 75 percent of the people who voted. 20 BY MR. RAMER: 21 Q And do you recall, did you vote on every 22 statement in the SOC-8? 23 A Yes. To my recollection, yes. 24 Q Okay. So sticking with Exhibit 3, I'd 25 like to go to the fourth page, which is S250 at the</p>

CONFIDENTIAL

<p style="text-align: right;">Page 102</p> <p>1 top. And right column, there's a bold "3.9 Grading 2 criteria for statements." 3 Do you see that? 4 A Yes. 5 Q And the first sentence says that "Chapter 6 members graded each statement using a process 7 adapted from the Grading of Recommendations, 8 Assessment Development and Evaluations (GRADE) 9 framework." 10 Do you see that? 11 A Yes. 12 Q And did you do that? 13 MR. LANNIN: Object to the form. 14 THE WITNESS: So we -- we did that, but 15 just in regards to classifying statements as a 16 strong recommendation if we recommend versus a weak 17 recommendation if we suggest. And we had the 18 criteria here that was a way of -- with the 19 editors -- of making that recommendation. 20 BY MR. RAMER: 21 Q So basically you're saying that the 22 criteria listed here in Section 3.9 is the modified 23 version of GRADE that was used for the SOC-8; is 24 that right? 25 MR. LANNIN: Object to the form.</p>	<p style="text-align: right;">Page 104</p> <p>1 goal in our chapter. 2 BY MR. RAMER: 3 Q Are there chapters in SOC-8 that you do 4 view as controversial? 5 A Well, only in the sense that there's -- 6 there are chapters that one, you know, sees being 7 brought up in media or in, you know, social media, 8 and really just, you know, a couple chapters that -- 9 where I have seen things, certainly. 10 Certainly the "Adolescents" chapter, which 11 is the subject of -- you know, it's controversial in 12 the context of the legal actions that have been 13 taken. 14 Q And are there any other chapters that 15 you're referring to when you say you've seen them 16 brought up in media and social media? 17 A I've seen the "Eunuch" chapter brought up 18 in media and social media. 19 Q Is it fair to say the grading process for 20 your chapter was a collaborative process between the 21 authors? 22 MR. LANNIN: Object to the form. 23 THE WITNESS: Yes. It was a collaborative 24 process between the authors and the -- and also the 25 editors.</p>
<p style="text-align: right;">Page 103</p> <p>1 THE WITNESS: That's my understanding. 2 BY MR. RAMER: 3 Q And can you just describe how you, in the 4 "Mental Health" chapter, did that process? 5 MR. LANNIN: Object to the form. 6 THE WITNESS: Sure. 7 So as I said, we didn't have really 8 systematic reviews to inform us, but we made 9 recommendations for which there -- for which we felt 10 there was substantial evidence and few downsides, 11 essentially, and in which there was a high degree of 12 acceptance. All of our recommendations passed on 13 the first time with a very high percentage. 14 And so, you know, the recommendation that 15 somebody has to have capacity to consent in order to 16 consent for transgender care was something that I 17 think everyone could agree on and substantially 18 supported by the literature. 19 And I didn't view our chapter as 20 particularly controversial, and, in fact, I haven't 21 heard any -- none of the controversy that's gone on 22 about SOC-8 has been about our chapter. 23 I don't think many people object to the 24 idea that transgender people should receive good 25 mental health care, which was basically kind of our</p>	<p style="text-align: right;">Page 105</p> <p>1 BY MR. RAMER: 2 Q And that was my next question. Did they 3 then -- did the editors review the grading of the 4 chapter? 5 A Yes. 6 MR. LANNIN: Object to the form. 7 BY MR. RAMER: 8 Q Did they ever -- I guess -- let me back 9 up. 10 Were there ever disputes about the 11 grading? 12 MR. LANNIN: Object to the form. 13 THE WITNESS: I wouldn't make -- have it 14 rise to the level of "dispute." 15 There were discussions over what's the 16 level of evidence, the strength of consensus, the 17 downsides of a given recommendation. 18 And so it was discussed, but I don't think 19 it was ever really disputed. 20 BY MR. RAMER: 21 Q And then returning to Exhibit 1, which is 22 the "Mental Health" chapter, and the first page of 23 that chapter, which is S171, in looking at the 24 statements in the box at the bottom of that page, 25 all of those statements are listed as "We</p>

CONFIDENTIAL

<p style="text-align: right;">Page 106</p> <p>1 recommend," correct?</p> <p>2 A Yes.</p> <p>3 Q And so then returning to Exhibit 3,</p> <p>4 Appendix A to SOC-8, same page we were on before,</p> <p>5 which is S250, under the bold section, 3.9, about</p> <p>6 halfway through that section, it says, "The</p> <p>7 statements were classified as: Strong</p> <p>8 recommendations ('we recommend') are for those</p> <p>9 interventions/therapy/strategies where," and the</p> <p>10 first bullet says, "the evidence is of high</p> <p>11 quality"; is that right?</p> <p>12 A Yes.</p> <p>13 Q And so did you and the chapter authors all</p> <p>14 conclude that the evidence supporting the statements</p> <p>15 in the "Mental Health" chapter is of high quality?</p> <p>16 MR. LANNIN: Object to the form.</p> <p>17 THE WITNESS: So, you know, not in the</p> <p>18 sense of, you know, where that might be applied</p> <p>19 elsewhere in terms of a -- these statements</p> <p>20 weren't -- not all of them were supported certainly</p> <p>21 by randomized clinical trials, for example.</p> <p>22 But these were all statements that we felt</p> <p>23 were strongly supported, at a minimum, by the</p> <p>24 consensus of experts where there was a lack of,</p> <p>25 let's say, a clinical trial.</p>	<p style="text-align: right;">Page 108</p> <p>1 know, provide a label to the quality based on a</p> <p>2 GRADE score of a systematic review.</p> <p>3 This was more so, I think, than -- than,</p> <p>4 say, a "Medical" chapter, for example, related to</p> <p>5 consensus of clinical practice in some cases.</p> <p>6 There were some that, you know, are</p> <p>7 certainly very strongly supported by the literature,</p> <p>8 and there's some that -- where there's not a lot of</p> <p>9 literature, but there is a clinical consensus to</p> <p>10 make a recommendation.</p> <p>11 BY MR. RAMER:</p> <p>12 Q So just so I understand, so some of the</p> <p>13 statements -- backing up, in the "Mental Health"</p> <p>14 chapter, for some of the "We recommend" statements,</p> <p>15 is it fair to say that for some of those, the</p> <p>16 evidence underlying the statement would not be</p> <p>17 deemed high-quality evidence under the traditional</p> <p>18 GRADE framework? Is that what you're saying?</p> <p>19 MR. LANNIN: Object to the form.</p> <p>20 THE WITNESS: Well, in that it may be</p> <p>21 difficult to even do a systematic review.</p> <p>22 I'm just thinking of -- you know, of just</p> <p>23 some of the recommendations. There's really a wide</p> <p>24 range of how much literature there was for the</p> <p>25 various recommendations that we -- you know, that we</p>
<p style="text-align: right;">Page 107</p> <p>1 BY MR. RAMER:</p> <p>2 Q And when you were talking about where that</p> <p>3 term might be applied elsewhere, are you alluding to</p> <p>4 the standard GRADE framework for high-quality and</p> <p>5 low-quality evidence?</p> <p>6 MR. LANNIN: Object to the form.</p> <p>7 THE WITNESS: Yes. So this, in a way, was</p> <p>8 maybe more -- there were statements in which there</p> <p>9 weren't that type of study, but there was strong</p> <p>10 clinical consensus and a lack of controversy that</p> <p>11 these recommendations should be followed, you know,</p> <p>12 among clinicians.</p> <p>13 BY MR. RAMER:</p> <p>14 Q And so the reference to the evidence being</p> <p>15 of high quality in that bullet is different from</p> <p>16 what high-quality evidence means in the traditional</p> <p>17 GRADE framework; is that right?</p> <p>18 MR. LANNIN: Object to the form.</p> <p>19 THE WITNESS: Well, I think that it was</p> <p>20 using the evidence that we had, and, you know, I</p> <p>21 mean, I think that terminology might be used</p> <p>22 differently in different contexts.</p> <p>23 So, you know, I do think it was different</p> <p>24 than, let's say, had we done a systematic review of</p> <p>25 each of these statements and, you know, could, you</p>	<p style="text-align: right;">Page 109</p> <p>1 made, like -- well, there's some that, you know,</p> <p>2 only providing care to people with capacity to</p> <p>3 consent has a vast literature. Maintaining existing</p> <p>4 hormones when somebody goes on an inpatient unit,</p> <p>5 there's a fairly small literature on that, but there</p> <p>6 was strong clinical consensus that that was the</p> <p>7 recommendation, and it is a question that's often</p> <p>8 asked.</p> <p>9 BY MR. RAMER:</p> <p>10 Q So when you were applying this methodology</p> <p>11 for the "Mental Health" chapter, how did you decide</p> <p>12 whether the evidence is of high quality?</p> <p>13 MR. LANNIN: Object to the form.</p> <p>14 THE WITNESS: So I think I was looking at</p> <p>15 these statements as a group. And so there is a</p> <p>16 variation by statement in how much literature, how</p> <p>17 much research has been done, how much is just</p> <p>18 clinical reporting and clinical policies.</p> <p>19 But there were some where there was --</p> <p>20 more had to do with consensus of experts, and there</p> <p>21 were others in which there was a much larger</p> <p>22 literature that one could draw on.</p> <p>23 BY MR. RAMER:</p> <p>24 Q And then basically it was the judgment of</p> <p>25 the authors, with all of your expertise, to</p>

CONFIDENTIAL

<p style="text-align: right;">Page 110</p> <p>1 determine whether the evidence was of high quality? 2 MR. LANNIN: Object to the form. 3 THE WITNESS: It was ultimately our 4 determination of whether to use -- the authors and 5 editors -- of whether to use "recommend" versus 6 "suggest." 7 So, again, we weren't looking at only 8 statements for which a systematic review had 9 provided high-quality research. There was kind of a 10 range in research by recommendation. 11 BY MR. RAMER: 12 Q Do you think that there's a distinction 13 between "high-quality evidence" and the "best 14 available evidence"? 15 MR. LANNIN: Object to the form. 16 THE WITNESS: So "high-quality evidence" 17 has its definitions in context, you know, like in a 18 GRADE context. That's different from "best 19 available evidence." 20 And it certainly is important to look 21 at -- you know, at both. But there certainly are 22 times when we are just looking at best available 23 evidence where there haven't been clinical trials 24 done, and we still need to make a recommendation. 25 ///</p>	<p style="text-align: right;">Page 112</p> <p>1 chapter, which is one in which -- in which clinical 2 practice, though guided by evidence, a lot of it 3 really is related to consensus of experts because, 4 you know, no one has done a clinical trial on 5 whether -- if someone is on hormones, whether to 6 stop them or continue them when someone is admitted 7 to the hospital. 8 But the experts in transgender health 9 would feel that that's very important, and that is 10 based on the clinical experience that -- of the harm 11 that can happen to people if they're stopping and 12 starting and stopping and starting hormones when, 13 let's say, going into a psychiatric institution. 14 So there are areas in which there's not a 15 lot of research, but there is a strong 16 recommendation by consensus of experts. And we just 17 happen to be a chapter where -- where there is a 18 variation in terms of amount of research that's been 19 done. 20 Q So if there is only low-quality evidence 21 to support an intervention under the traditional 22 GRADE framework, is it unreasonable for a medical 23 provider to say, "I'm not going to provide this 24 intervention until there's better evidence 25 supporting it"?</p>
<p style="text-align: right;">Page 111</p> <p>1 BY MR. RAMER: 2 Q In talking about the more formal GRADE 3 methodology, in the context of that methodology, do 4 you know what a "discordant recommendation" is? 5 MR. LANNIN: Object to the form. 6 THE WITNESS: Not that I can recall. 7 BY MR. RAMER: 8 Q As a general matter, do you agree that the 9 strength of a guideline recommendation should be 10 linked to the strength of the evidence supporting 11 that recommendation? 12 MR. LANNIN: Object to the form. 13 THE WITNESS: I think that it's strength 14 of the available evidence, but there are also 15 guidelines that -- where there is strong clinical 16 consensus based on clinical practice, and I think 17 that can be important, too. 18 BY MR. RAMER: 19 Q What do you mean by that? 20 A Well, one can make -- one can make a 21 recommendation, you know, in the absence of a graded 22 systematic review, basically. So it's great to have 23 that. It's great to have randomized clinical 24 trials. 25 I was looking at it in the context of our</p>	<p style="text-align: right;">Page 113</p> <p>1 MR. LANNIN: Object to the form. 2 THE WITNESS: So I think that would be 3 really problematic when you look at -- that the 4 majority of medical interventions are supported by 5 only low or very low GRADE scores, that only a 6 minority of national practice guidelines are 7 supported by high-grade evidence. 8 And so, you know, there was -- one of the 9 studies I cited, only 10 percent of clinical 10 practice and emergency medicine, critical care 11 medicine and anesthesiology was supported by 12 high-grade evidence, but people still need to go to 13 the ER and they still need to go to the ICU and they 14 still need anesthesia before surgery. 15 And so, you know, it's great to have more 16 information, and typically what systematic reviews 17 and GRADE scores have been used for are to point to 18 areas where more research might be beneficial. 19 And what is very different about what has 20 been happening in the United States is people have 21 been pointing to GRADE scores in terms of what 22 should be legal and what should be illegal. 23 And our standards of care aren't telling 24 people what care is to be legal or illegal. We are 25 trying to provide recommendations based on our</p>

CONFIDENTIAL

<p style="text-align: right;">Page 114</p> <p>1 understanding of the literature and our consensus of 2 expert advice so somebody can go to the standards of 3 care if they have a question, a practice question, 4 and maybe get some advice that might be helpful. 5 This standards of care was not done in the 6 context of, you know, should a doctor go to prison 7 for doing this care or not. It was to provide our 8 best advice to be helpful to people as best we could 9 in providing the care. 10 BY MR. RAMER: 11 Q Do you think the SOC-8 should be used by 12 medical licensing boards in terms of assessing a 13 provider's medical practice? 14 MR. LANNIN: Object to the form. 15 THE WITNESS: Well, there might be 16 circumstances. Medical boards will sometimes assess 17 a -- the practice of a physician who has been 18 accused of harming patients. 19 And in that context, if somebody is acting 20 outside of kind of community standards in their 21 practice of care, that could be evidence for them to 22 act. 23 It's not our, I think, intention with 24 standards of care to -- you know, to make that kind 25 of determination, which seems to be much broader</p>	<p style="text-align: right;">Page 116</p> <p>1 THE WITNESS: That's a good question. 2 There's certainly -- one can make a 3 stronger recommendation based on kind of a 4 collection of evidence, but I think it's difficult 5 to make a strong -- well, I guess I would -- maybe 6 I'll back up a little bit. 7 So there are strong recommendations that 8 are made based on low quality -- what's called low 9 quality on GRADE, and those recommendations form the 10 majority of, like, national practices guidelines. 11 Much of the practice -- you know, like, 12 I'm thinking of antidepressants and where there was 13 a well-known systematic review for antidepressants 14 in adolescents, children and adolescents, where only 15 fluoxetine separated from placebo for the treatment 16 of depression in minors, and yet fluoxetine is not 17 the only drug that is used to treat depression in 18 minors. 19 And so you don't have -- you don't have -- 20 you have low-quality evidence kind of throughout our 21 practice that still is the best evidence that we 22 have and is used in practice guidelines to make 23 recommendations. 24 So I think that's where I distinguish 25 between low- -- you know, low-quality evidence and</p>
<p style="text-align: right;">Page 115</p> <p>1 than did they follow WPATH standards of care. 2 You know, if they followed WPATH standards 3 of care but they, you know, didn't prescribe a 4 medication within a particular guideline, or if they 5 assaulted a patient, you know, one would make a 6 recommendation to the medical board. 7 I don't think, you know, that anyone has 8 ever made a complaint because, you know, 18.8 of the 9 "Mental Health" chapter wasn't, you know, followed 10 or because, you know, any other particular thing, 11 aside from gross malfeasance, wasn't -- wasn't 12 covered. 13 So anyway, so that's where I just kind of 14 draw a distinction between -- between the purpose 15 of -- what I perceived as the purpose of WPATH 16 standards of care and I think what's purported as 17 the purpose, which is to provide practice guidelines 18 that might be helpful for a practicing clinician. 19 BY MR. RAMER: 20 Q And returning back to the formal GRADE 21 methodology, in the context of the GRADE 22 methodology, are there ever situations where strong 23 recommendations can be made based on low-quality 24 evidence? 25 MR. LANNIN: Object to the form.</p>	<p style="text-align: right;">Page 117</p> <p>1 making a strong recommendation, viewing, you know, 2 what we know as a whole. 3 BY MR. RAMER: 4 Q I guess my question is more about the 5 actual GRADE methodology rather than what happens. 6 In the grade methodology, are there 7 situations where strong recommendations can be made 8 based on low-quality evidence in accordance with the 9 methodology? 10 MR. LANNIN: Object to the form. 11 THE WITNESS: So within -- GRADE is 12 referring to a level of -- a level of evidence, you 13 know, from strong, moderate, low, very low. And I 14 think that's distinct from a recommendation for care 15 that might be made by a practice guideline. 16 So a systematic review might provide a 17 GRADE for a particular intervention, but not -- a 18 GRADE score, but not a recommendation of whether or 19 not you should do it. That seems like a realm of 20 someone else. 21 BY MR. RAMER: 22 Q What do you mean? 23 A So, meaning the Endocrine Society or 24 Hilary Cass or other people using systematic reviews 25 and GRADE scores and making -- making</p>

CONFIDENTIAL

<p style="text-align: right;">Page 118</p> <p>1 recommendations for care.</p> <p>2 Q And so the point is that ultimately</p> <p>3 somebody has to make the judgment of whether to</p> <p>4 provide the intervention. And you're saying that</p> <p>5 it's not just, you plug things into the GRADE</p> <p>6 computer and GRADE tells you whether or not to do</p> <p>7 it; is that what you're saying, basically?</p> <p>8 A Yes.</p> <p>9 MR. LANNIN: Object to the form.</p> <p>10 BY MR. RAMER:</p> <p>11 Q And if I said that there were five</p> <p>12 paradigmatic contexts in the GRADE methodology where</p> <p>13 a strong recommendation can be made based on</p> <p>14 low-quality evidence, would you be familiar with</p> <p>15 what I'm referencing?</p> <p>16 MR. LANNIN: Object to the form.</p> <p>17 You can answer.</p> <p>18 THE WITNESS: Yeah, I don't recall the</p> <p>19 specifics of that, of kind of how theoretically one</p> <p>20 might make a recommendation using -- using GRADE</p> <p>21 scores.</p> <p>22 BY MR. RAMER:</p> <p>23 Q And do you know if, in your view,</p> <p>24 gender-affirming care falls within any of those</p> <p>25 contexts, to the extent they exist?</p>	<p style="text-align: right;">Page 120</p> <p>1 the time is 12:21 p.m.</p> <p>2 (Recess, 12:21 p.m. - 12:23 p.m.)</p> <p>3 THE VIDEO OPERATOR: Back on the record.</p> <p>4 The time is 12:23 p.m.</p> <p>5 BY MR. RAMER:</p> <p>6 Q And, Dr. Karasic, picking up on your</p> <p>7 report at page 30, I'd like to look at paragraph 80.</p> <p>8 And I think this is sort of what we were discussing</p> <p>9 where you cite the Chong study.</p> <p>10 A Yes.</p> <p>11 Q And then on the next page, paragraph 82,</p> <p>12 you cite the Conway study.</p> <p>13 Do you see that?</p> <p>14 A Yes.</p> <p>15 Q I think you've already described it</p> <p>16 somewhat, but can you just explain the purpose for</p> <p>17 why you're citing those articles here?</p> <p>18 MR. LANNIN: Object to the form.</p> <p>19 THE WITNESS: Sure.</p> <p>20 So the purpose is that systematic reviews</p> <p>21 and GRADE scores can provide guidance for areas for</p> <p>22 further research. They can provide some guidance to</p> <p>23 clinical decision-making. But it's actually</p> <p>24 uncommon for -- or there are many interventions that</p> <p>25 are done without high GRADE scores. The state of</p>
<p style="text-align: right;">Page 119</p> <p>1 MR. LANNIN: Object to the form.</p> <p>2 THE WITNESS: GRADE context for providing</p> <p>3 care?</p> <p>4 BY MR. RAMER:</p> <p>5 Q Right. The contexts in the GRADE</p> <p>6 methodology where a strong recommendation can be</p> <p>7 made on the basis of low-quality evidence, does</p> <p>8 gender-affirming care fall into any of those</p> <p>9 contexts, to your knowledge?</p> <p>10 MR. LANNIN: Same objection.</p> <p>11 THE WITNESS: I don't know.</p> <p>12 (Exhibit 4 was marked for identification</p> <p>13 and is attached hereto.)</p> <p>14 BY MR. RAMER:</p> <p>15 Q And, Dr. Karasic, you've been handed</p> <p>16 what's been marked as Karasic Exhibit 4, and it</p> <p>17 says, "Expert Rebuttal Report of Dan H. Karasic,</p> <p>18 M.D."</p> <p>19 Does this appear to be the report you</p> <p>20 submitted in this case?</p> <p>21 A Yes.</p> <p>22 Q And I'd like to go to page 30.</p> <p>23 (Proceedings interrupted.)</p> <p>24 MR. RAMER: Let's go off the record.</p> <p>25 THE VIDEO OPERATOR: Going off the record,</p>	<p style="text-align: right;">Page 121</p> <p>1 the research for many of the interventions we make</p> <p>2 everyday are not supported by high GRADE scores. So</p> <p>3 that was the purpose that I was listing that.</p> <p>4 So just because a guideline for care is</p> <p>5 not supported by high GRADE scores doesn't mean that</p> <p>6 that care should be made illegal. It means that</p> <p>7 there may be areas in which we should be trying to</p> <p>8 get more evidence.</p> <p>9 And I think that is the real shift, even</p> <p>10 between the Europeans in making -- those that made</p> <p>11 decisions based on GRADE scores, and the U.S. where</p> <p>12 care is being -- is being banned, as opposed to</p> <p>13 government is supporting more research so that, you</p> <p>14 know, perhaps there will be higher GRADE scores in</p> <p>15 the future.</p> <p>16 BY MR. RAMER:</p> <p>17 Q In your view, is the quality of the</p> <p>18 evidence under GRADE irrelevant to making treatment</p> <p>19 decisions?</p> <p>20 MR. LANNIN: Object to the form.</p> <p>21 THE WITNESS: No, and that's why I used</p> <p>22 the example of a systematic review for</p> <p>23 antidepressants in adolescents, finding, of all the</p> <p>24 antidepressants, only fluoxetine separated out from</p> <p>25 placebo for treating depression, I tend to use</p>

CONFIDENTIAL

<p style="text-align: right;">Page 122</p> <p>1 fluoxetine in minors as a first line. But -- and 2 that's only based on a moderate GRADE score on that 3 systematic review. 4 All the other antidepressants had low or 5 very low GRADE support if there was any separation 6 from placebo; however, I and my colleagues very 7 often use other medications; people -- if someone 8 doesn't tolerate fluoxetine or they don't respond to 9 it or they need a combination of medicines. 10 So it's just not part of our practice that 11 everything has to be supported by a high GRADE 12 score. We look at the alternatives, and we look at 13 clinical experience, you know, when we don't have 14 the best evidence. 15 I was -- like with the example -- I was 16 kind of struck -- in the Cass report, they give an 17 example of providing risks and benefits to a 18 patient, and they say, let's say you're starting 19 them on an antidepressant, presumably in an 20 adolescent, a child or adolescent, and it has an 21 85 percent response rate and a 5 percent side effect 22 rate. That was just their example. 23 And so my reading of that is, that's the 24 most unrealistic thing in the whole Cass report. 25 Show me an antidepressant with an 85 percent</p>	<p style="text-align: right;">Page 124</p> <p>1 pediatricians do prescribe antidepressants. 2 And they could never -- that part of the 3 Cass report, rather than saying 85 -- as an example, 4 85 percent response, 5 percent side effect, should 5 have said, you know, there's a systematic review 6 where there's only a 10 percent difference between 7 drugs and placebo, that antidepressants have a -- 8 you know, at least in the U.S. -- an FDA warning 9 that in minors, they increase the chances of 10 suicidality, not decrease. And yet we use them all 11 the time. 12 So it -- it just speaks to kind of a level 13 of evidence and what we have, you know, how we work 14 with what we have. 15 And so I think using that example was 16 just -- it was just something that struck me as a 17 clinician, because, you know, you would never -- if 18 you're doing risks and benefits -- I know they were 19 just using that as an example -- you would never use 20 that example. Maybe you would use an antibiotic for 21 strep throat as something that had, you know, a high 22 response rate and a low side effect rate. 23 But it was just very peculiar to me. And 24 it just goes to show how people don't recognize -- 25 and this is where it speaks to this, you know, how</p>
<p style="text-align: right;">Page 123</p> <p>1 response rate and a 5 percent side effect rate. 2 So we work in a field where the response 3 rate for interventions often aren't that great, 4 where the evidence for some interventions don't 5 separate greatly from placebo in terms of 6 medications. 7 The number needed to treat can be high. 8 How many do you have to treat for there to be a 9 distinguishing between someone on meds and not on 10 meds? 11 And yet we don't abandon all of those 12 fields of medicine. We keep on practicing, and 13 hopefully, you know, more research happens that can 14 better guide us, or new medicines come out that 15 separate, you know, in a greater way. 16 BY MR. RAMER: 17 Q So how did the Cass review get it so 18 wrong, in your view? 19 MR. LANNIN: Object to the form. 20 THE WITNESS: I don't think the Cass 21 report got everything so wrong, but that was a place 22 where, obviously whoever wrote that part was not a 23 practicing -- I don't think -- I would be surprised 24 if Hilary Cass wrote that part because by reports, 25 she was a practicing pediatrician. And</p>	<p style="text-align: right;">Page 125</p> <p>1 at zero percent of all the endocrine interventions 2 under systematic review had a high grade score, that 3 we practice all the time under limited evidence, and 4 we do the best we can. 5 BY MR. RAMER: 6 Q And earlier, we were discussing how, at 7 some point, some person is making a decision based 8 on the evidence of whether an intervention should be 9 provided or not. 10 And I take it Dr. Cass, in her report, has 11 reached a conclusion that differs from your 12 conclusion; is that fair? 13 MR. LANNIN: Object to the form. 14 THE WITNESS: So there definitely are 15 things that I disagree with Dr. Cass. So -- but I'm 16 free to respond kind of more specifically to 17 recommendations. 18 I think that there's a lot in there, and 19 not everything -- there are things that are 20 contradictory between even kind of the report and 21 things she said, you know, in interviews. And so -- 22 but, you know, it's a large government report that 23 has, you know, aspects that are more interesting and 24 less interesting to me. 25 ///</p>

CONFIDENTIAL

<p style="text-align: right;">Page 126</p> <p>1 BY MR. RAMER: 2 Q And I guess my question is just kind of 3 general, of, like, if Dr. Cass is looking at the 4 same body of evidence that you're looking at, and 5 Dr. Cass concludes the evidence is insufficient to 6 justify the use of puberty blockers to treat gender 7 dysphoria in adolescents, how did she get that 8 conclusion wrong? 9 A Okay. 10 MR. LANNIN: Object to the form. 11 You can answer. 12 THE WITNESS: So I disagree with the 13 premise of your question in terms of my 14 interpretation of what she wrote. 15 She wrote that she found that puberty 16 blockers, as they were being used in the U.K., had 17 insufficient evidence, and she wanted it to be 18 prescribed in an investigational way where they're 19 collecting data. 20 And she's pointed out in interviews, and 21 it's alluded to in the Cass report, that the average 22 age of people in the U.K. getting puberty blockers 23 is 15, which is at Tanner Stage 5, which is beyond 24 when they're particularly useful unless in 25 combination with hormones as a bridge.</p>	<p style="text-align: right;">Page 128</p> <p>1 that data is gathered and studied in terms of that 2 response, I don't think that's necessarily a bad 3 thing. 4 I think it could be an improvement over 5 the current situation where, if you need puberty 6 blockers, you have to wait until you no longer need 7 puberty blockers. If you're having -- if you need 8 puberty blockers and you wait three to five years, 9 at that point, you don't need puberty blockers, you 10 might need hormones. You've aged out of where they 11 provide the greatest benefit. 12 BY MR. RAMER: 13 Q Well, then, I guess, why wouldn't the 14 recommendation in the U.K. from Dr. Cass, for 15 example, be not that we're going to prohibit 16 providing puberty blockers, except for research 17 trials, but we're going to say that you have to 18 provide them earlier when the patient is closer to 19 Tanner Stage 2? 20 MR. LANNIN: Object to the form. 21 THE WITNESS: So she has said that they're 22 planning to expand and have more access to care, and 23 perhaps when there is more access to care, that 24 people will be able to get care sooner. 25 So I'm not -- I don't want to put words</p>
<p style="text-align: right;">Page 127</p> <p>1 At 15, usually people are prescribed 2 hormones, but it's a flaw of the system where you 3 have to wait three to five years to get an initial 4 appointment. 5 And so I can see her saying, "Okay. Our 6 current practice, plus generally hormones are widely 7 available and will continue to be widely available 8 if you're 16 or over in the U.K." 9 So it seems like what happens in the U.K. 10 right now is people turn -- people get their 11 appointment at age 15 and get referred to pediatric 12 endocrinology. It's just a few people in the entire 13 country that even get that referral out of thousands 14 who are waiting. 15 By the time they get on puberty blockers, 16 they're too old for them. And it's maybe not the 17 appropriate treatment, but they can't be on hormones 18 until they're 16, so they're on puberty blockers 19 through 15, and then at 16 they get on hormones. 20 To look at that practice, because it's not 21 in accordance with the U.S. or with the German 22 language countries or with -- the Netherlands or 23 Belgium don't use that practice. 24 And so if the Cass report results in young 25 people getting puberty blockers appropriately, and</p>	<p style="text-align: right;">Page 129</p> <p>1 into Dr. Cass's mouth, but, you know, even if we 2 have some disagreement, I do agree with -- that what 3 they appear to have been doing in the U.K. wasn't 4 appropriate care. And if there is a path through -- 5 not prohibiting care, but as Dr. Cass claims, 6 expanding care, but in an investigational setting -- 7 if that actually happens, that could be better than 8 what happens now. 9 I wouldn't agree with someone who is 10 saying, you know, we shouldn't use puberty blockers. 11 And Dr. Cass, when interviewed, said -- she was 12 asked, "Do you believe puberty blockers are 13 dangerous?" And she said no. 14 So she just wants -- she wants more data 15 and perhaps a different use -- I mean, she was the 16 one who brought up this -- in that interview, the -- 17 you know, the average age, and it's also brought up 18 in the Cass report. 19 And so -- so I -- you know, I can 20 understand her wanting a more rational use of them, 21 but she hasn't said, "We're banning the use of 22 puberty blockers," or "We're stopping the use of 23 puberty blockers." She is saying using them in an 24 investigational setting. 25 She had previously alluded to there being</p>

CONFIDENTIAL

<p style="text-align: right;">Page 130</p> <p>1 some other pathway -- before the final report, she 2 had alluded to there being some other pathway 3 perhaps where people could -- could get them. 4 And my belief is that people shouldn't be 5 forced into a research study. And so even if they 6 predominantly want to do research, that there should 7 be a pathway if clinicians have said, "This person 8 really needs puberty blockers," that they could get 9 them, and that's a place of objection with -- you 10 know, if that other pathway, if people are being 11 forced to be in a study in order to get treatment. 12 BY MR. RAMER: 13 Q And so your point there is, it still 14 wouldn't be reasonable even if you said, "We're 15 going to allow it only in a controlled study"; is 16 that what you're saying? 17 MR. LANNIN: Object to the form. 18 THE WITNESS: I'm saying it's good that 19 they're allowing it and collecting data, and 20 hopefully they are trying to use it appropriately. 21 But I -- my belief is that shouldn't be 22 the only pathway. That is a disagreement I have 23 with Dr. Cass. I don't think that should be the 24 only way that you should be able to get care. 25 ///</p>	<p style="text-align: right;">Page 132</p> <p>1 difference if one is making a -- you know, a 2 treatment decision. 3 BY MR. RAMER: 4 Q And returning to your report, Exhibit 4, 5 and sticking on the same page, well, page 31, the 6 carryover paragraph, in the last sentence you refer 7 to "complex intervention." And you say, 8 "Gender-affirming care certainly qualifies as a 9 complex intervention." 10 Do you see that? 11 A Yes. 12 Q What makes an intervention complex as 13 opposed to simple? 14 A So a complex intervention is one where you 15 have -- you can have multiple steps in the 16 implementation of the intervention, and you can also 17 have an indirect means of assessing whether that 18 intervention was effective. 19 So an example would be, when you look at 20 puberty blockers, and your question is just, do they 21 stop puberty, that's a very direct intervention. 22 You are taking somebody, you're giving them puberty 23 blockers, and you're seeing if -- physically, if 24 puberty is stopped. 25 If you are saying you give someone -- you</p>
<p style="text-align: right;">Page 131</p> <p>1 BY MR. RAMER: 2 Q And so earlier, you said that you 3 didn't -- you didn't think that the quality of the 4 evidence under GRADE is relevant to making a 5 treatment decision. 6 I guess my question is, how do you 7 determine when it is relevant for making a treatment 8 decision? 9 MR. LANNIN: Object to the form. 10 THE WITNESS: So I think it is in a 11 broader context, which includes trying to determine 12 the best treatment for someone who needs treatment. 13 And so one looks at the alternatives. 14 So if, let's say -- using the 15 antidepressant example -- if fluoxetine has a 16 moderate GRADE score, but the alternatives -- you 17 know, in that systematic review, it was more 18 effective than anything else except the combination 19 of fluoxetine and cognitive behavioral therapy was, 20 you know, similar, but that you were still getting 21 fluoxetine. So my takeaway from that is, if someone 22 is depressed, that it does seem like the best 23 alternative supported by that study. 24 Now, it's not only that study that 25 determines how I treat somebody, but it may make a</p>	<p style="text-align: right;">Page 133</p> <p>1 have someone transition, and that involves puberty 2 blockers, and then your outcome is, are they happy, 3 there are -- there may be a number of steps, you 4 know, that happen that could be -- that could 5 complicate things: the intervention of other aspects 6 of transition as well as the puberty blocker. 7 And then in determining the effect, you're 8 not just looking at did the puberty blocker stop 9 puberty, but did stopping puberty presumably affect 10 the person's self-perception, or perception of their 11 body in a way that reduced distress or improved 12 quality of life. 13 And so you have this kind of -- you have a 14 level of complexity that, when you add that into an 15 intervention, it is -- rarely, if ever, do you get 16 high GRADE scores. 17 And, in fact, NICE, N-I-C-E, the British 18 agency that did the original systematic review and 19 grading of puberty blockers, before doing that, they 20 had actually long resisted adopting GRADE because of 21 its weakness with complex interventions, and -- but 22 they did adopt it in time, certainly, to do the 23 grading of the systematic review of puberty 24 blockers. So they ultimately did do it. 25 But there apparently, in the systematic</p>

CONFIDENTIAL

<p style="text-align: right;">Page 134</p> <p>1 review world, has been some disagreement about its 2 utility in particular circumstances, in 3 circumstances where all the studies have low GRADE 4 scores. 5 Q Is the use of psychotropic medication a 6 complex intervention? 7 MR. LANNIN: Object to the form. 8 THE WITNESS: So it's certainly a less 9 complex intervention than gender-affirming care. 10 But from my experience actually trying to do a -- a 11 clinical trial, you know, there are levels of 12 complexity there as well. It's certainly more 13 complex than the person with strep throat. You give 14 them medication, and you -- you get the result. 15 Just because, you know, in this case, you 16 can have a very defined intervention, but there can 17 be variation on your outcome measures that relate to 18 a lot of other kind of aspects that make it more 19 difficult than a simple, maybe perhaps, medical 20 intervention. 21 I think mental health interventions 22 generally are harder to get higher grades of 23 evidence because of the -- of that kind of 24 variability when you're trying to measure how 25 someone feels.</p>	<p style="text-align: right;">Page 136</p> <p>1 of ketamine in unipolar depression, that there 2 certainly is more caution in treating bipolar 3 people. But I don't think it's something that 4 should be banned. 5 BY MR. RAMER: 6 Q And apart from medical interventions that 7 kill everybody, how would you determine whether it 8 is or is not reasonable to ban a particular medical 9 intervention? 10 A So I think that if there is a ban, it 11 should come from the great consensus of care 12 providers and experts in the field. It shouldn't -- 13 it certainly shouldn't be a ban that is done 14 top-down when the actual people providing the care 15 believe there's benefit. 16 So obviously it's a theoretical case and, 17 you know, maybe not everyone has to die. But, you 18 know, there would have to be, I think, great 19 consensus that this is something that is -- that 20 this is something harmful. 21 There are -- there's all kinds of care 22 that's not given very often because of harm. You 23 know, there are -- there has been the ban of, you 24 know, conversion therapy that I know you're aware 25 of, and I think that's a -- you know, certainly a</p>
<p style="text-align: right;">Page 135</p> <p>1 BY MR. RAMER: 2 Q Is there any medical intervention that you 3 think is reasonable to ban? 4 MR. LANNIN: Object to the form. 5 THE WITNESS: Not on the basis of a 6 systematic review. 7 I think that it might be -- it's possible, 8 but it would just be speculative. I can't think of 9 anything off the top of my head. 10 I mean, it might be possible that there 11 could be an intervention that -- where everyone 12 dies, you know. And so, you know, there's 13 something -- something out there, you know, that 14 happens, you know. 15 But I don't -- not things that have been, 16 like, approved treatments in recent years, at least, 17 that -- you know, that should be banned. 18 BY MR. RAMER: 19 Q Would it be reasonable, in your view, to 20 ban the use of ketamine as a treatment for bipolar 21 disorder in adolescents? 22 MR. LANNIN: Object to the form. 23 THE WITNESS: No. 24 So I would say, you know, there's 25 growing -- there's growing evidence of the utility</p>	<p style="text-align: right;">Page 137</p> <p>1 subject for debate that I probably don't need to 2 wade into right now. 3 But, I mean, there are times where there 4 is, you know, kind of a great consensus that there's 5 harm being done. But I think that by and large, 6 politicians should stay out of it because most care 7 that is -- that doesn't show benefit and is harmful 8 gets weeded out in and of itself; that interventions 9 have been tried and found, you know, not to be -- 10 not to be helpful, and, you know, the field of 11 medicine moves on. 12 Q But take the example of ketamine that we 13 were discussing. Who would you ask to determine the 14 consensus of whether it's appropriate? Only the 15 providers who are actually providing the ketamine to 16 adolescents? 17 MR. LANNIN: Object to the form. 18 THE WITNESS: No, you would look at the 19 research as well. 20 Generally, it's difficult for adolescents 21 to access ketamine as treatment, but, you know, I 22 know from my patients that there are some who 23 have -- I have patients who have benefited from, you 24 know, ketamine for treatment-resistant depression. 25 That said, it's been difficult for them to get it</p>

CONFIDENTIAL

Page 138	Page 140
<p>1 until they're 18.</p> <p>2 I wouldn't want to see the government step</p> <p>3 in and say, "You can't have that."</p> <p>4 I would -- I'd want to have there be more</p> <p>5 research and, you know, continue a greater</p> <p>6 understanding as we need to have in terms of that,</p> <p>7 you know, treatment.</p> <p>8 And if anything, the government has been</p> <p>9 going back on banning substances that might have</p> <p>10 clinical utility like, you know, they're proposing</p> <p>11 changing the schedule level for cannabis and funding</p> <p>12 more research into psilocybin.</p> <p>13 And so I think, you know, it should be a</p> <p>14 very rare case that politicians ban a particular</p> <p>15 care. I think it is something that should be left</p> <p>16 up to the practice community.</p> <p>17 And if somebody is, you know, practicing</p> <p>18 in a way that's harmful, there are, you know, state</p> <p>19 medical associations -- or medical boards that --</p> <p>20 you know, that people can go to and file complaints.</p> <p>21 BY MR. RAMER:</p> <p>22 Q And I just want to return to one thing in</p> <p>23 Exhibit 3, which is Appendix A to the SOC-8. And</p> <p>24 I'd like to go to the very last page, which has</p> <p>25 "S251" in the upper right. And right column,</p>	<p>1 THE VIDEO OPERATOR: We are back on the</p> <p>2 record at 1:31 p.m. This marks the beginning of</p> <p>3 Media Unit 3 of the deposition of Dan Karasic, M.D.</p> <p>4 Please continue.</p> <p>5 (Exhibit 5 was marked for identification</p> <p>6 and is attached hereto.)</p> <p>7 BY MR. RAMER:</p> <p>8 Q Dr. Karasic, you've been handed what's</p> <p>9 been marked as Karasic Exhibit 5, and that is</p> <p>10 "Chapter 6 Adolescents" at the top.</p> <p>11 Do you see that?</p> <p>12 A Yes.</p> <p>13 Q Does this appear to be the "Adolescents"</p> <p>14 chapter of the SOC-8?</p> <p>15 A Yes.</p> <p>16 Q And I'd like to go to page S61. And in</p> <p>17 particular, I'd like to look at Statement 6.12.c.</p> <p>18 Do you see that?</p> <p>19 A Yes.</p> <p>20 Q And do you use this statement and</p> <p>21 supporting text to guide you in obtaining informed</p> <p>22 consent?</p> <p>23 MR. LANNIN: Object to the form.</p> <p>24 THE WITNESS: Yes.</p> <p>25 ///</p>
Page 139	Page 141
<p>1 there's a bold "3.17. Approval by the WPATH Board</p> <p>2 of Directors." I just wanted to read the sentence</p> <p>3 below that, and I'll just first ask if I read it</p> <p>4 correctly.</p> <p>5 It says, "The final document was presented</p> <p>6 to the WPATH Board of Directors for approval and it</p> <p>7 was approved on the 20th of June 2022."</p> <p>8 Did I read that correctly?</p> <p>9 A Yeah. Where is that again?</p> <p>10 Q Sorry. So right column, 3.17, about</p> <p>11 halfway down.</p> <p>12 A Okay. Yeah.</p> <p>13 Q I did read it correctly?</p> <p>14 A Yes.</p> <p>15 Q And is that true?</p> <p>16 MR. LANNIN: Object to the form.</p> <p>17 THE WITNESS: I assume so. I wasn't on</p> <p>18 the board at the time, but I have no reason to</p> <p>19 believe that that's not true.</p> <p>20 MR. RAMER: Is this a good time for lunch?</p> <p>21 MR. LANNIN: Sure.</p> <p>22 THE VIDEO OPERATOR: This marks the end of</p> <p>23 Media Unit 2 of the deposition of Dan Karasic, M.D.</p> <p>24 The time is 12:55 p.m. We're off the record.</p> <p>25 (Recess, 12:55 p.m. - 1:31 p.m.)</p>	<p>1 BY MR. RAMER:</p> <p>2 Q And on the same page, the left column, the</p> <p>3 second full paragraph underneath "Statement 6.12.c"</p> <p>4 states that, "A necessary step in the informed</p> <p>5 consent/assent process for considering</p> <p>6 gender-affirming medical care is a careful</p> <p>7 discussion with qualified HCPs trained to assess the</p> <p>8 emotional and cognitive maturity of adolescents."</p> <p>9 Do you see that?</p> <p>10 A Yes.</p> <p>11 Q And do you agree that you must assess the</p> <p>12 emotional and cognitive maturity of adolescents for</p> <p>13 purposes of informed consent?</p> <p>14 MR. LANNIN: Object to the form.</p> <p>15 THE WITNESS: Yes, informed consent or</p> <p>16 assent legally for adolescents.</p> <p>17 BY MR. RAMER:</p> <p>18 Q Is the only difference there the age and</p> <p>19 the terminology, or is there a substantive</p> <p>20 difference between "informed consent" and "informed</p> <p>21 assent"?</p> <p>22 A There's -- the difference is mainly that</p> <p>23 in practicality, that you have to get the assent of</p> <p>24 the minor and the informed consent of the parents.</p> <p>25 Q And how do you assess the emotional and</p>

CONFIDENTIAL

<p style="text-align: right;">Page 142</p> <p>1 cognitive maturity of an adolescent? 2 MR. LANNIN: Object to the form. 3 You can answer. 4 THE WITNESS: So I have -- first of all, I 5 typically do it with patients that I've known for a 6 while because as a psychiatrist, I'm often involved 7 in a patient's life for long periods of time, 8 prescribing medications, sometimes doing therapy, 9 but often they're seeing a therapist but continuing 10 to see me over time. 11 And I can assess their ability to make 12 decisions, understanding the risks, benefits, and 13 alternatives of the decision; to have some cognitive 14 flexibility about thinking about the future. 15 Would they make a different decision in 16 the future? Should that inform the decision that's 17 being made now? Is their decision-making really, on 18 this subject, very consistent over time? Do they 19 have a good understanding, you know, of what would 20 be the benefits for them? What could be the 21 potential risks as well? 22 BY MR. RAMER: 23 Q Have you ever had an adolescent patient 24 whom you've deemed lacks the cognitive maturity to 25 provide informed assent?</p>	<p style="text-align: right;">Page 144</p> <p>1 to the patient being able to provide informed 2 assent? 3 MR. LANNIN: Object to the form. 4 THE WITNESS: So I think it can be 5 relevant in terms of having to make sure that they 6 understand risks, benefits, and alternatives; that 7 the communication both ways is -- you know, is 8 adequate. 9 So I think potentially there could be an 10 impact. 11 BY MR. RAMER: 12 Q Is there a point at which you would 13 conclude an individual's IQ is so low that he or she 14 cannot provide informed assent for puberty blockers? 15 A So I'm not usually working with people 16 with that severe of a developmental disorder; so 17 that circumstance hasn't come up in my practice. 18 They probably would be working with folks who are 19 more specializing in people with severe 20 developmental disorders. 21 Q Is that -- is the answer to that question 22 outside the scope of your expertise? 23 MR. LANNIN: Object to the form. 24 THE WITNESS: I would say it's not -- it's 25 not a decision I've had to make.</p>
<p style="text-align: right;">Page 143</p> <p>1 A Yes. 2 MR. LANNIN: Object to the form. 3 THE WITNESS: Yes. 4 BY MR. RAMER: 5 Q Why? 6 A So I was thinking of a circumstance where 7 I went -- where a patient was scheduled to see me, 8 who was seeing other providers regularly, but they 9 wanted me to see this person because of my 10 experience and expertise. 11 And in that particular case, I didn't 12 think that this person -- even though I only had 13 that kind of cross-sectional experience with them, I 14 didn't think that they had really thought through 15 this substantially; that there -- it wasn't clear to 16 me that there was kind of consistency in terms of 17 their identity over time. 18 Again, I was hindered by just seeing this 19 person once. There didn't seem to be a maturity in 20 terms of the way they made their decisions. 21 And so I -- I'd been asked to consult, and 22 I reported that back to the people who were 23 providing ongoing care for that patient. 24 So that's a case that comes to mind. 25 Q Do you think the patient's IQ is relevant</p>	<p style="text-align: right;">Page 145</p> <p>1 BY MR. RAMER: 2 Q And so do you have an opinion about 3 whether an individual could have an IQ so low that 4 would preclude them receiving gender-affirming 5 medical interventions? 6 MR. LANNIN: Object to the form. 7 THE WITNESS: Yes. I think that if 8 cognitively they couldn't understand sufficiently 9 the intervention to be able to -- to understand the 10 risks and benefits, I think theoretically, yes. 11 BY MR. RAMER: 12 Q But you've never come across it in your 13 practice; is that right? 14 A Right. I haven't -- I haven't worked with 15 anyone like that. 16 Q If a patient tells you, "I'm going to kill 17 myself if I don't get puberty blockers," is that 18 patient capable of providing informed assent? 19 MR. LANNIN: Object to the form. 20 THE WITNESS: So it's actually -- it's 21 interesting because my patients don't express it 22 that way. 23 So I do have patients with either 24 intermittent or chronic suicidality. That's 25 extremely common in my patients; and, of course, as</p>

CONFIDENTIAL

<p style="text-align: right;">Page 146</p> <p>1 part of the assessment of anything they do and part 2 of the discussion about, you know, stressors of 3 transition, for example. 4 Just having suicidality doesn't -- because 5 it is so ubiquitous -- doesn't prevent somebody from 6 getting gender-affirming care, but it's certainly 7 something that we take seriously. 8 I just haven't -- I've never had a 9 patient, like, threaten me with suicide if I didn't 10 write a letter. It just hasn't -- it's never come 11 up. 12 BY MR. RAMER: 13 Q Before you recommend hormone therapy for 14 an adolescent patient, do you confirm that the 15 patient has experienced several years of persistent 16 gender diversity or incongruence? 17 A So I take a history, and I think the 18 wording of that is maybe not the best in the sense 19 that it's including two different things: gender -- 20 kind of gender diversity and having gender 21 dysphoria. 22 And when I take a -- when I've worked with 23 people, young people, sometimes they -- usually they 24 do express having aspects of gender diversity even 25 though they haven't even come out to themselves as</p>	<p style="text-align: right;">Page 148</p> <p>1 And so I think even within that paragraph, 2 there is some description of a range of 3 considerations that should be taken into account. 4 So -- so this is saying, "Prior to 5 initiating less reversible treatments," and that's a 6 sentence, and that might speak to if you're -- if 7 you have somebody who perhaps presented -- who 8 hadn't already been in care, receiving puberty 9 blockers before their moving on to hormones -- if 10 somebody presented in your practice in that 11 particular circumstance where they might be going 12 directly to hormones, or if somebody was presenting 13 to your practice who was having a lot of chest 14 dysphoria and wanting chest surgery, I do think that 15 more caution about the persistence, you know, is -- 16 of their symptoms is advised. 17 I don't know about "several years." 18 Certainly, like, for puberty blockers, "several 19 years" doesn't make any sense. And then also, the 20 idea of gender diversity versus gender dysphoria, 21 because not all the people with -- presenting with 22 gender dysphoria have the same childhood history. 23 There's variability in terms of how people have 24 presented in terms of gender diversity. 25 So, anyway, that's -- that was my question</p>
<p style="text-align: right;">Page 147</p> <p>1 trans. 2 But I'm not quite sure that -- of the 3 wording of that particular sentence, kind of 4 conflating one sentence, gender diversity, several 5 years of gender diversity or -- or gender 6 incongruence. 7 I certainly would want them to have had 8 gender dysphoria that has gone on for -- for quite a 9 while, you know -- DSM says six months -- but for 10 there to be evidence that goes back further than 11 that. And I just -- I'm not that big of a fan of 12 the wording of that sentence. 13 Q How would you fix it? 14 A So I would -- where is the -- 15 Q It's not on S61. It's actually on S60, 16 the previous page, and it's left column below 17 6.12.b, second full paragraph, and then it's about 18 the second long sentence in that paragraph. 19 A Well, even if you look in that paragraph, 20 it says (as read), "However, in this age group of 21 younger adolescents, several years is not always 22 practical nor necessary given" -- this is for 23 puberty blockers -- "the premise of the treatment 24 to buy time while avoiding distress from 25 irreversible pubertal changes."</p>	<p style="text-align: right;">Page 149</p> <p>1 about saying, "gender diversity/gender 2 incongruence." 3 I would say if somebody is presenting 4 during adolescence and they hadn't -- you didn't 5 know them already from puberty blockers, you would 6 want to spend more time with them and make sure 7 they've had a careful evaluation and that they -- 8 their gender identity has been using the bold 9 "marked and sustained" description, has been marked 10 and sustained, has been going on for -- you know, 11 over time. 12 But I do think that "several years" might 13 not be the best descriptor. And even then, they 14 were using "several years" in combination with 15 saying "gender diversity" as opposed to "gender 16 dysphoria." 17 So that's just my -- my commentary on 18 that -- on that part. 19 Q And just to sum that up -- 20 A Sorry about my verbosity. 21 Q Not a problem at all. 22 But do you disagree with the requirement 23 that's articulated in that sentence? 24 A I don't disagree with the sentiment that 25 if -- if they're saying someone is seeking a less</p>

CONFIDENTIAL

<p style="text-align: right;">Page 150</p> <p>1 reversible treatment, one would want to know that 2 their gender incongruence has been going on for a 3 while. That is one of the reasons that puberty 4 blockers are sometimes given, which doesn't really 5 fall under this part and is described kind of later 6 in that paragraph. 7 But certainly the general sentiment of 8 exhibiting caution when working with adolescents is 9 one that I would agree with. 10 Q What do you think the phrase "several 11 years" means? 12 MR. LANNIN: Object to the form. 13 THE WITNESS: Well, I think that's a 14 problem because it's kind of -- it's kind of vague. 15 So, you know, the gender incongruence 16 diagnosis is six months. But I can understand why, 17 depending on the intervention, that one might, you 18 know, need more time than that with -- working with 19 an adolescent, just depending on circumstances. 20 And so, you know, adolescents can -- their 21 presentation can be so different when you're 22 actually making that assessment, that there are -- 23 there are adolescents who need very careful 24 consideration, and there are adolescents who might 25 come in needing an intervention where they've been</p>	<p style="text-align: right;">Page 152</p> <p>1 people might not be engaged in care for being 2 gender-diverse, certainly much less so now than 3 perhaps in the past. 4 And so I don't see that as that individual 5 provider needing to have taken care of that person 6 for several years, but I think you would look in 7 their history, that there have been aspects of 8 gender diversity that have been going on certainly 9 for a while. Certainly longer than the six months 10 that they've had a gender incongruence diagnosis, 11 which is the minimum to give them a diagnosis that 12 they would be eligible for care. 13 BY MR. RAMER: 14 Q And when you say "going on for a 15 while," you mean going on for several years? 16 MR. LANNIN: Object to the form. 17 THE WITNESS: Well, you know, certainly 18 that's not practical for puberty blockers. 19 Sometimes there are people who get pretty 20 strong gender incongruence at puberty where you 21 didn't know them before and, you know, you would 22 certainly look for that in the history. 23 But, again, I'm not sure that that would 24 be -- I don't like that wording of "several years" 25 because there are some people who -- some</p>
<p style="text-align: right;">Page 151</p> <p>1 clearly very stable and living in their transgender 2 identity for a long time where it may not be as -- 3 one might not have as much concern. I think that 4 really depends on the individual. 5 BY MR. RAMER: 6 Q Do you agree that one year is not several 7 years? 8 MR. LANNIN: Object to the form. 9 THE WITNESS: I agree that one year is not 10 several years. 11 BY MR. RAMER: 12 Q And so a provider who is trying to 13 faithfully follow the supporting text in this 14 statement, what are they supposed to do with that 15 sentence that we've been discussing? 16 MR. LANNIN: Object to the form. 17 THE WITNESS: Well, I think that -- I 18 would hope they would kind of generally get that 19 it's communicating to be cautious when you're using 20 less reversible treatments; that in younger 21 adolescents needing puberty blockers, that that 22 might not be necessary, but generally having a sense 23 of caution. 24 When they say "several years of gender 25 diversity," gender diversity is not a diagnosis, and</p>	<p style="text-align: right;">Page 153</p> <p>1 adolescents who present who clearly have a gender 2 dysphoria diagnosis who are very symptomatic and, 3 you know, who come into care at that time, and you 4 wouldn't tell them to wait several years, for 5 example, before getting care. So I think that 6 there's an individual assessment involved in those 7 cases. 8 I do agree with the sentiment that one 9 should be cautious in those circumstances. 10 BY MR. RAMER: 11 Q So is it fair to say that you do not 12 require several years of persistent gender diversity 13 as a rule before initiating hormone therapy for an 14 adolescent? 15 MR. LANNIN: Object to the form. 16 THE WITNESS: So gender diversity is not a 17 diagnosis, and people aren't necessarily in clinical 18 care. I think it would be -- so I think it's 19 something that is -- it might be kind of challenging 20 as a requirement, anyway. 21 I would say, you know, exhibiting caution, 22 and, you know, if -- if you don't -- if it's not 23 somebody with onset of -- like, childhood onset 24 gender dysphoria, if it's somebody in adolescent 25 onset, I would just have caution in working with</p>

CONFIDENTIAL

<p style="text-align: right;">Page 154</p> <p>1 them, and having a sense that their -- of the 2 stability of their gender dysphoria. But I wouldn't 3 put a marker of "several" years for that -- that 4 process. 5 BY MR. RAMER: 6 Q Sticking with Exhibit 5, which is the 7 "Adolescents" chapter, I'd like to go to the next 8 page, S61. And right column, the first long 9 paragraph, a third of the way down or so, there's a 10 sentence that begins with "Gender diverse youth." 11 and I'm just going to read that and first ask if I 12 read it correctly. 13 It says, "Gender-diverse youth should 14 fully understand the reversible, partially 15 reversible, and irreversible aspects" -- 16 A Oh, okay. I'm sorry. I was looking at 17 the sentence after. 18 Q I'll just restart. "Gender-diverse youth 19 should fully understand the reversible, partially 20 reversible, and irreversible aspects of a treatment, 21 as well as the limits of what is known about certain 22 treatments (e.g., the impact of pubertal suppression 23 on brain development...)," and then there's a 24 citation. 25 Did I read that correctly?</p>	<p style="text-align: right;">Page 156</p> <p>1 like, how long somebody should be on puberty 2 blockers before switching to gender-affirming 3 hormones if -- you know, if an overly prolonged 4 puberty is -- is of concern. 5 But certainly with whatever treatment 6 someone gets, one is trying to weigh risks and 7 benefits. And trying to present that to -- to a 8 family is more difficult, you know, if -- depending 9 on kind of a level of -- you know, of knowledge. 10 There's a difference between animal 11 models, a very strong -- I mean a very small amount 12 of kind of human research on people, and then also 13 weighing that with a young person who has a lot of 14 gender dysphoria where it might be impacting their 15 ability to go to school and study and be successful 16 academically. 17 So, I think, you know, it's something that 18 one can mention, but it's -- you know, there are a 19 lot of other considerations that affect people 20 cognitively for which there's more evidence which 21 is, for example, that if somebody does have 22 prolonged depression or gender dysphoria that's 23 impairing their functioning, that they might not be 24 able to learn in the same way. 25 Q And when you were referring to papers that</p>
<p style="text-align: right;">Page 155</p> <p>1 A Yes. 2 Q And do you ensure that your patients fully 3 understand all these enumerated items? 4 MR. LANNIN: Object to the form. 5 THE WITNESS: So certainly in counseling 6 both the youth and their family, we try to go 7 through each aspect. The impact of pubertal 8 suppression on brain development is not well known, 9 but I think it's fair to, you know, say that that is 10 an unknown that, you know, could be of concern. 11 But the -- certainly understanding the 12 risks and benefits and alternatives for each aspect 13 of treatment is important. 14 BY MR. RAMER: 15 Q When you say that the impact of pubertal 16 suppression on brain development is unknown and 17 could be a concern, what do you mean by that? 18 A So it's an area certainly that requires 19 more research. 20 There have been papers trying to summarize 21 the research which -- of about which a lot is not 22 known, including -- many of the studies have been on 23 animal models. 24 There's not a lot of data on people, and 25 there's not a lot of data on -- you know, to guide,</p>	<p style="text-align: right;">Page 157</p> <p>1 discuss the lack of evidence we have regarding the 2 impact of pubertal suppression on brain development, 3 are there any papers you were specifically thinking 4 of? 5 A I have read them, but I can't cite them. 6 But I have read reviews of, you know, how 7 much of a concern this might be, and even I think a 8 systematic review of papers where most of the papers 9 in the systematic review were on animal models. And 10 it described a few cases, including one that was 11 just a case report of one person, and came to the 12 conclusion that the evidence is still very limited. 13 Q And what are the animal studies you're 14 referring to? 15 A So there was one that I've seen that I saw 16 presented at a WPATH conference that was about 17 cognitive development in sheep who were given 18 puberty blockers; where that was raised as, you 19 know, could there be some -- so, like, in a sheep, 20 you can kill the sheep afterwards, basically, and 21 look histologically at the brain, and so you get a 22 certain level -- a different kind of evidence. So 23 that's why they tried to look at that in a sheep 24 model. 25 Q And what was the -- just generally</p>

CONFIDENTIAL

<p style="text-align: right;">Page 158</p> <p>1 speaking, that sheep study? Was it a negative 2 impact on brain development? 3 MR. LANNIN: Object to the form. 4 THE WITNESS: I can't tell you the 5 results, but I think that there were -- it was 6 raising questions of, you know, should this be 7 something that is studied further? 8 And that study was several -- it must have 9 been several years ago because I remember seeing the 10 data presented at the WPATH conference in either 11 2009 or 2011. 12 (Exhibit 6 was marked for identification 13 and is attached hereto.) 14 BY MR. RAMER: 15 Q And you've been handed, Dr. Karasic, 16 what's been marked as Karasic Exhibit 6. And this 17 is an article entitled "Consensus Parameter: 18 Research Methodologies to Evaluate 19 Neurodevelopmental Effects of Pubertal Suppression 20 in Transgender Youth." 21 Do you see that? 22 A Um-hum. 23 Q And the lead author on this is Diane Chen, 24 correct? 25 A Yes.</p>	<p style="text-align: right;">Page 160</p> <p>1 expertise? 2 MR. LANNIN: Object to the form. 3 THE WITNESS: I think that it's -- that 4 it's an area in which -- in which the science is 5 still young. So I'm not sure what -- it's not -- 6 it's certainly not the focus -- a research focus of 7 mine, but it's something that -- it's, you know, 8 not, I think, something that we have definitive 9 answers on. 10 I do think that because the question has 11 been raised, that it does provide support for not 12 having a really prolonged period of time on pubertal 13 suppression before going to cross-sex hormones if 14 they're indicated, as in some European countries who 15 don't -- who don't start hormones until 16, for 16 example, kind of arbitrarily, whereas they might 17 start puberty blockers at Tanner Stage 2. 18 So I think there are reasons to have 19 people go through puberty, including cross-sex 20 hormones, in a way that doesn't linger in puberty 21 too long. 22 But we do have, you know, substantial use 23 of puberty blockers in people with precocious 24 puberty in these relatively shorter periods of time 25 where it seemed to have been done safely.</p>
<p style="text-align: right;">Page 159</p> <p>1 Q And have you heard of Diane Chen before? 2 A Yes. 3 Q And she's an expert in the field of 4 transgender medicine, correct? 5 MR. LANNIN: Object to the form. 6 THE WITNESS: Yes. 7 BY MR. RAMER: 8 Q Have you seen this paper before? 9 A I don't remember. I may have, but I don't 10 remember. 11 Q I'd like to just go to page 248, which I 12 think is like the third page. And right column, 13 first full sentence up toward the top, it says, "In 14 human studies, pubertal progression has been linked 15 to developmental changes in reward, social, and 16 emotional processing as well as cognitive/emotional 17 control." 18 Do you see that? 19 A Yes. 20 Q And do you agree with that statement? 21 A I have no reason to believe that those 22 references don't support that statement. I don't 23 see a disagreement with it. 24 Q Is the impact of pubertal suppression on 25 brain development beyond the scope of your</p>	<p style="text-align: right;">Page 161</p> <p>1 And I do have patients who have -- were on 2 puberty blockers who are now attending Ivy League 3 colleges. So I don't think that that experience on 4 puberty blockers profoundly affected them. 5 I think that -- just as a clinician, that 6 in those cases, receiving gender-affirming care 7 really allowed them to focus on school in a way to 8 excel and to -- you know, to get into a college that 9 was consistent with -- with their goals. 10 So that's how -- you know, I think it's, 11 you know, something that, you know, deserves more 12 research, but also is taken into the context of 13 people needing -- needing care. 14 BY MR. RAMER: 15 Q And sticking with Exhibit 6, which is the 16 Chen article, I'd like to go to page 253. And right 17 column, about three quarters of the way down, 18 there's a sentence that starts with "In addition." 19 And in that sentence, it says, "studies in 20 rodents show ovarian hormones, acting during 21 puberty, program cognitive flexibility by exerting 22 long-lasting effects on excitatory-inhibitory 23 balance in the prefrontal cortex." 24 Do you see that? 25 A Yes.</p>

CONFIDENTIAL

<p style="text-align: right;">Page 162</p> <p>1 Q And did you know that before reading it in 2 this article? 3 MR. LANNIN: Object to the form. 4 THE WITNESS: You know, I knew that there 5 had been studies in animals, and I didn't know 6 the -- I still don't know the applicability of that 7 to human beings. 8 BY MR. RAMER: 9 Q Well, I guess if animal studies find 10 long-lasting effects of pubertal -- excuse me. 11 Start again. 12 If animal studies find long-lasting 13 effects of pubertal hormones on animal brain 14 development, would a reasonable scientist think 15 there could be a risk that pubertal hormones also 16 have a long-lasting effect on brain development in 17 humans? 18 MR. LANNIN: Object to the form. 19 THE WITNESS: So I think that animal 20 models and findings in animal models are reason to 21 do more research, you know, with people. It raises 22 an avenue for -- for supporting doing more studies. 23 (Exhibit 7 was marked for identification 24 and is attached hereto.) 25 ///</p>	<p style="text-align: right;">Page 164</p> <p>1 are, therefore, relevant to understanding human 2 brain development, correct? 3 MR. LANNIN: Object to the form. 4 THE WITNESS: So they -- so animal studies 5 can be. Certainly there are many studies on animals 6 for the safety of drugs and people, and sometimes 7 there's reason for concern. Sometimes the result of 8 using that drug on people, you know, show that it's 9 safe despite concerns from an animal model. 10 So I think that it certainly -- you know, 11 animal research can lead to an interest in having, 12 you know, more research with people. 13 BY MR. RAMER: 14 Q And sticking with this exhibit, and just a 15 sentence later, two sentences later, it says, "The 16 sensitivity of brain tissues to organizational 17 effects of sex hormones appears to be particularly 18 high at prenatal/perinatal stages of development and 19 gradually declines toward young adulthood." 20 Do you see that? 21 A Yes. 22 Q And the next sentence says, "The timing of 23 hormonal secretions in the course of development, 24 however, gives the impression of three discrete 25 sensitive periods: (1) pre/perinatal; (2) pubertal;</p>
<p style="text-align: right;">Page 163</p> <p>1 BY MR. RAMER: 2 Q Dr. Karasic, you've just been handed 3 what's been marked as Karasic Exhibit 7. 4 A Yes. 5 Q And it's an article entitled "Gender 6 Dysphoria in Adults: An Overview and Primer for 7 Psychiatrists." 8 A Yes. 9 Q And you helped author this article, 10 correct? 11 A Yes. 12 Q I'd like to go to page 60. And right 13 column, down at the very bottom, the section says, 14 "Gender Development," and then below that it says, 15 "Biological considerations." 16 Do you see that? 17 A Yes. 18 Q And the first sentence says, "Animal 19 research has established that sex differences in the 20 phenotype of both body and brain as well as 21 behaviors are the result of multiple, sex-biasing 22 factors." 23 Do you see that? 24 A Yes. 25 Q And so animal studies of brain development</p>	<p style="text-align: right;">Page 165</p> <p>1 and (3), for females, the first pregnancy"; is that 2 right? 3 A Yes. 4 Q And in the first sentence where you refer 5 to the "organizational effects of sex hormones," 6 what are you referring to? 7 A So this was a section of the paper written 8 by Heino Meyer-Bahlburg, who is an expert on 9 intersex development. 10 And so he was referring here to how sex 11 hormones might affect development, both in intersex 12 people and potentially in -- in trans people when 13 you're talking about perinatal hormone exposure 14 which there is some belief that there can be a link 15 to gender identity with that. 16 And so I think that he was writing 17 about -- that in this section, he was writing from 18 his position of expertise on the development 19 particularly of intersex people, and also kind of 20 applying that more broadly to development of gender 21 identity in people generally. 22 Q And so is what's written here within the 23 scope of your expertise? 24 A So I would say that this part is not an 25 area where I've done research or -- you know,</p>

CONFIDENTIAL

<p style="text-align: right;">Page 166</p> <p>1 obviously we had, you know, read it and discussed 2 it, you know, as -- we did it as a group, but it was 3 also not -- it was written from the perspective of 4 an expert in this particular area of the impact in 5 hormones and genetics of intersex people and how 6 that might contribute to our understanding of gender 7 identity and other folks. 8 Q Why is puberty a sensitive period, as it 9 says here? 10 MR. LANNIN: Object to the form. 11 THE WITNESS: So I -- again, like, our 12 discussions on this were from several years ago. I, 13 you know, can't comment on why each particular 14 descriptive word was used. 15 Yeah, I think I'd leave it at that. 16 Clearly pre/perinatal hormone secretion, 17 you know, can have an impact, and we have some sense 18 of that. Otherwise, I'm not quite sure what 19 Dr. Heino Bahlburg -- what he was referring to. 20 BY MR. RAMER: 21 Q Can we refer to Exhibit 6, which is the 22 Chen "Consensus Parameter" article? And I'd like to 23 go to page 248. The first full paragraph -- sorry. 24 Right column, first full paragraph. 25 The first sentence also -- well, I'll just</p>	<p style="text-align: right;">Page 168</p> <p>1 A Um-hum. 2 Q And you're referring to a distinction 3 between male and female brains here, correct? 4 MR. LANNIN: Object to the form. 5 THE WITNESS: Yes. 6 It is important, too, in that literature 7 in that they are kind of overlapping dot graphs when 8 you look at characteristics. But they do 9 distinguish themselves statistically, like in the 10 size of particular structures. 11 BY MR. RAMER: 12 Q And do you agree that we do not have 13 studies analyzing the lasting effect, if any, on 14 brain development caused by pubertal suppression? 15 MR. LANNIN: Object to the form. 16 THE WITNESS: So I think I would just 17 endorse that it's an area that could use study, but 18 other than that, I don't think I can comment. 19 BY MR. RAMER: 20 Q Do you agree that we do not have studies 21 analyzing the long-term effect, if any, on brain 22 development caused by exposing a male brain to high 23 levels of estrogen that the brain would not 24 naturally be exposed to? 25 MR. LANNIN: Object to the form.</p>
<p style="text-align: right;">Page 167</p> <p>1 read it. It says, "The combination of animal 2 neurobehavioral research and human behavior studies 3 supports the notion that puberty may be a sensitive 4 period for brain organization." 5 So Chen is also referring to puberty as a 6 sensitive period, correct? 7 A Yes. 8 Q And can you explain why puberty is a 9 sensitive period? 10 MR. LANNIN: Object to the form. 11 THE WITNESS: Well, the rest of her 12 sentence describes to what she's referring from 13 that, in which -- that it can be a time in which 14 neural connections are shaped by both hormones and 15 experiential factors. 16 So that's -- that's what she's referring 17 to in calling it a "sensitive period." 18 BY MR. RAMER: 19 Q And then returning to your article, which 20 is Exhibit 7, and where we were before, page 61, 21 left column, in the first full paragraph, so after 22 we were just reading, it says, "In humans, 23 statistical sex differences in brain structure are 24 well-documented." 25 Do you see that?</p>	<p style="text-align: right;">Page 169</p> <p>1 THE WITNESS: So I do think that we 2 have -- first of all, that -- the goal in cross-sex 3 hormone treatment is to try to approximate the 4 hormone levels of the person assigned to the other 5 sex at birth. 6 And so -- and I know we have -- so I don't 7 think that necessarily the premise of the question 8 that -- I don't know exactly what that means in 9 terms of harm. 10 Somebody is, at least as a goal of 11 treatment, trying to have a hormonal milieu similar 12 to the gender to which they identify, and certainly 13 people assigned female at birth who are not trans, 14 you know, have those levels of hormones in their 15 brain. I don't think that there's evidence that, 16 you know, that -- I don't think that there's any 17 further evidence of harm there. 18 Certainly any cognitive effects that 19 patients of mine experience are not getting in the 20 way of -- certainly of their academic achievement or 21 occupational achievement, and they're generally 22 living with hormones that are within physiological 23 ranges. 24 BY MR. RAMER: 25 Q Physiological ranges of what?</p>

CONFIDENTIAL

<p style="text-align: right;">Page 170</p> <p>1 A Of the sex -- of the -- cisgender people 2 aside, in this case, female, if we're talking about 3 female hormones. 4 Q What were you talking about in your paper 5 about statistical sex differences in brain 6 structure? Are you referring to differences in 7 brain structure of -- how are you using the word 8 "sex" in that sentence? Let's start there. 9 MR. LANNIN: Object to the form. But you 10 can answer if you know what counsel is referring to. 11 THE WITNESS: So in this case, when it 12 says, "In humans, statistical sex differences in 13 brain structures are well-documented," and they're 14 referring to people assigned -- it's referring to 15 assigned sex at birth in this case, there is also 16 some evidence of sex differences in trans people 17 that are different from cisgender people of the same 18 sex assigned at birth. 19 But this sentence is specifically, I 20 think, referring to cisgender people and cisgender 21 males and females and their differences in brain 22 structure; not referring to trans people 23 specifically. 24 BY MR. RAMER: 25 Q So it's not just referring to all humans?</p>	<p style="text-align: right;">Page 172</p> <p>1 to determine -- 2 MR. LANNIN: Object to the form. 3 THE WITNESS: So there's an overlap in the 4 size of those structures so that there are cisgender 5 men and cisgender women who are within that overlap, 6 too. So I don't think that looking at brain 7 structures is a particularly efficient way to 8 determine someone's gender identity. 9 BY MR. RAMER: 10 Q Do you think that clinicians should warn 11 patients about the animal studies we've been 12 discussing regarding pubertal suppression? 13 MR. LANNIN: Object to the form. 14 THE WITNESS: So I think it's a good 15 question. I think it's a reasonable thing to warn 16 just that, you know, we need to do more research in 17 terms of cognitive effects. 18 I think animal studies alone are 19 interesting, but, you know, don't always -- are not 20 always reflective of the phenomenon in people. So I 21 think there's kind of a judgment call. 22 But I think it's reasonable to include in 23 a discussion that more research may need to be done 24 in terms of any cognitive effects, and that it's 25 reasonable to limit the amount of time on a puberty</p>
<p style="text-align: right;">Page 171</p> <p>1 A So it's referring to all humans, but it's 2 not -- it's not separating out statistically 3 transgender people. 4 There have been some papers that have 5 separately looked at brain structures in transgender 6 people and have found some differences. Some trans 7 women who have brain structures that are more 8 intermediate in size between male and female 9 structures, for example. 10 Q So do you think that we can look at 11 individuals' brains to determine whether they are 12 transgender? 13 A No. 14 MR. LANNIN: Object to the form. 15 THE WITNESS: No. I think you need to 16 speak with them. 17 BY MR. RAMER: 18 Q Then what was the study you were referring 19 to? 20 A There have been some studies that have 21 looked at brains of trans people and have found that 22 their sizes of brain structures are different from 23 people of the same sex assigned at birth. 24 Q And do you think those studies have 25 established that you can look at individuals' brains</p>	<p style="text-align: right;">Page 173</p> <p>1 blocker if somebody then is ready to go on to 2 cross-sex hormones, just because there is this 3 question. 4 But our information is still limited. 5 BY MR. RAMER: 6 Q Do you think we have sufficient 7 information to say that the use of puberty blockers 8 to treat gender dysphoria is fully reversible? 9 MR. LANNIN: Object to the form. 10 THE WITNESS: So we have substantial 11 information from people with precocious puberty that 12 they -- once they're getting their -- they're on 13 hormones again, that they don't seem to have been 14 harmed by that experience of having a period of time 15 in which puberty is delayed. 16 So that's the biggest circumstance where 17 people fully reverse on puberty blockers. There are 18 some people who go on puberty blockers and then 19 discontinue. But certainly the larger number are 20 people who are doing that, who are on those 21 medicines just to delay puberty so that they go 22 through puberty with their peers. 23 BY MR. RAMER: 24 Q When you're referring to individuals with 25 precocious puberty being on hormones again --</p>

CONFIDENTIAL

<p style="text-align: right;">Page 174</p> <p>1 A I'm sorry. On puberty blockers. 2 Oh, going back on their own -- their own 3 natural hormones. When they go off puberty 4 blockers, their production of their own -- you know, 5 of hormones, pubertal hormones, their own pubertal 6 hormones, starts up again. 7 Q Are you confident that the experience of 8 an individual going through puberty with their natal 9 hormones will be the same as an individual going 10 through puberty based on cross-sex hormones? 11 MR. LANNIN: Object to the form. 12 THE WITNESS: So I think for someone who 13 has extreme distress because of gender dysphoria, 14 going through puberty with the hormones of the sex, 15 the gender to which they identify, that they can go 16 through puberty with much less distress and often 17 with much higher functioning, because they're not 18 impaired by the distress of gender dysphoria. 19 BY MR. RAMER: 20 Q Right; but I thought we were discussing 21 the impact of pubertal suppression on brain 22 development. 23 And my understanding of what you were 24 saying is, you know, we have individuals who use 25 puberty blockers when they have precocious puberty.</p>	<p style="text-align: right;">Page 176</p> <p>1 development as compared to what? 2 MR. LANNIN: Object to the form. 3 THE WITNESS: As compared to if they had 4 been forced to go through a puberty that was 5 exacerbating their gender dysphoria and causing them 6 to suffer because of gender dysphoria. 7 So presumably the only people that we're 8 treating first with puberty blockers and then 9 cross-sex hormones are people who have quite a bit 10 of suffering from gender dysphoria, and that 11 suffering can often impair, or almost by definition, 12 impairs their functioning, and that can have impacts 13 in terms of development and in terms of functioning 14 at school. 15 MR. RAMER: We've been going for a little 16 over an hour. Maybe take a break, if that's all 17 right. 18 MR. LANNIN: Sure. 19 THE VIDEO OPERATOR: Going off the record, 20 the time is 2:34 p.m. 21 (Recess, 2:34 p.m. - 2:51 p.m.) 22 THE VIDEO OPERATOR: Back on the record. 23 The time is 2:51 p.m. 24 BY MR. RAMER: 25 Q Dr. Karasic, I'd like to discuss the 2017</p>
<p style="text-align: right;">Page 175</p> <p>1 And then once they go through puberty with their 2 natal hormones, it seems there are not major 3 problems; therefore, we can conclude that when you 4 have an individual whose puberty is suppressed to 5 treat gender dysphoria, and then goes through 6 puberty on cross-sex hormones, that the result will 7 likely be the same. 8 Is that what you're saying? 9 MR. LANNIN: Object to the form. 10 THE WITNESS: What I'm saying is that 11 brain development is affected by a number of 12 factors, including trauma and depression. And so 13 you also have to -- you can take into account, you 14 know, if there could be some theoretical effect from 15 puberty blockers. 16 But you also have to take into account the 17 very real effect of a -- an adolescent who is -- 18 who's really suffering; and that if there is relief 19 from that suffering, whether they -- whether they 20 might have better brain development because they're 21 not depressed and anxious all the time. 22 And we know that chronic depression, for 23 example, can affect cognitive functioning. 24 BY MR. RAMER: 25 Q You're saying they might have better brain</p>	<p style="text-align: right;">Page 177</p> <p>1 USPATH conference. 2 You were the conference chair for that 3 event, correct? 4 A Yes. 5 Q And you were also the chair of the 6 scientific committee for that event, correct? 7 A Yes. 8 Q And can you explain what the scientific 9 committee's role was at that conference? 10 A Yes. 11 So the scientific committee reviewed the 12 abstracts for the conference and scored them, and 13 then each -- so that each abstract had an average 14 score. And then there was a cutoff score, and 15 everything above that score was accepted. 16 Q So just backing up a little bit for my own 17 sake, what is an abstract? 18 A So an abstract is a summary of a paper or 19 presentation. It's what you see at the top of a 20 scientific article. 21 And when you apply to present at a 22 conference, you write an abstract that summarizes 23 what you're going to talk about. 24 And then the scientific committee reviews 25 all the abstracts, gives a rating score to make a</p>

CONFIDENTIAL

<p style="text-align: right;">Page 178</p> <p>1 decision about who gets to present. 2 Q And how many people were on the scientific 3 committee for the 2017 conference? 4 A It was quite a large committee, as I 5 recall, but I can't -- it was several years ago; so 6 I can't say the exact number. 7 Q I'm completely ignorant with respect to 8 this, so what's, like, approximate for something 9 like this? 10 A 20, 25. 11 Q And when you are grading the abstracts, 12 how do you do that? 13 A So we had -- we have criteria that -- 14 where we're scoring presentations in regard to their 15 addition to scientific knowledge or clinical 16 practice, and also their interest to the audience. 17 Q And is the grading process blind? 18 MR. LANNIN: Object to the form. 19 THE WITNESS: So sometimes it is and 20 sometimes it isn't. And I don't remember the 2017 21 process, if -- I think we knew the names of the 22 people who were -- my recollection is that we knew 23 the names of the people that submitted the abstract 24 or who would be presenting for each one, and so that 25 it was not -- not blinded in that way.</p>	<p style="text-align: right;">Page 180</p> <p>1 presentations. 2 He was on the -- there was an 3 organizational committee, and he may have been some 4 sort of, like, organizational co-chair. I don't 5 remember. But he was -- he was definitely involved, 6 and I think he may have been involved in terms of 7 presentations that were related to law or public 8 policy. 9 Q When you say there was an organizational 10 committee, do you mean within the scientific 11 committee? 12 A No. Within -- so this was the first 13 USPATH conference, and future USPATH conferences 14 were organized by the USPATH board, but there wasn't 15 a board yet. 16 They were just in the process of -- we 17 were in the process, as WPATH, of creating the 18 USPATH chapter. There already was EPATH for 19 Europeans that was associated with WPATH, and then 20 other chapters that were not associated with WPATH, 21 like the Canadian CPATH. 22 So there was a committee within WPATH of 23 people who were involved in the -- in organizing the 24 conference, and then there was the scientific 25 committee which was people to review the abstracts.</p>
<p style="text-align: right;">Page 179</p> <p>1 BY MR. RAMER: 2 Q And when you say you knew the names, or 3 that your recollection is that you knew the names, 4 do you mean that when members of the committee were 5 grading the abstracts, they knew the name associated 6 with that abstract? 7 A Yes, I believe they did. They knew the 8 authors. 9 So it would be a spreadsheet with the 10 title, the authors, and the abstract. And the 11 members of the scientific committee who had been 12 assigned to score that abstract would have all that 13 information. 14 Q Do you remember anybody else who was on 15 the scientific committee with you? 16 A I -- you know, it was so long ago, I 17 just -- I don't think I could say. 18 But undoubtedly, it was, you know, quite a 19 number of people involved in trans health who -- who 20 were -- typically who were involved in WPATH or in 21 conferences on transgender health. 22 Q Was Dr. Jamison Green on the committee 23 with you? 24 A Yes. I don't remember if he was on -- he 25 may have been on the scientific committee for legal</p>	<p style="text-align: right;">Page 181</p> <p>1 And I don't remember if Jamison Green was 2 on both, but I believe he was on the committee 3 involved in organizing the -- the conference. 4 Q And so when we were discussing you serving 5 as the conference chair, is that in reference to 6 that organizational committee? 7 A Yes. 8 Q And so CPATH is not affiliated with WPATH; 9 is that correct? 10 A Right. 11 Q How did that come about? 12 MR. LANNIN: Object to the form. 13 THE WITNESS: They -- they formed before 14 there was chaptering. 15 And so WPATH, under Gail Knudson, who was 16 president at the time, felt -- was particularly 17 committed to the idea of further chaptering. 18 EPATH had already formed as a chapter of 19 WPATH, and then it made sense for USPATH to also be 20 a chapter. 21 And on the off years when WPATH wasn't 22 doing their conference, USPATH and EPATH would do 23 their conferences. 24 BY MR. RAMER: 25 Q And in addition to your role as the</p>

CONFIDENTIAL

<p style="text-align: right;">Page 182</p> <p>1 conference chair and your role as -- I guess -- 2 sorry. 3 Were you the chair of the scientific 4 committee? 5 A So I was chair of the scientific 6 committee. And my conference chair part was just 7 kind of leading the organization because there was 8 no USPATH board. 9 I found the facility, like the conference 10 center at UCLA, and dealt with some of the 11 practicalities along with the WPATH staff. 12 Q And so in addition to those roles, you 13 also presented on a panel, correct? 14 A Yes. 15 Q And do some people refer to a panel like 16 that as a "mini symposium"? 17 MR. LANNIN: Object to the form. 18 THE WITNESS: So WPATH and USPATH refers 19 to them, for some historical reason, as "mini 20 symposia." I don't know why, but I think that's 21 just a historical thing, that they called them that. 22 BY MR. RAMER: 23 Q And the mini symposium at which you 24 presented was organized and chaired by Dr. Bahlburg 25 who we were previously discussing, right?</p>	<p style="text-align: right;">Page 184</p> <p>1 A Yes. 2 Q And Dr. Bahlburg drafted the summary 3 abstract for the panel, correct? 4 A Yes. 5 Q And then who -- I guess, would you have 6 been, for lack of a better term, "recused" from the 7 scientific committee of reviewing an abstract that 8 you're on, or how does that work? 9 MR. LANNIN: Object to the form. 10 THE WITNESS: Yes. So I don't think I 11 reviewed most of the abstracts because I was busy 12 with other things. That was left to other people. 13 But I would not have reviewed my own abstract if I 14 were reviewing a batch of abstracts. 15 BY MR. RAMER: 16 Q And do you remember what you were 17 presenting on for this panel? 18 A Yes. So Dr. Meyer-Bahlburg asked me to 19 present about trans people with dissociative 20 identity disorder. 21 Q And do you recall what Dr. Bahlburg was 22 presenting about? 23 A I don't remember his presentation. 24 I believe that Dr. Zucker was talking 25 about his desistance data from when he had been at</p>
<p style="text-align: right;">Page 183</p> <p>1 A Right, that we were just discussing. 2 I had been working with him on this paper 3 in transgender health, and -- yeah, 2018 -- and he 4 emailed me and asked if -- so Dr. Meyer-Bahlburg 5 worked with Ken Zucker on the DSM-V committee, I 6 believe, because of his expertise working with 7 intersex people. 8 And so he asked if he could put together a 9 panel with -- where both Dr. Zucker and I were 10 presenting. 11 Q And could you just give me a little bit of 12 background about Dr. Bahlburg? 13 A Yeah. 14 MR. LANNIN: Object to the form. 15 THE WITNESS: He's probably emeritus now, 16 but a professor at Columbia University. He's a 17 neuroscientist and is an expert in intersex 18 development. 19 BY MR. RAMER: 20 Q Is he a respected scientist? 21 MR. LANNIN: Object to the form. 22 THE WITNESS: Yes, I would say so. 23 BY MR. RAMER: 24 Q So you're saying Dr. Bahlburg invited both 25 you and Dr. Zucker to be on this panel, correct?</p>	<p style="text-align: right;">Page 185</p> <p>1 MH at University of Toronto. 2 Q For Dr. Bahlburg, would "gender variations 3 in somatic intersexuality" sound right? 4 A Yes. He was probably presenting on what 5 he was talking about in that paragraph. That was -- 6 his speaking and writing is -- has tenses, one might 7 expect, from a German scientist. 8 Q And so Dr. Zucker was presenting on gender 9 variations during childhood; is that right? 10 MR. LANNIN: Object to the form. 11 THE WITNESS: Yeah. My recollection of 12 his presentation was he was talking about desistance 13 data, talking about prepubertal youth in his clinic 14 and their various presentations of gender, including 15 desistance. 16 BY MR. RAMER: 17 Q And before this panel, were you aware of 18 Dr. Zucker? 19 A Yes. 20 Q Had you ever interacted with him? 21 A Yes. 22 Q And what did you think of him as a 23 professional? 24 MR. LANNIN: Object to the form. 25 THE WITNESS: So I had interacted with him</p>

CONFIDENTIAL

Page 186	Page 188
<p>1 starting in 2003 when I chaired an APA panel on the 2 gender identity disorder and related chapters in 3 DSM-IV and what might be proposals for DSM-V. And I 4 had asked him to -- to participate in that panel, 5 and he had declined.</p> <p>6 But we had other folks who were kind of 7 defending DSM-IV as it is. The purpose was to both 8 present challenges to the current chapter and 9 suggestions for revising it, as well as people who 10 were kind of defending the current chapter.</p> <p>11 BY MR. RAMER: 12 Q Did you respect Dr. Zucker as a 13 professional?</p> <p>14 MR. LANNIN: Object to the form.</p> <p>15 THE WITNESS: Yes.</p> <p>16 BY MR. RAMER: 17 Q Do you think he practices conversion 18 therapy?</p> <p>19 MR. LANNIN: Object to the form.</p> <p>20 THE WITNESS: So that is really -- what he 21 does is kind of outside of my -- you know, what 22 happened in his office.</p> <p>23 We worked together on Standards of Care 7, 24 which was the first standards of care to reject 25 conversion therapy, and we all had to come to</p>	<p>1 THE WITNESS: Yes. Specifically, there 2 was a protester who was not a member of WPATH and 3 didn't even know who the panelists were, because she 4 interrupted Dr. Heino Meyer-Bahlburg, and -- for a 5 few minutes, and then left.</p> <p>6 BY MR. RAMER: 7 Q And when you say this individual 8 interrupted Dr. Bahlburg, how did she do that?</p> <p>9 A She stood in front of him and spoke.</p> <p>10 I don't recall what she actually said, but 11 she did, like, kind of -- he was at a podium, and 12 she just kind of stood in front of him at the 13 podium.</p> <p>14 Q Was she alone or were others also 15 protesting at that time?</p> <p>16 MR. LANNIN: Object to the form.</p> <p>17 THE WITNESS: So I think in the room she 18 was alone, but there were some people in the room 19 who were sympathetic, you know, to her, to the 20 objection to Dr. Zucker.</p> <p>21 However, I did my presentation and 22 Dr. Zucker did his whole presentation, and I think 23 didn't get any challenges until Q&A.</p> <p>24 BY MR. RAMER: 25 Q And what happened at Q&A?</p>
Page 187	Page 189
<p>1 consensus on it. And he said at that time that he 2 was not practicing conversion therapy.</p> <p>3 He was accused of it, and the whole 4 Provincial Parliament of Ontario, I think, 5 unanimously voted to ban conversion therapy.</p> <p>6 And he was the subject of a lot of 7 controversy among accusations that he had mistreated 8 children, and his program was terminated. And he 9 became -- he was already, I think, a controversial 10 figure, but he became more controversial, you know, 11 in the wake of that whole -- you know, of all those 12 things that happened.</p> <p>13 And then shortly before the conference, he 14 appeared on a British documentary, during which he 15 referred to transgender youth or gender-diverse 16 children, he compared them to dogs. He said, "If 17 your child said he were a dog, would you feed him 18 dog food?"</p> <p>19 So -- this was just before the conference, 20 and so there was also kind of added attention to his 21 presence at the conference.</p> <p>22 BY MR. RAMER: 23 Q And protestors interrupted your panel with 24 Dr. Bahlburg and Dr. Zucker, correct?</p> <p>25 MR. LANNIN: Object to the form.</p>	<p>1 A I can't remember, but I think somebody 2 might have objected to his being there. But I can't 3 remember.</p> <p>4 Q Is it fair to say that Dr. Zucker was the 5 target of the interruptions?</p> <p>6 MR. LANNIN: Object to the form.</p> <p>7 THE WITNESS: So there was -- there may 8 have been more, but I just recall this one woman 9 who, even though she interrupted Heino 10 Meyer-Bahlburg, she intended to interrupt 11 Ken Zucker.</p> <p>12 But clearly she wasn't somebody working in 13 WPATH or in transgender health or she would know 14 who -- you know, she would know this German-speaking 15 man was not -- that Ken Zucker wasn't German.</p> <p>16 BY MR. RAMER: 17 Q And did the protesters limit the panel's 18 ability to give the fully planned presentation?</p> <p>19 MR. LANNIN: Object to the form.</p> <p>20 THE WITNESS: So they -- I know they 21 interrupted Heino, Dr. Meyer-Bahlburg, but I think I 22 spoke over time, and Dr. Zucker I think went well 23 over time.</p> <p>24 So I think we were able to give our 25 presentations.</p>

CONFIDENTIAL

<p style="text-align: right;">Page 190</p> <p>1 BY MR. RAMER: 2 Q And you touched on it a little bit, but 3 how did the members of the panel -- so you, 4 Dr. Zucker, Dr. Bahlburg -- react to the 5 interruption? 6 MR. LANNIN: Object to the form. 7 THE WITNESS: I think we initially just 8 tried to let her say her piece, and I don't remember 9 whether we, at some point, were like, "Okay. You've 10 said your piece. It's time to go." 11 I can't remember specifically. 12 BY MR. RAMER: 13 Q Did someone call security in response to 14 the interruption of the panel? 15 A So, yes, I learned that later that 16 somebody had called security. 17 But that -- I think if there was any 18 protest needing security, it would have probably 19 been outside of the -- of the conference room that 20 we were doing the presentation in, because I think 21 we gave our presentations uninterrupted. 22 They may have been protesting out in the 23 hall. I don't recall. 24 Q What is the protest outside of the 25 conference room you're referring to?</p>	<p style="text-align: right;">Page 192</p> <p>1 BY MR. RAMER: 2 Q And what do you know about that threat 3 you're mentioning? 4 MR. LANNIN: Object to the form. 5 THE WITNESS: I actually don't know very 6 much. I was busy -- I mean, my conference chair 7 thing was quite an unglamorous thing of making sure 8 that all the different sessions and different things 9 that were going on were happening properly. 10 And so I wasn't there for all of the 11 discussions, but Gail Knudson told me that there had 12 been a threat, and the threat was that the session 13 wasn't going to happen, and that she thought that it 14 would be for the best for us not to do that session 15 because we couldn't guarantee people's safety, 16 especially, you know, being on this campus where 17 people could -- could come and go. 18 And so -- so a decision was made to cancel 19 that session, and I think I was in agreement. And 20 if anyone else was in agreement, that we just needed 21 to make sure that we were putting on the conference 22 safely. 23 BY MR. RAMER: 24 Q Do you think WPATH suppressed Dr. Zucker's 25 presentation?</p>
<p style="text-align: right;">Page 191</p> <p>1 A I mean, like, in the hallway of the 2 conference center. 3 We were in a room, but we were able to 4 speak. So I'm not sure what happened outside of the 5 room that we were speaking in. 6 Q And do you know who called security? 7 A No. 8 Q And Dr. Zucker was scheduled to appear on 9 another panel at the conference, correct? 10 A Yes. 11 Q And what happened to that panel? 12 MR. LANNIN: Object to the form. 13 THE WITNESS: So that session ended up 14 being canceled. 15 We realized we had held the conference on 16 this university campus, and we didn't really have 17 the capacity to keep people who weren't registered 18 for the conference from coming in. 19 And the president of WPATH at the time, 20 Gail Knudson, received some threat that the -- that 21 that session wouldn't happen, and made the decision 22 that it was for the best for us to cancel that 23 session and to try to keep the conference safe for 24 its participants. 25 ///</p>	<p style="text-align: right;">Page 193</p> <p>1 MR. LANNIN: Object to the form. 2 THE WITNESS: So I think that WPATH had 3 always been very supportive of having Dr. Zucker 4 speak, up to my agreeing to be on that presentation 5 kind of in the hopes that even with my presence, it 6 would make it more likely that everything would go 7 smoothly, which was probably a misunderstanding of 8 my importance. 9 But we were really trying to have a 10 diverse set of viewpoints at WPATH and at the 11 conference, including, for example, a session of 12 de-transitioners, who were quite anti-WPATH, having 13 the opportunity to speak at the conference as well. 14 And I think we kind of prided ourselves on 15 at least trying to provide kind of a diversity of 16 viewpoints and to allow them to be discussed in an 17 open environment. But when we were faced with the 18 possibility that it might not be safe to do so, that 19 it made sense to -- to cancel the session. 20 I would note that, you know, there was the 21 USPATH and the EPATH conference that year, and the 22 EPATH conference took place a couple months later in 23 Belgrade, and Dr. Zucker was able to present without 24 incident, as far as I know, in Belgrade. 25 ///</p>

CONFIDENTIAL

Page 194	Page 196
<p>1 BY MR. RAMER:</p> <p>2 Q Did you post an apology for the panel on</p> <p>3 Facebook?</p> <p>4 A Yes.</p> <p>5 Q And what were you apologizing for?</p> <p>6 A So I felt that kind of an approach of</p> <p>7 humility was maybe what made the most sense in terms</p> <p>8 of kind of settling things down.</p> <p>9 And people had brought to my attention to</p> <p>10 some degree -- I didn't realize the extent of it --</p> <p>11 that having Ken Zucker there -- in the light of the</p> <p>12 accusations of abusing children and the television</p> <p>13 evidence of his comparing gender-diverse children to</p> <p>14 dogs -- that by having him there, WPATH was making a</p> <p>15 statement itself in support of those ideas.</p> <p>16 And I think I'd been kind of dismissive</p> <p>17 about them, in the laudable goal of, you know, free</p> <p>18 expression.</p> <p>19 I think that in retrospect, probably there</p> <p>20 should have been an attempt at conversation with</p> <p>21 people who objected before the conference, and --</p> <p>22 but it wasn't something that we realized was going</p> <p>23 to kind of blow up in that fashion until it did.</p> <p>24 Q And so to whom were you apologizing?</p> <p>25 A So I don't remember the Facebook -- I</p>	<p>1 MR. LANNIN: Object to the form.</p> <p>2 THE WITNESS: So I included a response,</p> <p>3 and I don't know where that came from. But someone</p> <p>4 had communicated to me that trans people, especially</p> <p>5 trans women of color, were feeling threatened by the</p> <p>6 current -- in the current political environment.</p> <p>7 And so while I was apologizing, I included that in.</p> <p>8 It was not an apology related to</p> <p>9 Dr. Zucker on behalf of that, but I was</p> <p>10 acknowledging that trans women of color were</p> <p>11 vulnerable. That was a group that had -- there was</p> <p>12 a group representing trans women of color who had</p> <p>13 lodged a particular complaint about feeling</p> <p>14 vulnerable in this environment, and WPATH not being</p> <p>15 supportive of the trans community or trans women of</p> <p>16 color.</p> <p>17 So I think it was in response to that</p> <p>18 complaint against WPATH that I included that in</p> <p>19 my -- in my -- in this apology. But it was -- that</p> <p>20 wasn't, I don't think, particular to Ken Zucker, but</p> <p>21 rather to complaints in the wake of the protest that</p> <p>22 we got about WPATH.</p> <p>23 BY MR. RAMER:</p> <p>24 Q And after the panel, did you attend a</p> <p>25 meeting with the individuals who opposed</p>
Page 195	Page 197
<p>1 mean, I remember posting it. I don't remember what</p> <p>2 was in it. That time was kind of a blur.</p> <p>3 But I was apologizing to the people who</p> <p>4 were upset with how things went down.</p> <p>5 Q And do you think that trans women of color</p> <p>6 in particular were owed an apology?</p> <p>7 MR. LANNIN: Object to the form.</p> <p>8 THE WITNESS: I don't remember what I said</p> <p>9 or what it was in response to.</p> <p>10 (Exhibit 8 was marked for identification</p> <p>11 and is attached hereto.)</p> <p>12 BY MR. RAMER:</p> <p>13 Q Dr. Zucker, you've been handed -- I'm</p> <p>14 sorry.</p> <p>15 Dr. Karasic, you've been handed what's</p> <p>16 been marked as Karasic Exhibit 8.</p> <p>17 And does this appear to be the Facebook</p> <p>18 post we were discussing?</p> <p>19 A Yes.</p> <p>20 Q And at the bottom, a few sentences up, you</p> <p>21 refer specifically to "trans women of color."</p> <p>22 And I'm just curious if that has to do</p> <p>23 with the presence of Dr. Zucker or the fact that, as</p> <p>24 you're saying here, they're the most vulnerable of</p> <p>25 people.</p>	<p>1 Dr. Zucker's presence at the conference?</p> <p>2 A Right. It was after the panel. After the</p> <p>3 panel, I was busy with these other sessions that</p> <p>4 were going on. I went back and I learned that Ken</p> <p>5 Zucker wouldn't be -- that that session wouldn't be</p> <p>6 happening.</p> <p>7 And then I was asked to -- to go to that</p> <p>8 session as part of an attempt to kind of diffuse</p> <p>9 the -- the kind of protests that had led to that</p> <p>10 threat. We were wanting to try to calm people down.</p> <p>11 (Exhibit 9 was marked for identification</p> <p>12 and is attached hereto.)</p> <p>13 BY MR. RAMER:</p> <p>14 Q And, Dr. Karasic, you've been handed</p> <p>15 what's been marked as Karasic Exhibit 9. And I'll</p> <p>16 note that this is stamped "Confidential - Subject to</p> <p>17 Protective Order," and it has Bates stamp</p> <p>18 BOEAL_WPATH_064098.</p> <p>19 You can see from the subject at the top,</p> <p>20 the subject line says, "Re: Forward: NYTimes Mag</p> <p>21 fact-checking."</p> <p>22 Do you see that?</p> <p>23 A Yes.</p> <p>24 Q And as we look at this email, the first</p> <p>25 one in the chain says, "My responses are integrated</p>

CONFIDENTIAL

Page 198	Page 200
<p>1 below."</p> <p>2 Do you see that?</p> <p>3 A Yes.</p> <p>4 Q Then as we move down the chain, we see the</p> <p>5 previous message said, "New set of fact checks</p> <p>6 below."</p> <p>7 Do you see that?</p> <p>8 A I was just trying to see what this is,</p> <p>9 okay?</p> <p>10 Q All I'm asking is, do you see that? It</p> <p>11 says, "New set of" --</p> <p>12 A Yes.</p> <p>13 Q And then you move down to the prior email</p> <p>14 in the chain. It is from an individual named</p> <p>15 Mark de Silva, with an email address at the New York</p> <p>16 Times, correct?</p> <p>17 A Yes.</p> <p>18 Q And in the first paragraph of this email</p> <p>19 from Mark de Silva, which is dated June 7th, 2022,</p> <p>20 the second sentence says, "In the meantime, I have</p> <p>21 some further questions for WPATH."</p> <p>22 Do you see that?</p> <p>23 A Yes.</p> <p>24 Q And then I'd like to go to the next page,</p> <p>25 and about halfway down the paragraph above the</p>	<p>1 women of color read aloud a statement in which they</p> <p>2 said the 'entire institution of WPATH' was</p> <p>3 'violently exclusionary' because it 'remains</p> <p>4 grounded in 'cis-normativity and trans exclusion'?"</p> <p>5 Do you see that?</p> <p>6 A Yes.</p> <p>7 Q And then after that, in brackets it says,</p> <p>8 "Quotes are from video."</p> <p>9 Do you see that?</p> <p>10 A Yes.</p> <p>11 Q And then there is a dash, and then there's</p> <p>12 a redaction, and then it says, "Yes."</p> <p>13 Do you see that?</p> <p>14 A Yes.</p> <p>15 Q Are those quotes referenced there</p> <p>16 consistent with your recollection of that meeting?</p> <p>17 A Yes.</p> <p>18 Q Did you speak at that meeting?</p> <p>19 A Yes.</p> <p>20 Q Do you recall who else spoke at that</p> <p>21 meeting?</p> <p>22 A No.</p> <p>23 Q Did Dr. Jamison Green speak at that</p> <p>24 meeting?</p> <p>25 MR. LANNIN: Object to the form.</p>
Page 199	Page 201
<p>1 number 6 that starts with, "We also have questions."</p> <p>2 Do you see that?</p> <p>3 A Yes.</p> <p>4 Q And that paragraph says, "We also have</p> <p>5 questions about a protest in February 2017," and</p> <p>6 then there are brackets with a YouTube link,</p> <p>7 correct?</p> <p>8 A Yes.</p> <p>9 Q And the following sentence says, "We have</p> <p>10 spoken with" -- redacted -- "and he believes it is</p> <p>11 generally accurate, but we would also like see [sic]</p> <p>12 if WPATH sees anything inaccurate here," correct?</p> <p>13 A Yes.</p> <p>14 Q And then No. 8 -- I'm sorry. I'll start</p> <p>15 with No. 7. It says, No. 7, "At this conference,</p> <p>16 protestors interrupted and picketed a panel</p> <p>17 featuring" -- redacted.</p> <p>18 Do you see that?</p> <p>19 A Yes.</p> <p>20 Q And then after that, it says, "Yes,"</p> <p>21 correct?</p> <p>22 A Yes.</p> <p>23 Q And then No. 8, it says, "That evening of</p> <p>24 the protest at a meeting with the conference</p> <p>25 leaders, a group of activists led by transgender</p>	<p>1 THE WITNESS: I would assume that he</p> <p>2 was -- he did. I think he would have been past</p> <p>3 president of WPATH and kind of involved with the</p> <p>4 conference.</p> <p>5 BY MR. RAMER:</p> <p>6 Q And what did you say at the meeting?</p> <p>7 A What did I say at the meeting?</p> <p>8 Q Correct.</p> <p>9 A I don't think I could say. I -- I'm sure</p> <p>10 I apologized in some way.</p> <p>11 Beyond that, I know that I was kind of</p> <p>12 asked to go and to try to, you know, kind of humbly</p> <p>13 calm people down, but I don't remember what I said</p> <p>14 at the meeting.</p> <p>15 Q And I'd like to play a clip for you on</p> <p>16 this iPad.</p> <p>17 (Addressing Counsel) And I can represent</p> <p>18 that I have it on here (indicating) if you want it</p> <p>19 as well.</p> <p>20 I'll also represent that this is a clip</p> <p>21 from the YouTube video that's at the link that's</p> <p>22 listed in the document we were just looking at.</p> <p>23 THE REPORTER: Do you want me to take this</p> <p>24 down, or just indicate that it is played?</p> <p>25 MR. RAMER: Just indicate, please.</p>

CONFIDENTIAL

Page 202	<p>1 THE REPORTER: Thank you.</p> <p>2 MR. RAMER: I'll first just ask you just</p> <p>3 to watch it, and then I'll have some questions</p> <p>4 afterward.</p> <p>5 THE REPORTER: One more question. Do you</p> <p>6 want this as an exhibit?</p> <p>7 MR. RAMER: Yes, please. And on the thumb</p> <p>8 drive that I gave to counsel and to the court</p> <p>9 reporter, this video is labeled</p> <p>10 "Karasic_Dep_Video1."</p> <p>11 (Exhibit 10 was marked for identification</p> <p>12 and is attached hereto.)</p> <p>13 (Video played.)</p> <p>14 BY MR. RAMER:</p> <p>15 Q Dr. Karasic, is that a video from the</p> <p>16 meeting we were just discussing?</p> <p>17 A Yes.</p> <p>18 Q And is that you speaking in that video?</p> <p>19 A Yes.</p> <p>20 Q And in that clip, you say that you wrote</p> <p>21 an op-ed urging the Ontario legislature to pass a</p> <p>22 ban on conversion therapy that contributed to</p> <p>23 Dr. Zucker being fired, correct?</p> <p>24 MR. LANNIN: Object to the form.</p> <p>25 THE WITNESS: Yes. There was an op-ed by</p>	Page 204	<p>1 getting a high enough score, you didn't think that</p> <p>2 you should have let Dr. Zucker present, correct?</p> <p>3 MR. LANNIN: Object to the form.</p> <p>4 THE WITNESS: Yes. We couldn't -- as it</p> <p>5 turns out, we couldn't do that and have the</p> <p>6 conference safely go on. And I didn't think that --</p> <p>7 I mean, I think Dr. Zucker has many, many places to</p> <p>8 present his views.</p> <p>9 I obviously did support his being able to</p> <p>10 present that at USPATH until it led to potentially a</p> <p>11 threat to the safety of the participants of the</p> <p>12 conference.</p> <p>13 And so I didn't think -- so I was</p> <p>14 supportive despite my disagreements with Dr. Zucker</p> <p>15 to present them side by side. And, you know, if</p> <p>16 that is something that could have been done</p> <p>17 safely -- but in retrospect, it was a mistake</p> <p>18 because it couldn't be done safely in that</p> <p>19 particular environment.</p> <p>20 We later were in agreement, though, that</p> <p>21 Dr. Zucker shouldn't be, you know, canceled in any</p> <p>22 way, and he did present at the next WPATH conference</p> <p>23 a couple months later in Serbia.</p> <p>24 BY MR. RAMER:</p> <p>25 Q At the USPATH 2017 conference, did you</p>
Page 203	<p>1 one of the colleagues of Dr. Zucker at that program</p> <p>2 in support of conversion therapy, I think, at least</p> <p>3 opposing the ban on conversion therapy.</p> <p>4 And then I wrote an opposing view saying</p> <p>5 that conversion therapy should be banned. And the</p> <p>6 Ontario legislature did end up banning conversion</p> <p>7 therapy.</p> <p>8 Ken Zucker was -- had said he wasn't</p> <p>9 committing conversion therapy, and there was</p> <p>10 actually some litigation with him and the</p> <p>11 university.</p> <p>12 And -- but they had said that he -- well,</p> <p>13 he had been accused of mistreating young people, and</p> <p>14 I think they felt that was sufficient to -- to close</p> <p>15 the program. Whether or not that was conversion</p> <p>16 therapy, I think they didn't feel it was a benefit</p> <p>17 to the university.</p> <p>18 So that's what had happened. Obviously</p> <p>19 there were -- you know, I wasn't the principal</p> <p>20 person involved, but I did write the kind of</p> <p>21 counterargument op-ed for when Ontario was</p> <p>22 considering their conversion therapy ban.</p> <p>23 BY MR. RAMER:</p> <p>24 Q And in that video, you say that even if</p> <p>25 the abstract for the panel with Dr. Zucker was</p>	Page 205	<p>1 attend the gala or banquet event?</p> <p>2 A Yes.</p> <p>3 MR. RAMER: I'm going to play another</p> <p>4 video on the thumb drive. It is Karasic_Dep_02.</p> <p>5 It's about five minutes long.</p> <p>6 THE REPORTER: Will this be 11?</p> <p>7 MR. RAMER: Yes, please.</p> <p>8 So this will be Karasic Exhibit 11.</p> <p>9 (Exhibit 11 was marked for identification</p> <p>10 and is attached hereto.)</p> <p>11 (Video played.)</p> <p>12 BY MR. RAMER:</p> <p>13 Q Dr. Karasic, I've stopped that video,</p> <p>14 Exhibit 11, at the one-second mark.</p> <p>15 Is that you standing in the background</p> <p>16 there?</p> <p>17 A Yes. I think that was the board of WPATH</p> <p>18 and the staff of -- yeah, the board and staff of</p> <p>19 WPATH who were standing up there in the background</p> <p>20 before all this happened.</p> <p>21 Q And does that video depict events that</p> <p>22 occurred at the 2017 USPATH gala that you attended?</p> <p>23 A Yes.</p> <p>24 MR. RAMER: I have one more video on the</p> <p>25 thumb drive. This is Karasic_dep_video3.</p>

CONFIDENTIAL

<p style="text-align: right;">Page 206</p> <p>1 I'll ask to mark that as Exhibit 12. 2 (Exhibit 12 was marked for identification 3 and is attached hereto.) 4 MR. RAMER: And this is also about five 5 minutes long. 6 (Video played.) 7 BY MR. RAMER: 8 Q Dr. Karasic, did that video depict events 9 that occurred at the 2017 USPATH gala that you 10 attended? 11 A Yes. 12 Q And I've paused Exhibit 12 at the 13 2:49 mark. 14 The individual on the right of the screen 15 in the purple shirt, is that Dr. Jamison Green? 16 A Yes. 17 Q I've now paused Exhibit 12 at the 18 55-second mark. 19 Who is the individual holding the 20 microphone? 21 A I believe that is Danielle Castro. 22 Q I have now paused Exhibit 12 at the 23 mark. 24 Do you know who that individual is who's 25 holding the microphone?</p>	<p style="text-align: right;">Page 208</p> <p>1 MR. LANNIN: Object to the form. 2 THE WITNESS: I don't recall if I 3 contributed at all. It was not a board message. It 4 was a message from the executive committee, and I 5 was not on the executive committee. So I don't -- I 6 don't recall if I had any input in this. 7 Again, there was -- the WPATH president 8 met with the activists, and they -- and this was 9 part of -- I guess this was kind of what they had 10 hashed out, theoretically, in executive committee 11 meeting. An agreement would then need to be 12 approved by the board as a whole, but I don't have 13 any recollection if that -- if that happened. 14 BY MR. RAMER: 15 Q Was this statement later removed from the 16 WPATH website? 17 MR. LANNIN: Object to the form. 18 THE WITNESS: I assume it was. I don't 19 know if I -- I don't recall seeing it on the WPATH 20 website. So -- but it was a long time ago. 21 BY MR. RAMER: 22 Q Do you know why it was removed? 23 MR. LANNIN: Object to the form. 24 THE WITNESS: No, I don't recall. 25 ///</p>
<p style="text-align: right;">Page 207</p> <p>1 A I forget her name. She is a leading 2 activist in the Los Angeles transgender community. 3 But -- I met her at that conference, but I don't 4 remember her name. 5 Q Did the WPATH executive committee release 6 an apology regarding Dr. Zucker's presentation? 7 MR. LANNIN: Object to the form. 8 THE WITNESS: I think there was a 9 reference on the website about when -- basically 10 there was an agreement that was hashed out between 11 Dr. Knudson, the WPATH president, and the activists 12 who were on stage. And with that, there was -- 13 there was a statement of some sort on the website. 14 (Exhibit 13 was marked for identification 15 and is attached hereto.) 16 BY MR. RAMER: 17 Q Dr. Karasic, the court reporter has handed 18 you what's been marked as Karasic Exhibit 13. 19 A Yes. 20 Q Does this appear to be the statement you 21 were just referencing? 22 A Yes. It's from the WPATH executive 23 committee, and I was -- I'm not -- I was not on the 24 executive committee. But they wrote this statement. 25 Q Did you help write it at all?</p>	<p style="text-align: right;">Page 209</p> <p>1 BY MR. RAMER: 2 Q Do you recall voting to remove it? 3 MR. LANNIN: Object to the form. 4 THE WITNESS: I don't recall. It was a 5 long time ago. 6 BY MR. RAMER: 7 Q What was your reaction to this statement 8 in Exhibit 13? 9 MR. LANNIN: Object to the form. 10 THE WITNESS: I don't recall. 11 It was a process that happened between the 12 WPATH president and the activists, and they had made 13 this agreement that, I think, preceded that -- that 14 last video that -- where they tried to create some 15 sort of settlement to essentially make sure that the 16 conference was -- could go on safely, and then 17 I'm -- I don't know what happened with it. 18 I do think that there didn't need to be, 19 for example, two seats on the SOC-8 committee for 20 trans people of color because there were at least a 21 couple of trans people of color, you know. There 22 were a number of trans people of color who were -- 23 you know, who were on the committee, not because of 24 being activists. 25 But I was not the person who developed</p>

CONFIDENTIAL

Page 210	Page 212
<p>1 this statement, and I don't recall what ended up 2 happening with it. 3 BY MR. RAMER: 4 Q I'm going to read the first two sentences, 5 and I'll first ask if I read them correctly. 6 It says, "On February 3, 2017 a WPATH 7 member presented at the USPATH conference on a 8 clinical modality that WPATH opposes. A conference 9 attendee disrupted the offensive session due to this 10 act of negligence." 11 Did I read that correctly? 12 A Yes. 13 Q Do you think those two sentences 14 accurately portray what happened at your panel? 15 A No. 16 Q Do you think this statement from WPATH is 17 reflective of a commitment to the open exchange of 18 ideas? 19 MR. LANNIN: Object to the form. 20 THE WITNESS: I think it was something 21 that was hashed out by members of the WPATH 22 executive committee and some transgender activists 23 who were kind of occupying the conference center. 24 I don't think it was -- I don't think the 25 presentation was an act of negligence. I think, you</p>	<p>1 THE VIDEO OPERATOR: This marks the end of 2 Media Unit 3 of the deposition of Dan Karasic, M.D. 3 The time is 3:58 p.m. We're off the record. 4 (Recess, 3:58 p.m. - 4:12 p.m.) 5 THE VIDEO OPERATOR: We are back on the 6 record at 4:12 p.m. This marks the beginning of 7 Media Unit 4 of the deposition of Dan Karasic, M.D. 8 Please continue. 9 (Exhibit 14 was marked for identification 10 and is attached hereto.) 11 BY MR. RAMER: 12 Q Dr. Karasic, you've been handed what's 13 been marked as Karasic Exhibit 14, which is stamped 14 "Confidential," and has the Bates stamp of 15 BOEAL_KARASIC_8. 16 Do you see that? 17 A Yes. 18 Q And about -- just a little over halfway 19 down the page, do you see that you are cc'd in this 20 email? 21 A Yes. 22 Q And up at the very top, the subject is 23 "Forward: A message from the WPATH Executive 24 Committee (re USPATH meeting, February 3, 2017)," 25 correct?</p>
Page 211	Page 213
<p>1 know, we should have worked with people in advance 2 and tried to decide if this could be done safely 3 before doing it. 4 I suppose if it was negligence, it was 5 that we didn't, you know, think about the -- you 6 know, the potential for acts of violence. 7 BY MR. RAMER: 8 Q Do you think your panel was offensive? 9 MR. LANNIN: Object to the form. 10 THE WITNESS: I don't think so, but it did 11 offend people. 12 BY MR. RAMER: 13 Q Do you recall how the other members of 14 your panel reacted to this statement? 15 MR. LANNIN: Object to the form. 16 THE WITNESS: I think that Heino 17 Meyer-Bahlburg was unhappy with it. I didn't -- I'm 18 not in regular communication with Ken Zucker. 19 But Heino and I were working on the paper 20 together, and so we were in communication and -- we 21 were in communication, and I know he was unhappy 22 with this session being characterized that way. 23 MR. RAMER: We've been going for over an 24 hour. Maybe time for a break? 25 MR. LANNIN: Great.</p>	<p>1 A Yes. 2 Q And on the next page, the first 3 sentence -- I'll just read it first and ask if I 4 read it correctly -- says, "The Mini-Symposium 5 entitled 'Development of Gender Variations: 6 Features and Factors' that I convened and chaired 7 was interrupted twice by a small group of 8 protestors." 9 Did I read that correctly? 10 A Yes. 11 Q And the paragraph below that, the first 12 sentence says, "Because of the time used up by the 13 first disruption and related questions from the 14 audience later, I decided to give the first two 15 speakers" -- redacted -- "(Gender dysphoria and 16 dissociative gender identity disorder combined) 17 and" -- redacted -- "(Gender variations during 18 childhood)' more time for their presentations and 19 Q&A sections and was, therefore, unable to present 20 my own lecture ('Gender Variations in Somatic 21 Intersexuality,' which also included a summary of 22 the fourth lecture by" -- redacted -- "who was 23 unable to attend)." 24 Did I read that correctly? 25 A Yes.</p>

CONFIDENTIAL

<p style="text-align: right;">Page 214</p> <p>1 Q Is it fair to say this email was written 2 by Dr. Bahlburg? 3 A Yes. 4 Q And next paragraph, the final sentence, 5 which is fairly long, it starts about halfway 6 through the paragraph. I'm just going to read that 7 first and ask if I read it correctly. 8 "By misrepresenting the content of the 9 session and labeling the entire session as 10 'offensive,' 'due to this act of negligence,' (a 11 vague formulation that also needs explanation), you 12 are aligning yourselves with the small group of 13 protestors, insult the speakers involved, and 14 violate a primary condition of a scientific meeting, 15 namely the open and constructive exchange of ideas, 16 which is particularly important in an area of 17 research as emotion-laden as gender." 18 Did I read that correctly? 19 A Yes. 20 Q And then there's a short one-sentence 21 paragraph, and then there's a sentence below that. 22 I'm sorry -- a paragraph below that. 23 And the first sentence says, "As similar 24 incidents occurred already in two symposia I was 25 involved with at the recent WPATH meeting in</p>	<p style="text-align: right;">Page 216</p> <p>1 Q And then the -- at the top email, the 2 "From" is redacted, and the "To" is to the WPATH EC 3 Listserv. 4 Do you see that? 5 A Yes. 6 Q And do you see, two lines down in the 7 email, it says, "Begin forwarded message." 8 Do you see that? 9 A Yes. 10 Q And then "From" is redacted, and "To" is 11 redacted, correct? 12 A Yes. 13 Q And then below that, "Subject: A message 14 from the WPATH Executive Committee," correct? 15 A Yes. 16 Q And I'd like to go to the number 1 that 17 says, "The first sentence reads: 'On February 3, 18 2017 a WPATH member'" -- brackets, redacted -- 19 "'presented at the USPATH conference on a clinical 20 modality that WPATH opposes." 21 Do you see that? 22 A Yes. 23 Q And that is quoting the WPATH statement 24 that we previously looked at, correct? 25 A Yes.</p>
<p style="text-align: right;">Page 215</p> <p>1 Amsterdam, I think WPATH's leadership needs to 2 become more proactive in furthering a constructive 3 style of scientific exchange - rather than 4 inhibiting scientific exchange by suppressing 5 presentations as you did in L.A., when you 6 canceled" -- redacted -- Mini-Symposium on 7 February 4." 8 Did I read that correctly? 9 A Yes. 10 (Exhibit 15 was marked for identification 11 and is attached hereto.) 12 BY MR. RAMER: 13 Q Dr. Karasic, you've been handed what's 14 been marked Exhibit 15, and this is stamped 15 "Confidential" and has a Bates number of 16 BOEAL_WPATH_101671. 17 Do you see that? 18 A Yes. 19 Excuse me. Where is the -- oh, down 20 there. 101671. Yes. 21 Q And the subject of this email is 22 "Forward: A message from the WPATH Executive 23 Committee" at the top. 24 Do you see that? 25 A Yes.</p>	<p style="text-align: right;">Page 217</p> <p>1 Q And then below that, the comment says, 2 "Let me begin by saying that I do not know if you 3 or" -- redacted -- "were at the Symposium (organized 4 and chaired by...)" -- redacted. "The sentence 5 simply astonishes me. My talk was not at all about 6 any 'clinical modality' - it was a summary of 7 follow-up studies of children diagnosed with GID 8 (the diagnostic label that was in place for a number 9 of the follow-up studies) or children subthreshold 10 for the diagnosis." 11 Do you see that? 12 A Yes. 13 Q And moving down to the number 2 in the 14 email, it says, "The third sentence reads: 'Later 15 that day the same presenter was asked to leave by a 16 group of professionals attending the conference.' 17 A Yes. 18 Q And that is quoting the WPATH statement 19 that we previously looked at, correct? 20 It's Exhibit 13 if you want to compare it. 21 A Oh, here it is. 22 Q And I believe it's the third sentence in 23 the Exhibit 13. 24 A Yes. 25 Q Going back to Exhibit 15, below where we</p>

CONFIDENTIAL

<p style="text-align: right;">Page 218</p> <p>1 were just reading, it says, "Comment: This sentence 2 also simply astonishes me. No one asked me to 3 leave," correct? 4 A Yes. 5 Q Is it fair to say this email was written 6 by Dr. Zucker? 7 A Yes. 8 Q Moving down to No. 3, it says, "There is, 9 of course, a broader issue at stake here. At WPATH 10 in Amsterdam last June, activists disrupted a 11 Symposium on DSDs and defaced a poster. I find it 12 remarkable that the leadership of WPATH has remained 13 silent about this. If there cannot be meaningful 14 dialogue about complex issues at WPATH or USPATH, 15 how can the organization consider itself to be 16 'professional'?" 17 Did I read that correctly? 18 A Yes. 19 Q Do you recall whether the opponents of 20 Dr. Zucker's presence at the conference responded to 21 either your apology or the WPATH statement we've 22 been looking at? 23 MR. LANNIN: Object to the form. 24 THE WITNESS: I don't recall. I wouldn't 25 be surprised if my statement on Facebook had people</p>	<p style="text-align: right;">Page 220</p> <p>1 Do you see that? 2 A Yes. 3 Q Do you think Dr. Zucker's presentation 4 constituted violence? 5 MR. LANNIN: Object to the form. 6 THE WITNESS: I don't -- that is not 7 terminology that I use; so I -- I wouldn't call it 8 that, no. 9 BY MR. RAMER: 10 Q Do you think it would be reasonable for 11 somebody to say that Dr. Zucker's presence at the 12 conference constituted violence? 13 MR. LANNIN: Object to the form. 14 THE WITNESS: No. 15 BY MR. RAMER: 16 Q Why do you think the authors of this 17 document did? 18 MR. LANNIN: Object to the form. 19 THE WITNESS: Can I say, "Young people 20 today"? 21 You know, people have different 22 perspectives. And you go into a world where 23 sometimes other people's perspectives surprise you 24 or are different from you or take things in a 25 different -- a very different way.</p>
<p style="text-align: right;">Page 219</p> <p>1 responding. 2 I had said I didn't -- I wasn't 3 responsible for the executive committee message or 4 the -- or those emails; so I don't -- I don't 5 recall. 6 (Exhibit 16 was marked for identification 7 and is attached hereto.) 8 BY MR. RAMER: 9 Q Dr. Karasic, you've been handed what's 10 been marked as Karasic Exhibit 16. This is stamped 11 "Confidential," and the Bates number is 12 BOEAL_WPATH_143750, correct? 13 A Yes. 14 Q Have you seen this document before? 15 A Not that I recall. I wasn't on the 16 executive committee. And I think that the 17 negotiation between the activists and the executive 18 committee took place without my participation. So I 19 don't recall all the communications involved. 20 Q And going down to Question 2 on this 21 page -- sorry, I guess it's not a question -- 22 Statement 2 on this page, it says, "This statement 23 fails to include the multiple people and range of 24 identities that were affected by the violence that 25 Zucker and WPATH allowed and perpetuated."</p>	<p style="text-align: right;">Page 221</p> <p>1 BY MR. RAMER: 2 Q And sticking with this document, going up 3 to the Statement No. 1 and the underlined response 4 below it, in the second sentence, which is fairly 5 long, it refers to "trans-positive practitioners and 6 researchers." 7 Do you see that? 8 A In -- I'm sorry. What paragraph was that? 9 Q So up to the Statement No. 1 that begins, 10 "This letter fails," do you see that? 11 A Yes. 12 Q And then going to the underlined portion 13 after that -- 14 A Oh, I see it. 15 Q -- the second -- 16 A Yeah. Yes. 17 Q And what are trans-positive practitioners 18 and researchers? 19 MR. LANNIN: Object to the form. 20 THE WITNESS: I wouldn't use that wording, 21 either. 22 From my recollection, there was a -- you 23 know, kind of a range of presenters for that 24 session. And I don't know if the -- I assume the 25 other three presenters would have a different</p>

56 (Pages 218 - 221)

CONFIDENTIAL

Page 222	Page 224
<p>1 perspective than Ken Zucker, but I don't think that</p> <p>2 they were there simply to rebut Dr. Zucker's</p> <p>3 theories. I think the point of the session was to</p> <p>4 show a range of views.</p> <p>5 BY MR. RAMER:</p> <p>6 Q Do you have any reason to think Dr. Zucker</p> <p>7 is not a trans-positive practitioner?</p> <p>8 MR. LANNIN: Object to the form.</p> <p>9 THE WITNESS: I don't -- for any of those</p> <p>10 people involved, it's not terminology that -- I</p> <p>11 don't know what that means.</p> <p>12 (Exhibit 17 was marked for identification</p> <p>13 and is attached hereto.)</p> <p>14 BY MR. RAMER:</p> <p>15 Q Dr. Karasic, you've been handed what's</p> <p>16 been marked as Karasic Exhibit 17. And on the first</p> <p>17 page, it says, "Private Plaintiffs' Supplemental</p> <p>18 Rule 26 Disclosures."</p> <p>19 Do you see that?</p> <p>20 A Yes.</p> <p>21 Q And I'd like to go to page -- page 3.</p> <p>22 A Yes.</p> <p>23 Q And do you see you're listed there?</p> <p>24 A Yes.</p> <p>25 Q And the last sentence states, "He,"</p>	<p>1 BY MR. RAMER:</p> <p>2 Q So you don't know why the minimum ages</p> <p>3 were removed; is that right?</p> <p>4 MR. LANNIN: Object to the form.</p> <p>5 THE WITNESS: Not -- anything would be</p> <p>6 speculation.</p> <p>7 (Exhibit 18 was marked for identification</p> <p>8 and is attached hereto.)</p> <p>9 BY MR. RAMER:</p> <p>10 Q Dr. Karasic, you've been handed what's</p> <p>11 been marked as Karasic Exhibit 18.</p> <p>12 A Yes.</p> <p>13 Q And this is stamped "Confidential" and has</p> <p>14 Bates stamp BOEAL_WPATH_105494 at the bottom,</p> <p>15 correct?</p> <p>16 A Yes.</p> <p>17 Q And looking at the top, the "From" line</p> <p>18 first is Asa Radix, correct?</p> <p>19 A Yes.</p> <p>20 Q And the "To" is Walter Bouman?</p> <p>21 A Yes.</p> <p>22 Q Who is Walter Bouman?</p> <p>23 A Walter Bouman is a -- I think he's the</p> <p>24 past president of WPATH.</p> <p>25 Q And in the "cc" line is the EC Listserv,</p>
Page 223	Page 225
<p>1 referring to you, "is also expected to testify in</p> <p>2 response to claims that WPATH limited debate and</p> <p>3 robust exchange of ideas across the organization and</p> <p>4 at conferences hosted by affiliate organizations."</p> <p>5 Do you see that?</p> <p>6 A Yes.</p> <p>7 Q Apart from what we've already discussed</p> <p>8 today, are there any other claims that WPATH limited</p> <p>9 debate and robust exchange of ideas across the</p> <p>10 organization and at conferences hosted by affiliate</p> <p>11 organizations that you intend to testify about?</p> <p>12 MR. LANNIN: Object to the form.</p> <p>13 THE WITNESS: I think that -- I think that</p> <p>14 this was put in for -- about the 2017 conference,</p> <p>15 because I haven't been involved with WPATH aside</p> <p>16 from Standards of Care 8 since 2018.</p> <p>17 BY MR. RAMER:</p> <p>18 Q Dr. Karasic, the minimum ages for</p> <p>19 providing gender-affirming medical care were removed</p> <p>20 from the SOC-8 to ensure greater access to care for</p> <p>21 more people, correct?</p> <p>22 MR. LANNIN: Object to the form.</p> <p>23 THE WITNESS: So I think that was in the</p> <p>24 editor's -- an editor remark in terms of that. I</p> <p>25 wasn't involved with any of that process.</p>	<p>1 correct?</p> <p>2 A Yes.</p> <p>3 Q And the date of this email is June 14th,</p> <p>4 2022, correct?</p> <p>5 A Yes.</p> <p>6 Q Okay. I'd like to go to page 3 in this,</p> <p>7 which is Bates 105496. And at the bottom, do you</p> <p>8 see there's an email from Walter Bouman, dated</p> <p>9 June 13th, 2022?</p> <p>10 A Yes.</p> <p>11 Q And the subject is "The imminent release</p> <p>12 of the SOC8 - and please be so kind as to give us</p> <p>13 your support or endorsement," correct?</p> <p>14 A Yes.</p> <p>15 Q On the next page, which is Bates 105497,</p> <p>16 about a little over three quarters of the way down,</p> <p>17 there's a paragraph that begins with, "If you agree</p> <p>18 to this."</p> <p>19 Do you see that?</p> <p>20 A Yes.</p> <p>21 Q I'm just going to read that first and ask</p> <p>22 if I read it correctly.</p> <p>23 It says, "If you agree to this, we will</p> <p>24 send you a link to the SOC8 (which is currently</p> <p>25 under embargo, and currently only ADM Dr. Rachel</p>

CONFIDENTIAL

Page 226

1 Levine and her Department (HHS/OASH) in the U.S.
2 have access to the full document) and we will
3 provide access following the signing of a
4 nondisclosure document."
5 Did I read that correctly?
6 A Yes.
7 Q Before seeing this email, were you aware
8 that Admiral Levine and her department were provided
9 exclusive access to the completed SOC-8?
10 MR. LANNIN: Object to the form.
11 THE WITNESS: No.
12 (Exhibit 19 was marked for identification
13 and is attached hereto.)
14 BY MR. RAMER:
[REDACTED]

Page 228

[REDACTED]

Page 227

[REDACTED]

Page 229

[REDACTED]

CONFIDENTIAL

Page 230

[REDACTED]

[REDACTED]

[REDACTED]

[REDACTED]

[REDACTED]

[REDACTED]

[REDACTED]

[REDACTED]

[REDACTED]

[REDACTED]

[REDACTED]

[REDACTED]

[REDACTED]

[REDACTED]

[REDACTED]

[REDACTED]

[REDACTED]

[REDACTED]

[REDACTED]

[REDACTED]

[REDACTED]

[REDACTED]

[REDACTED]

[REDACTED]

[REDACTED]

Page 232

1 different diagnosis that people also had, a
 2 co-occurring condition.
 3 BY MR. RAMER:
 4 Q And would you ever intentionally omit the
 5 diagnosis for gender identity disorder from those
 6 records?
 7 MR. LANNIN: Object to the form.
 8 THE WITNESS: I think there was just a
 9 time in which it was considered kind of useless to
 10 include that because it -- because it wasn't
 11 covered.
 12 And as a psychiatrist, I see people who
 13 are seeing me because they're depressed or anxious,
 14 and so I would list that as the -- you know, as the
 15 billing diagnosis.
 16 We're talking about before -- certainly
 17 before 2013. In 2013, California banned denying
 18 reimbursement for gender -- transgender-related
 19 care.
 20 BY MR. RAMER:
 21 Q Do you think the distress resulting from
 22 gender incongruence in children should be
 23 categorized as a psychological disorder?
 24 MR. LANNIN: Object to the form.
 25 THE WITNESS: So if -- so if the -- if the

Page 231

[REDACTED]

[REDACTED]

[REDACTED]

[REDACTED]

[REDACTED]

[REDACTED]

[REDACTED]

[REDACTED]

[REDACTED]

[REDACTED]

[REDACTED]

[REDACTED]

13 Q Now switching gears a little bit, have you
 14 ever declined to list a patient's diagnosis in
 15 medical records in order to ensure that insurance
 16 will provide reimbursement for care?
 17 MR. LANNIN: Object to the form.
 18 THE WITNESS: I wouldn't say that I have
 19 not listed a diagnosis, but there was a time when
 20 there was a -- a CMS exclusion for treatment of
 21 gender identity disorder.
 22 So as a psychiatrist, I would be treating
 23 people typically for depression, anxiety, for other
 24 symptoms, and I would list as a billing diagnosis a
 25 symptom that was -- you know, a diagnosis -- a

Page 233

1 child is distressed, they're -- you know, they have
 2 and would have a diagnosis.
 3 There was for -- there was a debate around
 4 gender incongruence of children, which was a World
 5 Health Organization ICD 11 diagnosis, that did not
 6 include distress. And so -- because gender
 7 incongruence doesn't include distress as part of the
 8 disorder.
 9 And so there was a debate whether there
 10 was utility of the World Health Organization even
 11 having a gender-incongruence-of-children diagnosis
 12 because it's a diagnosis prior to which they would
 13 get specific medical treatment.
 14 BY MR. RAMER:
 15 Q You do think there should be a diagnosis
 16 for children, though?
 17 MR. LANNIN: Object to the form.
 18 THE WITNESS: So I think that there is
 19 a -- there's a theoretical debate, I think, that
 20 when there's a stronger argument for gender
 21 dysphoria in children, prepubertal children, then
 22 with gender incongruence, which didn't have even
 23 distress as part of the diagnosis, and there was the
 24 potential harm that -- of gender-diverse children
 25 who are perhaps not distressed being subject to

CONFIDENTIAL

<p style="text-align: right;">Page 234</p> <p>1 conversion practices because of their gender 2 diversity; so I think there's kind of an open debate 3 about it. 4 The World Health Organization opted to 5 include gender incongruence of children in ICD 11. 6 BY MR. RAMER: 7 Q Do you think gender incongruence in 8 children should be included in the ICD? 9 A So I had concerns about it during the 10 process because I didn't see what -- the purpose of 11 it for a diagnosis when you're talking about 12 prepubertal children who weren't getting specific 13 treatment for gender incongruence the way 14 adolescents and adults were. 15 And so I've had concern of -- others have 16 raised the question of what is the purpose of the 17 diagnosis, and I shared their concerns. 18 Q What is the harm of having the diagnosis? 19 A So the potential harm would be -- and I 20 think particularly if it were applying to 21 gender-diverse youth more generally -- is that it 22 could subject similar people who are gender-diverse, 23 whether they -- children -- whether trans or not, or 24 gay or lesbian, to conversion practices. 25 And there had been a historical precedent</p>	<p style="text-align: right;">Page 236</p> <p>1 they're not suffering from distress? 2 MR. LANNIN: Object to the form. 3 THE WITNESS: That seems like a 4 theoretical question. 5 The one place where I've seen the question 6 of lack of distress brought up by clinicians was 7 clinicians from the Dutch program, when there were 8 discussions about inclusion of distress in children, 9 that -- what about if you have a child who is only 10 distressed -- let's say on puberty blockers, not 11 progressing in puberty, and in that state, they're 12 not -- or it could even be before puberty starts, 13 and they're supported in their trans identity. 14 So under those circumstances, the distress 15 might be anticipatory as opposed to -- you know, 16 anticipating the -- they might be very anxious about 17 the fact that puberty is about to start as opposed 18 to puberty having started, and some debate about -- 19 you know, that there has been about letting kind of 20 puberty start first versus not. I've seen that 21 argument. 22 I don't know about -- if you had no 23 distress about your sex assigned as birth, why you 24 would go through the considerable difficulties of 25 transitioning.</p>
<p style="text-align: right;">Page 235</p> <p>1 for that which was, after homosexuality was removed 2 from the DSM and GID of children was introduced to 3 the DSM, GID of children was the diagnosis used for 4 billing for conversion practices in children. 5 So I think that there was an open question 6 there about the kind of risks versus benefits of 7 having it as a diagnosis before there was specific 8 treatment, and also whether it was maybe 9 pathologizing in a way when the interventions were 10 really about supporting the child and the family, 11 again, prepubertally. 12 Q Do you think individuals should be 13 permitted to obtain gender-affirming medical 14 interventions even if they are not suffering from 15 debilitating distress? 16 MR. LANNIN: Object to the form. 17 THE WITNESS: So in my experience, people 18 who are -- who are seeking care are doing so because 19 of the distress of gender dysphoria. 20 So I think that that is, you know, kind of 21 a reasonable part of our practice as it stands now. 22 BY MR. RAMER: 23 Q But do you think it's possible an 24 individual could conclude that they would have a 25 better quality of life as the other gender even if</p>	<p style="text-align: right;">Page 237</p> <p>1 And so I do think that distress with one's 2 sex assigned at birth is part of what I see my 3 patients experience. 4 BY MR. RAMER: 5 Q But doesn't the ICD omit distress as a 6 requirement for the gender incongruence designation? 7 MR. LANNIN: Object to the form. 8 THE WITNESS: Yes, and -- but when 9 treatment is discussed, whether it's in 10 Standards of Care 8 or whether it's in European 11 guidance -- I'm thinking about reading some of this 12 German country's guidance that they've released, I 13 think that's where I read it -- but they do make the 14 point of -- in evaluating someone, they're looking 15 for gender incongruence with distress even though 16 the distress is not part of the diagnosis. 17 BY MR. RAMER: 18 Q And what does it mean for an intervention 19 to be medically necessary? 20 MR. LANNIN: Object to the form. 21 You can answer. 22 THE WITNESS: A medically necessary 23 intervention is one that, based on -- a clinician 24 makes a determination that a treatment for their 25 patient is necessary based on community practice and</p>

CONFIDENTIAL

<p style="text-align: right;">Page 238</p> <p>1 the scientific literature that's out there. 2 BY MR. RAMER: 3 Q And what is the significance of an 4 intervention being labeled "medically necessary"? 5 MR. LANNIN: Object to the form. 6 THE WITNESS: So the significance is 7 that -- whether it's insurance or certain government 8 programs like the provision of healthcare in 9 prisons, generally in the provision of healthcare, 10 it's medically necessary care that's provided when 11 they make the determination of what healthcare is 12 covered or provided. 13 BY MR. RAMER: 14 Q And so a determination of medical 15 necessity is relevant to insurance reimbursement, 16 correct? 17 A Yes, to insurance reimbursement and kind 18 of institutional coverage. 19 Q Is it relevant to court decisions? 20 MR. LANNIN: Object to the form. 21 You can answer. 22 THE WITNESS: So there have been court 23 decisions on -- that relate to the medical necessity 24 of a particular intervention because that's what's 25 covered, for example, by a state's insurance or</p>	<p style="text-align: right;">Page 240</p> <p>1 Standards of Care 8 as opposed to a -- having a 2 revision that was really separately by the board. 3 BY MR. RAMER: 4 Q Did you help draft the revised statement 5 of medical necessity for the SOC-8? 6 A Yes. 7 Q How did you determine what interventions 8 qualified as medically necessary interventions? 9 MR. LANNIN: Object to the form. 10 THE WITNESS: So there already -- there 11 had already been a list from 2016, and it basically 12 was a list of interventions that there was 13 scientific evidence of benefit and that the experts 14 involved believed were used as treatments for gender 15 dysphoria. 16 And I had a particular concern that I 17 didn't think the 2016 version was just very well 18 written grammatically and wanted to make sure that 19 it was clear. 20 And so I was part of the conversation that 21 the editor of Standards of Care 8 consulted with 22 several people on how -- how that should be -- how 23 that revised statement should be put in 24 Standards of Care 8. 25 ///</p>
<p style="text-align: right;">Page 239</p> <p>1 Medicaid's insurance plan or private insurance, that 2 they are saying they are covering medically 3 necessary care. 4 BY MR. RAMER: 5 Q Does SOC-8 contain a statement of medical 6 necessity? 7 A Yes. 8 Q Why? 9 MR. LANNIN: Object to the form. 10 You can answer. 11 THE WITNESS: So WPATH, for many years, 12 has periodically put out statements about the 13 medical necessity of -- of interventions that are 14 treatments of gender dysphoria. And the last one 15 that they put out was in 2016. And medical 16 necessity had been mentioned in prior standards of 17 care, but it had generally been done as a separate 18 statement. 19 In 2016 when the statement was put out as 20 a separate statement, there was conversation that 21 the next revision of that statement should happen 22 with Standards of Care 8. 23 And so it was included -- the revision of 24 that statement, which was a detailed statement about 25 medical necessity, would be included in</p>	<p style="text-align: right;">Page 241</p> <p>1 BY MR. RAMER: 2 Q As a general matter, not necessarily in 3 the context of gender-affirming care but medicine 4 more generally, what ordinarily happens when a 5 patient needs medically necessary care but is unable 6 to consent to that care? 7 MR. LANNIN: Object to the form. 8 THE WITNESS: So if the person is unable 9 to consent, there -- there is either a person with 10 legal authority to consent for them, or, for 11 example, in San Francisco, there's a public guardian 12 who can consent for people. 13 And so there's -- there may be -- in 14 emergency situations, it may just be the doctors, 15 you know. 16 I did consultation liaison psychiatry in 17 the hospital. And, you know, if somebody needed an 18 intervention but couldn't -- you know, was 19 unresponsive, they would usually do the -- you know, 20 the intervention that was necessary to save the 21 person's life even if they didn't, you know, know 22 who the surrogate decision-maker was. 23 But then if somebody needed ongoing care, 24 then they would identify the legal documents of who 25 consents when that person lacks capacity to consent.</p>

CONFIDENTIAL

<p style="text-align: right;">Page 242</p> <p>1 BY MR. RAMER: 2 Q Do those same principles apply in the 3 context of gender-affirming medical interventions? 4 A Yes. 5 I would say in the context of -- I think 6 it may be more difficult if you're talking about 7 people with the initiation of care. 8 But if -- if there is someone who, let's 9 say, is transgender and on hormones and then loses 10 the capacity to continue to consent to care, you 11 know, it might be a surrogate decision-maker who 12 might make that decision. 13 Q Have you ever seen a situation where a 14 surrogate decision-maker consented for the 15 initiation of gender-affirming medical 16 interventions? 17 A I have not. 18 Q And what happens in a situation, in the 19 context of gender-affirming medical care, when a 20 parent consents but a minor is unable to provide 21 informed assent for any reason? 22 MR. LANNIN: Object to the form. 23 THE WITNESS: So that might be one for an 24 ethics committee meeting. 25 I haven't -- I haven't been involved in a</p>	<p style="text-align: right;">Page 244</p> <p>1 BY MR. RAMER: 2 Q And I'd like to go to page 3. 3 A Yes. 4 Q And in particular, it looks like, on these 5 slides, you're describing -- I don't know if you'd 6 call them "case studies," but examples of -- 7 A Yes. 8 Q -- situations, correct? 9 A Yes. Examples that either I have been 10 involved in, or have been brought to me, of when the 11 "well-controlled" term was confusing or not useful 12 to the people involved. 13 Q And up on -- it's labeled "Slide 5," the 14 first bullet, No. 5; do you see that? 15 A Yes. 16 Q It refers to a "Patient in state forensic 17 hospital with DID." 18 A Yes. 19 Q What is DID? 20 A That's dissociative identity disorder. 21 Q Can you explain what that is? 22 A So it is a DSM diagnosis where someone has 23 dissociative disorder to the extent where they -- in 24 the formal DID diagnosis, they have amnesic periods 25 between these different aspects of self, and --</p>
<p style="text-align: right;">Page 243</p> <p>1 situation like that where the -- where a parent is 2 seeking care that the -- their child is -- lacks 3 capacity to consent for it. 4 BY MR. RAMER: 5 Q And what is an ethics committee meeting 6 along the lines you were just describing? 7 A So just in -- if this is happening in a 8 medical center, they have -- the medical center has 9 an ethics committee that meets whenever there's a 10 challenging case just to make sure that that 11 decision is -- is more kind of broadly thought out 12 before -- before -- you know, before the decision is 13 made. 14 (Exhibit 22 was marked for identification 15 and is attached hereto.) 16 BY MR. RAMER: 17 Q Dr. Karasic, you've been handed what's 18 been marked as Karasic Exhibit 22. This is stamped 19 "Confidential," and the Bates stamp in the bottom 20 right is BOEAL_WPATH_139861, correct? 21 A Yes. 22 Q Is this a slide deck from your 23 presentation at the WPATH convention in Montreal? 24 MR. LANNIN: Object to the form. 25 THE WITNESS: Yes, it looks like it.</p>	<p style="text-align: right;">Page 245</p> <p>1 which are sometimes referred to as "alters." 2 In this particular case, once -- there are 3 people who do therapy with people with dissociative 4 identity disorder. And if there's an integration of 5 alters, they no longer have DID; they have something 6 called OSDD, other specified dissociative disorder, 7 meaning they have a dissociative disorder, but 8 there's no longer amnesic periods between alters. 9 And so this was a case where there was 10 someone in a forensic hospital who was there for 11 years. They had integration of the alters. They 12 were permitted to transition by one treatment team, 13 and then another treatment team took over, another 14 psychiatrist, who thought that that was a mistake 15 and tried to forcibly de-transition that patient. 16 So it was -- there was an actual case 17 where -- where this happened; where, in this case, 18 the person did have a severe mental illness, but 19 they did have the capacity to consent to 20 testosterone, but were forced to de-transition 21 with -- with very negative results. 22 Q And just to go back a little bit, when you 23 refer to an "amnesic period," I assume it's -- the 24 root is somehow related to amnesia, and so it has 25 something to do with --</p>

CONFIDENTIAL

<p style="text-align: right;">Page 246</p> <p>1 A Yes. So in DID, someone has -- they may 2 have alters who -- where they are amnestic for when 3 the alters are present. In other words, they arrive 4 somewhere and don't know how they got there. 5 And so typically we would say that many of 6 those people lack the capacity to make medical 7 decisions; however, with a lot of work in terms of 8 integrating the alters, they might get to a state 9 where they still might hold the alters in 10 co-consciousness, that they still identify them, but 11 they're no longer having amnesia. And that is often 12 regarded as a place where -- that they've reached a 13 state where they, again, have capacity to consent. 14 Q And what is co-consciousness in this 15 context? 16 A Meaning without amnesia. 17 Q And I'm not sure I -- I think you 18 explained it, but I may have missed it. 19 But what is an alter? 20 A So an alter is when someone with 21 dissociative identity disorder has kind of split off 22 parts of their consciousness. 23 And so with an amnestic period, they might 24 be in one particular state where another mental 25 state doesn't have memory of being in that state.</p>	<p style="text-align: right;">Page 248</p> <p>1 present, and they're aware of these aspects being 2 present, but they're not losing consciousness. 3 They're not, you know, having an amnestic period 4 when that alter is present. 5 Q And so are there situations where the 6 individual will name the alters but the alters are 7 in co-consciousness? 8 A Yes. 9 Q And then how does somebody describe that 10 experience? 11 MR. LANNIN: Object to the form. 12 THE WITNESS: So people describe it in 13 different ways. It is -- it may be complicated even 14 these days where -- because there are people who 15 have never received a clinical diagnosis of DID but 16 kind of identify with this way that their mind 17 works. 18 That's not a clinical diagnosis, but I 19 think that's out there as well, and kind of 20 complicates it in terms of people describing their 21 experience. 22 BY MR. RAMER: 23 Q And are there situations where an 24 individual's alters can be -- one is male and one is 25 female?</p>
<p style="text-align: right;">Page 247</p> <p>1 And those individual states are called "alters," and 2 sometimes the patient names them. 3 And so that -- that's what you would call 4 an "alter." 5 Q When you say the patient names the 6 different parts of consciousness, what does that 7 look like in practice? 8 MR. LANNIN: Object to the form. 9 THE WITNESS: So it might look in practice 10 that -- so in a stage where they're having amnestic 11 periods, they might not remember these other 12 experiences. But then with work and integration, 13 they -- they're no longer having the amnesia, but 14 they might recognize these individual states of 15 themselves without being amnestic of them. 16 BY MR. RAMER: 17 Q So then what does it look like in practice 18 when the alters are in co-consciousness? 19 A So that's just meaning that the person is 20 recognizing that they have these alters, but they 21 don't -- they're not amnestic between them. 22 Q How does a person -- 23 A That's how some of those -- some of the 24 folks with OSDD would refer to the alters, that they 25 still feel that these aspects of themselves are</p>	<p style="text-align: right;">Page 249</p> <p>1 A Yes. 2 Q And how do you address that in the context 3 of gender-affirming care? 4 MR. LANNIN: Object to the form. 5 THE WITNESS: So you can't -- you can't do 6 that if you're -- if there's this, like, 7 disorganized dissociative kind of state where 8 somebody is having amnestic periods because -- or, 9 you know, you -- you don't really kind of have a 10 handle on what they -- what that person wants. 11 But if they have done work in therapy and 12 there has been this integration of alters, and they 13 might still identify these different states of self 14 but they're not going in and out of these amnestic 15 periods, then -- then they -- they may be able to -- 16 be able to have the capacity to consent. 17 Even acknowledging the continued presence 18 of these altered states of mind, they have this 19 consistent consciousness that is able, you know, 20 to -- that is able to make decisions about -- about 21 gender-related care as well as other medical care. 22 BY MR. RAMER: 23 Q When you say the alters are integrated, is 24 that the same thing as saying that the alters are in 25 co-consciousness?</p>

CONFIDENTIAL

<p style="text-align: right;">Page 250</p> <p>1 A Yes. Those are different ways that people 2 have -- that people describe it. 3 Q And when you have a patient who has alters 4 that are in co-consciousness, and one alter is male 5 and one alter is female, how do you determine what 6 the appropriate medical intervention would be? 7 A Yeah. So -- so there was an interesting 8 web survey that was never published because it was 9 done by these people who identified as having 10 dissociative disorders. 11 And it does appear that very often people 12 will hide the fact that they have alters of 13 different genders from their clinician and, you 14 know, go ahead with -- with transition. 15 But people, when they've reached a stable 16 mental state, can also reach a state where they have 17 the capacity to understand risks and benefits of 18 treatment and how to minimize gender dysphoria. And 19 that can be through transition, or sometimes 20 being -- having a nonbinary presentation works best. 21 It just depends on the individual. 22 Q Can you describe how you -- so you've 23 described how it's done improperly, which is the 24 patient feels forced to hide the alter -- 25 A Yeah.</p>	<p style="text-align: right;">Page 252</p> <p>1 for, like, 20 years total before they were released. 2 So -- but a skilled therapist can work on 3 integrating alters, on building the capacity to cope 4 with stressors without dissociating, and trying to 5 understand and work through some of the trauma that 6 may have led to their starting to dissociate as 7 young children. 8 BY MR. RAMER: 9 Q Are children and adolescents diagnosed 10 with DID? 11 MR. LANNIN: Object to the form. 12 THE WITNESS: Yes, they can be. 13 BY MR. RAMER: 14 Q Have you ever provided gender-affirming 15 medical -- let me backtrack. 16 Have you ever provided gender-affirming 17 care for a child or adolescent who was diagnosed 18 with DID? 19 MR. LANNIN: Object to the form. 20 THE WITNESS: No. 21 BY MR. RAMER: 22 Q And am I -- with this example in No. 5 23 that you were discussing, am I correct in thinking 24 that that individual had been found not guilty by 25 reason of insanity?</p>
<p style="text-align: right;">Page 251</p> <p>1 Q -- or one of the alters. 2 Can you explain how you do it properly? 3 Just because as somebody who is ignorant of this, it 4 seems like you would have this tension between a 5 male alter and a female alter. 6 And I realize that's simplistic; so I'm 7 wondering, can you just explain how you analyze that 8 and arrive at the appropriate intervention? 9 A Yes. 10 MR. LANNIN: Object to the form. 11 THE WITNESS: And there are therapists who 12 particularly specialize in trauma work and are 13 looking -- typically the people who have these 14 severe -- have severe dissociation are people who 15 experience early childhood trauma. And so they 16 often do years of work with therapists that are both 17 in terms of working on addressing trauma and how to 18 cope with trauma without dissociation, and then how 19 to kind of integrate different feeling states 20 without dissociating. 21 And so therapists -- there are therapists 22 who, like in the examples that I presented, they 23 have been in -- they are people who have been in 24 therapy for -- often for years. The person in the 25 state forensic hospital had almost daily therapy</p>	<p style="text-align: right;">Page 253</p> <p>1 A Yes. 2 Q And sticking with this slide deck, the 3 same page, the No. 8 refers to, scare quotes, 4 "Experts' in case over whether gender-affirming 5 care for youth should be banned recommends 6 psychotherapy to help youths accept their bodies as 7 an alternative to transition," correct? 8 A Yes. 9 Q And do you think that psychotherapy to 10 help youth accept their bodies as an alternative to 11 transition is conversion therapy? 12 MR. LANNIN: Object to the form. 13 THE WITNESS: So I think in the situation 14 where the patient has a gender dysphoria diagnosis 15 that is impairing social, occupational functioning 16 and causing clinically significant distress, that 17 recommending psychotherapy for that person is -- is 18 not indicated. 19 And so there are people -- there are young 20 people who -- who don't transition, but they're not 21 in that category of people who have severe and 22 long-standing gender dysphoria that relates to parts 23 of their body. 24 (Exhibit 23 was marked for identification 25 and is attached hereto.)</p>

CONFIDENTIAL

Page 254	Page 256
<p>1 BY MR. RAMER: 2 Q Dr. Karasic, you've been handed what's 3 been marked as Karasic Exhibit 23. 4 A Yes. 5 Q And the title is "Initial Clinical 6 Guidelines for Co-Occurring Autism Spectrum Disorder 7 and Gender Dysphoria or Incongruence in 8 Adolescents," correct? 9 A Yes. 10 Q And you helped author these guidelines, 11 correct? 12 A Yes. 13 Q I'd like to go to page 111. The page 14 numbers are on the inside. 15 And in the left column, second full 16 paragraph, a little over halfway down, there's a 17 sentence that begins with, "By providing concrete." 18 Do you see that? 19 A Yes. 20 Q And I'm just going to read those two 21 sentences and ask if I read them correctly first. 22 It says, "By providing concrete 23 psychoeducation about how gender for some people can 24 be fluid, not just binary and physical, and 25 concurrent intervention targeting flexible thinking</p>	<p>1 should be respectful of those individuals as well. 2 And that's why I always refer to those 3 people who need medical intervention because of the 4 level of distress that they're having, because the 5 people described here are not having that level of 6 distress and, therefore, you know, may find that a 7 medical intervention is not for them. 8 BY MR. RAMER: 9 Q So you don't -- sorry. 10 Is this not recommending psychotherapy to 11 help these individuals accept their bodies as an 12 alternative to transition? 13 MR. LANNIN: Object to the form. 14 THE WITNESS: No, it's something 15 different. 16 This is specifically referring to, as it 17 says, "people who may realize that full gender 18 transition does not fit them." 19 And so it's specifically referring to 20 nonbinary people and helping them figure out what 21 interventions they may or may not need, and that may 22 or may not be a medical intervention. 23 And there certainly are people who don't 24 need a medical intervention. As I said, that's why 25 I refer to when -- when somebody is saying it's an</p>
Page 255	Page 257
<p>1 and self-awareness, some individuals with less 2 urgent gender presentations may realize that full 3 gender transition does not fit them. These young 4 people may become more comfortable with a less 5 binary solution, such as maintaining a female body 6 while expressing some male-typical 7 interests/behaviors." 8 Did I read that correctly? 9 A Yes. 10 Q Do you think this type of psychoeducation 11 and concurrent intervention that you're describing 12 here should be used with individuals who do not have 13 an ASD? 14 MR. LANNIN: Object to the form. 15 THE WITNESS: So I have quite a number of 16 patients who don't transition, who I've treated for 17 their psychiatric illness, who are generally 18 nonbinary, don't feel like cross-sex hormones would 19 benefit them, or occasionally there have been ones 20 who have been on them for short periods of time to 21 lower their voice, and that was kind of enough. 22 So there are people who don't -- there are 23 many people who are on some kind of spectrum, as 24 this sentence says, who might have other needs other 25 than traditional binary transition. And so one</p>	<p>1 alternative to providing gender-affirming care, 2 that, you know, it's okay to ban gender-affirming 3 care because you can just provide everyone with 4 psychotherapy, there's no evidence that that is 5 effective. 6 That's something different than saying 7 that there are individuals, especially nonbinary 8 individuals, who -- who should work with a therapist 9 in terms of kind of better understanding what care 10 they need and, you know, certainly that care might 11 not involve any medical care. 12 BY MR. RAMER: 13 Q You've been saying "nonbinary." Do you 14 mean individuals with ASDs, or do you mean -- 15 A No. 16 Q -- nonbinary? 17 MR. LANNIN: Object to the form. 18 THE WITNESS: I'm talking about nonbinary 19 people because you had brought up even people 20 without ASD. So I transitioned the conversation to 21 just people generally. 22 So that can apply to people with ASD or 23 not -- people without ASD, but that there are people 24 who -- there are people who don't need medical 25 intervention, and that's why a more involved</p>

CONFIDENTIAL

Page 258	<p>1 assessment for adolescents is important, and why</p> <p>2 Standards of Care 8 separates the interventions --</p> <p>3 the evaluation for adolescents from the</p> <p>4 evaluations -- the evaluation for adults, because</p> <p>5 that is more typically an issue in adolescents.</p> <p>6 MR. RAMER: We've been going for a while,</p> <p>7 and I presume I'm probably pretty close. Do you</p> <p>8 mind if we take a short break?</p> <p>9 MR. LANNIN: Not at all. By my count, you</p> <p>10 have five minutes.</p> <p>11 THE VIDEO OPERATOR: Going off the record,</p> <p>12 the time is 5:27 p.m.</p> <p>13 (Recess, 5:27 p.m. - 5:32 p.m.)</p> <p>14 THE VIDEO OPERATOR: Back on the record.</p> <p>15 The time is 5:32 p.m.</p> <p>16 BY MR. RAMER:</p> <p>17 Q Dr. Karasic, have you ever practiced in</p> <p>18 Alabama?</p> <p>19 A No.</p> <p>20 Q Are you aware of any gender clinics in</p> <p>21 Alabama?</p> <p>22 A No.</p> <p>23 Q Have you -- do you have any -- did you</p> <p>24 review the medical records of any of the plaintiffs</p> <p>25 in this case?</p>	Page 260	<p>1 I'll turn it over to your counsel.</p> <p>2 MR. LANNIN: Any questions for the</p> <p>3 plaintiffs?</p> <p>4 MR. STOLL: Just one question.</p> <p>5 EXAMINATION</p> <p>6 BY MR. STOLL:</p> <p>7 Q Dr. Karasic, has anything that you've seen</p> <p>8 or heard today changed any of your opinions</p> <p>9 expressed in your report in this matter?</p> <p>10 A No.</p> <p>11 MR. STOLL: That's it. Thank you.</p> <p>12 THE REPORTER: Counsel, would you like a</p> <p>13 copy?</p> <p>14 MR. LANNIN: Yes.</p> <p>15 MR. STOLL: Yes.</p> <p>16 THE REPORTER: For each of you?</p> <p>17 MR. STOLL: Yes.</p> <p>18 THE REPORTER: Rough drafts or just the</p> <p>19 final?</p> <p>20 MR. STOLL: I'd like a rough.</p> <p>21 THE REPORTER: Okay.</p> <p>22 MR. LANNIN: I do not need a rough.</p> <p>23 THE REPORTER: Okay.</p> <p>24 MR. LANNIN: I'll follow -- can we</p> <p>25 follow -- can we go off the record?</p>
Page 259	<p>1 A In this case, no.</p> <p>2 Q Are you a neurologist?</p> <p>3 A No.</p> <p>4 Q Are you a surgeon?</p> <p>5 A No.</p> <p>6 Q Are you an endocrinologist?</p> <p>7 A No.</p> <p>8 Q Are you a urologist?</p> <p>9 A No.</p> <p>10 Q Are you a gynecologist?</p> <p>11 A No.</p> <p>12 Q Are you a --</p> <p>13 A This is a bullet round.</p> <p>14 Q Are you a bioethicist?</p> <p>15 A No.</p> <p>16 Q Are you a social worker?</p> <p>17 A No.</p> <p>18 Q Was Cecilia Dhejne ever part of the</p> <p>19 "Mental Health" chapter?</p> <p>20 A Yes.</p> <p>21 Q Why did she leave?</p> <p>22 A She had an illness.</p> <p>23 MR. RAMER: And those are all the</p> <p>24 questions that I have for you, Dr. Karasic. Thank</p> <p>25 you very much for your time today.</p>	Page 261	<p>1 But before we go off the record, may I say</p> <p>2 this: No questions on behalf of the witness.</p> <p>3 I know there's a protective order in this</p> <p>4 case, and we prefer to provisionally designate this</p> <p>5 entire transcript as "Confidential" until we have a</p> <p>6 chance to review it and make timely designations.</p> <p>7 MR. RAMER: No objection.</p> <p>8 THE VIDEO OPERATOR: This concludes</p> <p>9 today's deposition of Dan Karasic, M.D. The number</p> <p>10 of media used was four and will be retained by</p> <p>11 Veritext Legal Solutions. The time is 5:34 p.m.</p> <p>12 We're off the record.</p> <p>13 (TIME NOTED: 5:34 p.m.)</p> <p>14 --o0o--</p> <p>15</p> <p>16</p> <p>17</p> <p>18</p> <p>19</p> <p>20</p> <p>21</p> <p>22</p> <p>23</p> <p>24</p> <p>25</p>

CONFIDENTIAL

Page 262

1
2
3
4
5
6
7
8
9
10
11
12
13
14
15
16
17
18
19
20
21
22
23
24
25

I, DAN KARASIC, M.D., do hereby declare under penalty of perjury that I have read the foregoing transcript; that I have made any corrections as appear noted, in ink, initialed by me, or attached hereto; that my testimony as contained herein, as corrected, is true and correct. EXECUTED this _____ day of _____, 2024, at _____, _____.

(City) (State)

DAN KARASIC, M.D.

Page 263

1
2
3
4
5
6
7
8
9
10
11
12
13
14
15
16
17
18
19
20
21
22
23
24
25

I, the undersigned, a Certified Shorthand Reporter of the State of California, do hereby certify: That the foregoing proceedings were taken before me at the time and place herein set forth; that any witnesses in the foregoing proceedings, prior to testifying, were administered an oath; that a record of the proceedings was made by me using machine shorthand which was thereafter transcribed under my direction; that the foregoing transcript is a true record of the testimony given. Further, that if the foregoing pertains to the original transcript of a deposition in a Federal Case, before completion of the proceedings, review of the transcript [] was [X] was not requested. I further certify I am neither financially interested in the action nor a relative or employee of any attorney or any party to this action. IN WITNESS WHEREOF, I have this date subscribed my name. Dated this 13th of may, 2024.

Carla Soares

CARLA SOARES
CSR No. 5908