

EXHIBIT 43

1
2
3
4
5
6
7
8
9
10
11
12
13
14
15
16
17
18
19
20
21
22
23
24
25

IN THE UNITED STATES DISTRICT COURT
FOR THE MIDDLE DISTRICT OF ALABAMA
NORTHERN DIVISION

* * *

BRIANNA BOE, et al.,
Plaintiffs,
UNITED STATES OF AMERICA,
Intervenor Plaintiff,
vs. CASE NO. 2:22-cv-184-LCB
HON. STEVE MARSHALL, in his
Official capacity as Attorney
General, of the State of
Alabama, et al.,
Defendants.

* * *

Deposition of ARMAND H. AN TOMM ARIA,
M.D., Ph.D., FAAP, HEC-C, Witness herein, called
by the Defendants for examination pursuant to the
Rules of Civil Procedure, taken before me, Monica
K. Schrader, a Notary Public in and for the State
of Ohio, at the U.S. Attorney's Office, Cleveland
Branch Office, Atrium II Building, 221 East Fourth
Street, Suite 400, Cincinnati, Ohio, on Friday,
April 21, 2023, at 9:03 a.m.

* * *

| | | |
|--------|---|--------|
| Page 2 | <p>1 I N D E X</p> <p>2</p> <p>3 WITNESS: ARMAND H. AN TOMM MARIA, M.D.,</p> <p>4 Ph.D., FAAP, HEC-C</p> <p>5 EXAMINATION PAGE</p> <p>6 BY MR. FRAMPTON:..... 9</p> <p>7</p> <p>8 E X H I B I T S</p> <p>9 No. Description Page</p> <p>10</p> <p>11 Exhibit 1 curriculum vitae 10</p> <p>12 Exhibit 2 Hormone Therapy, Mental 22</p> <p>13 Health, and Quality of Life</p> <p>14 Among Transgender People: A</p> <p>15 Systematic Review</p> <p>16</p> <p>17 Exhibit 3 Psychosocial Functioning in 24</p> <p>18 Transgender Youth after 2</p> <p>19 Years of Hormones</p> <p>20</p> <p>21 Exhibit 4 Users' Guides to the 34</p> <p>22 Medical Literature</p> <p>23 Exhibit 5 A Systematic Literature 40</p> <p>24 Review of Individuals'</p> <p>25 Perspectives on Broad</p> <p>Consent and Date Sharing in</p> <p>the United States</p> <p>Exhibit 6 Systematic Review of 41</p> <p>Typologies Used to</p> <p>Characterize Clinical</p> <p>Ethics Consultations</p> <p>Exhibit 7 GRADE guidelines: 3. Rating 46</p> <p>the Quality of Evidence</p> | Page 4 |
| Page 3 | <p>1 Exhibit 8 GRADE guidelines: 4. Rating 54</p> <p>2 the Quality of Evidence -</p> <p>3 Study Limitations (Risk of</p> <p>4 Bias)</p> <p>5</p> <p>6 Exhibit 9 Impact of Blinding on 72</p> <p>7 Estimated Treatment Effects</p> <p>8 in Randomised Clinical</p> <p>9 Trials:</p> <p>10 Meta-Epidemiological Study</p> <p>11</p> <p>12 Exhibit 10 GRADE guidelines: 5. Rating 74</p> <p>13 the Quality of Evidence -</p> <p>14 Publication Bias</p> <p>15</p> <p>16 Exhibit 11 GRADE guidelines 6. Rating 77</p> <p>17 the Quality of Evidence -</p> <p>18 Imprecision</p> <p>19</p> <p>20 Exhibit 12 Growing Evidence and 86</p> <p>21 Remaining Questions in</p> <p>22 Adolescent Transgender Care</p> <p>23</p> <p>24 Exhibit 13 GRADE guidelines: 7. Rating 92</p> <p>25 the Quality of Evidence -</p> <p>Inconsistency</p> <p>Exhibit 14 GRADE guidelines: 8. Rating 94</p> <p>the Quality of Evidence -</p> <p>Indirectness</p> <p>Exhibit 15 The Cass Review 96</p> <p>Exhibit 16 GRADE guidelines: 11. 111</p> <p>Making An Overall Rating of</p> <p>Confidence in Effect</p> <p>Estimates For a Single</p> <p>Outcome and All Outcomes</p> <p>Exhibit 17 Endocrine Treatment of 115</p> <p>Gender-Dysphoric/Gender-</p> <p>Incongruent Persons: An</p> <p>Endocrine Society Clinical</p> <p>Practice Guideline</p> <p>Exhibit 18 Standards of Care for the 125</p> <p>Health of Transgender and</p> <p>Gender Diverse People,</p> <p>Version 8</p> | Page 5 |
| Page 2 | <p>1 Exhibit 19 Gender Dysphoria In Young 128</p> <p>2 People Is Rising - And So</p> <p>3 Is Professional</p> <p>4 Disagreement,</p> <p>5</p> <p>6 Exhibit 20 Gender Dysphoria In Young 130</p> <p>7 People Is Rising - And So</p> <p>8 is Professional</p> <p>9 Disagreement</p> <p>10</p> <p>11 Exhibit 21 Congenital Adrenal 139</p> <p>12 Hyperplasia Due to Steroid</p> <p>13 21-Hydroxylase Deficiency:</p> <p>14 An Endocrine Society</p> <p>15 Clinical Practice Guideline</p> <p>16</p> <p>17 Exhibit 22 Pediatric Obesity - 144</p> <p>18 Assessment, Treatment, and</p> <p>19 Prevention: An Endocrine</p> <p>20 Society Clinical Practice</p> <p>21 Guideline</p> <p>22</p> <p>23 Exhibit 23 Part 4: Pediatric Basic and 148</p> <p>24 Advanced Life Support</p> <p>25</p> <p>Exhibit 24 Hormonal Treatment in Young 156</p> <p>People With Gender</p> <p>Dysphoria: A Systematic</p> <p>Review</p> <p>Exhibit 25 Consensus Parameter: 158</p> <p>Research Methodologies to</p> <p>Evaluate Neurodevelopmental</p> <p>Effects of Pubertal</p> <p>Suppression in Transgender</p> <p>Youth</p> <p>Exhibit 26 Evidence Review: 160</p> <p>Gonadotropin Releasing</p> <p>Hormone Analogues for</p> <p>Children and Adolescents</p> <p>With Gender Dysphoria</p> <p>Exhibit 27 Evidence Review: 171</p> <p>Gender-Affirming Hormones</p> <p>For Children and</p> <p>Adolescents With Gender</p> <p>Dysphoria</p> | Page 3 |
| Page 3 | <p>1 Exhibit 28 Body Dissatisfaction and 176</p> <p>2 Mental Health Outcomes of</p> <p>3 Youth on Gender-Affirming</p> <p>4 Hormone Therapy</p> <p>5</p> <p>6 Exhibit 29 Care of Children and 189</p> <p>7 Adolescents With Gender</p> <p>8 Dysphoria</p> <p>9</p> <p>10 Exhibit 30 Expert Declaration of 190</p> <p>11 Armand H. Antommarrina, M.D.,</p> <p>12 Ph.D., FAAP, HEC-C,</p> <p>13</p> <p>14 Exhibit 31 Plaintiff-Intervenor United 192</p> <p>15 States' Disclosure of</p> <p>16 Expert Testimony of Armand</p> <p>17 H. Matheny Antommarrina,</p> <p>18 M.D., Ph.D., FAAP, HEC-C</p> <p>19</p> <p>20 Exhibit 32 Bilaga 3. Inkluderade 199</p> <p>21 Studier Appendix 3.</p> <p>22 Characteristics of Included</p> <p>23 Studies: Extracted data</p> <p>24</p> <p>25 Exhibit 33 Bilaga till rapport 201</p> <p>26</p> <p>27 Exhibit 34 Considering Sex as a 210</p> <p>28 Biological Variable in</p> <p>29 Basic and Clinical Studies:</p> <p>30 An Endocrine Society</p> <p>31 Scientific Statement</p> <p>32</p> <p>33 Exhibit 35 A Critical Commentary on 218</p> <p>34 Follow-up Studies and</p> <p>35 Desistance Theories About</p> <p>36 Transgender and</p> <p>37 Gender-nonconforming</p> <p>38 Children</p> <p>39</p> <p>40 Exhibit 36 A Critical Commentary on A 222</p> <p>41 Critical Commentary on</p> <p>42 Follow-up Studies and</p> <p>43 Desistance Theories About</p> <p>44 Transgender and Gender</p> <p>45 Nonconforming Children</p> | Page 4 |

| | |
|--|--|
| <p style="text-align: right;">Page 6</p> <p>1 Exhibit 37 The Amsterdam Cohort of 225 Gender Dysphoria Study 2 (1972-2015): Trends in Prevalence, Treatment, and 3 Regrets 4 Exhibit 38 A Follow-Up Study of Boys 233 With Gender Identity 5 Disorder 6 Exhibit 39 Medical Decision-making in 242 Children and Adolescents: 7 Developmental and Neuroscientific Aspects 8 Exhibit 40 Assessing Medical 246 9 Decision-Making Competence in Transgender Youth 10 Exhibit 41 The Competency of Children 254 11 and Adolescents to Make Informed Treatment 12 Decisions 13 Exhibit 42 A Qualitative Study of 260 Adolescents' Understanding 14 of Biobanks and Their Attitudes Toward 15 Participation, Re-contact, and Data Sharing 16 17 18 19 20 21 22 23 24 25</p> | <p style="text-align: right;">Page 8</p> <p>1 On behalf of the Defendants: 2 Alliance Defending Freedom 3 By: Roger G. Brooks, Esq. Henry W. Frampton, Esq. 4 Laurence Wilkinson, Esq. 15100 North 90th Street 5 Scottsdale, Arizona 85260 6 and 7 Office of the Attorney General, State of Alabama 8 By: Barrett Bowdre, Esq. 9 Bethany Lee, Esq. Deputy Solicitors General 10 501 Washington Avenue Montgomery, Alabama 36130 11 ALSO PRESENT: 12 Jennifer L. Levi, Esq. 13 Shannon Minter, Esq. 14 * * * 15 16 17 18 19 20 21 22 23 24 25</p> |
| <p style="text-align: right;">Page 7</p> <p>1 APPEARANCES: On behalf of the Intervenor Plaintiff: 2 U.S. Department of Justice 3 By: Jason R. Cheek, Esq. 4 Deputy Chief, Civil Division 1801 Fourth Avenue North 5 Birmingham, Alabama 35203-2101 6 and 7 Kaitlin Toyama, Esq. Renee Williams, Esq. (Via Zoom) 8 Attorney Advisor, Federal Coordination and Compliance 9 950 Pennsylvania Avenue NW Washington, D.C. 20530-0001 10 and 11 By: Coty Montag, Esq. (Via Zoom) 12 Deputy Chief, Federal Coordination and Compliance Section, Civil Rights Division 13 4 Constitution Square 150 M Street NE, Room 7.1817 14 Washington, D.C. 20002 15 On behalf of the private Plaintiffs: 16 King & Spalding, LLP 17 By: Adam Reinke, Esq. (Via Zoom) 1180 Peachtree Street, NE, Suite 1600 18 Atlanta, Georgia 30309 19 and 20 Michael B. Shortnacy, Esq. (Via Zoom) 633 West Fifth Street, Suite 1600 21 Los Angeles, California 90071 22 23 24 25</p> | <p style="text-align: right;">Page 9</p> <p>1 ARMAND H. AN TOMM MARIA, M.D., Ph.D., FAAP, HEC-C 2 of lawful age, Witness herein, having been first 3 duly cautioned and sworn, as hereinafter 4 certified, was examined and said as follows: 5 EXAMINATION 6 BY MR. FRAMPTON: 7 Q. Good morning, Dr. Antomm maria. 09:03:33 8 A. Good morning. 09:03:35 9 Q. How are you? 09:03:35 10 A. I am all right, thank you. 09:03:36 11 Q. Very good. I introduced myself 09:03:37 12 earlier, but I am Hal Frampton. I am 09:03:40 13 representing the State of Alabama, and I am 09:03:42 14 going to ask you some questions over the course 09:03:44 15 of the day. Have you had your deposition taken 09:03:46 16 before? 09:03:50 17 A. I have. 09:03:50 18 Q. How many times about? 09:03:51 19 A. Twice. 09:03:53 20 Q. Twice. What cases were those in, 09:03:54 21 to the best of your recollection? 09:03:57 22 A. The case in Arkansas and the case 09:03:58 23 in Florida. 09:04:01 24 Q. Okay, got it. The case in 09:04:02 25 Arkansas, the Brandt case; is that right? 09:04:07</p> |

| | |
|--|---|
| Page 10 | Page 12 |
| 1 A. Correct. 09:04:09 | 1 definition? 09:06:54 |
| 2 Q. And Florida, was that a recent 09:04:09 | 2 A. Oh, we don't care for all types of 09:06:55 |
| 3 deposition? 09:04:12 | 3 pediatric inpatients. 09:06:58 |
| 4 A. Yes. 09:04:13 | 4 Q. Okay. What types of pediatric 09:06:59 |
| 5 Q. When was it? 09:04:13 | 5 inpatients do you not care for? 09:07:03 |
| 6 A. About two weeks ago. 09:04:14 | 6 A. We don't care for surgical 09:07:04 |
| 7 Q. Okay, got it. Well, you know 09:04:17 | 7 patients or patients exclusively admitted for a 09:07:12 |
| 8 basically how this process works. This will 09:04:20 | 8 single subspecialty condition. 09:07:17 |
| 9 work the same as your others. I am going to 09:04:22 | 9 Q. Is it the case in your clinical 09:07:19 |
| 10 ask you a series of questions. You understand 09:04:25 | 10 practice that all of your patients are 09:07:25 |
| 11 that you are under oath this morning, correct? 09:04:27 | 11 inpatients? 09:07:27 |
| 12 A. I do. 09:04:28 | 12 A. So all the patients that I care 09:07:28 |
| 13 Q. Fair enough. Dr. Antommaria, I am 09:04:45 | 13 for were admitted as an inpatient. We are 09:07:38 |
| 14 going to hand you what I am marking as 09:04:52 | 14 increasingly managing transitions to home and 09:07:43 |
| 15 Exhibit 1. 09:04:53 | 15 do receive phone calls for patients following 09:07:49 |
| 16 (Thereupon, Exhibit 1, curriculum 09:05:01 | 16 their discharge. So all of the patients that I 09:07:51 |
| 17 vitae, was marked for purposes of identification.) 09:05:02 | 17 am providing medical care for are not 09:07:55 |
| 18 BY MR. FRAMPTON: 09:05:02 | 18 concurrently inpatients but were inpatients at 09:07:58 |
| 19 Q. All right. Dr. Antommaria, is 09:05:17 | 19 one point in time. 09:08:02 |
| 20 Exhibit 1 a current copy of your CV? 09:05:18 | 20 Q. It looked to me on the website for 09:08:02 |
| 21 A. Yes, it's a current copy of my CV. 09:05:21 | 21 Cincinnati Children's that child psychiatry has 09:08:15 |
| 22 Q. Thank you, sir. 09:05:41 | 22 its own inpatient facilities; is that correct? 09:08:18 |
| 23 MR. CHEEK: And, Mr. Frampton, my 09:05:42 | 23 A. Yes. There are specific inpatient 09:08:20 |
| 24 apologies, can I put something on the record 09:05:45 | 24 psychiatric beds at Cincinnati Children's. 09:08:28 |
| 25 before we go further? That we are not agreeing to 09:05:46 | 25 Q. And do you service those patients? 09:08:31 |
| Page 11 | Page 13 |
| 1 the usual stipulations. We will take this 09:05:49 | 1 MR. CHEEK: Objection, form. 09:08:37 |
| 2 deposition according to the Federal Rules. 09:05:51 | 2 THE WITNESS: So I am sorry that this 09:08:43 |
| 3 MR. FRAMPTON: Okay. 09:05:53 | 3 is complicated. So as a pediatric hospitalist, I 09:08:45 |
| 4 MR. CHEEK: And we will also reserve 09:05:53 | 4 do admit psychiatric patients either awaiting 09:08:49 |
| 5 the right to read and sign. 09:05:55 | 5 medical clearance or who have been medically 09:08:54 |
| 6 MR. FRAMPTON: Okay. 09:05:57 | 6 cleared and are awaiting psychiatric admission. 09:08:57 |
| 7 MR. CHEEK: My apologies. 09:05:57 | 7 And as a bioethicist, I consult on patients 09:09:03 |
| 8 BY MR. FRAMPTON: 09:05:58 | 8 admitted to -- so the name of the facility at 09:09:10 |
| 9 Q. All right. Dr. Antommaria, I see 09:06:01 | 9 Cincinnati Children's where the inpatient 09:09:13 |
| 10 on the second page of your CV that you are in 09:06:04 | 10 psychiatric beds are located is called College 09:09:16 |
| 11 the Department of Surgery; is that correct? 09:06:07 | 11 Hill. I consult on patients who are admitted at 09:09:19 |
| 12 A. I have a secondary appointment in 09:06:11 | 12 College Hill. 09:09:22 |
| 13 the Department of Surgery. 09:06:15 | 13 BY MR. FRAMPTON: 09:09:23 |
| 14 Q. Okay. Are you a surgeon? 09:06:17 | 14 Q. In your capacity as a medical 09:09:23 |
| 15 A. No, I am not. 09:06:19 | 15 ethicist? 09:09:25 |
| 16 Q. What is your specialty? 09:06:20 | 16 A. Yes. 09:09:26 |
| 17 A. My clinical specialty is as a 09:06:24 | 17 Q. What about in your capacity as a 09:09:26 |
| 18 pediatric hospitalist. 09:06:31 | 18 pediatric hospitalist? 09:09:31 |
| 19 Q. And so that means you manage the 09:06:33 | 19 A. No. 09:09:33 |
| 20 care of pediatric patients while they are 09:06:36 | 20 Q. Approximately what percentage of 09:09:33 |
| 21 inpatients; is that correct? 09:06:39 | 21 your time is spent on your practice as a 09:09:41 |
| 22 A. That is an aspect of what a 09:06:40 | 22 pediatric hospitalist? 09:09:44 |
| 23 pediatric hospitalist does or how a pediatric 09:06:47 | 23 A. 30 percent of my effort is 09:09:45 |
| 24 hospitalist is defined. 09:06:50 | 24 dedicated to my work as a pediatric 09:09:49 |
| 25 Q. Okay. What did I miss in that 09:06:52 | 25 hospitalist. 09:09:51 |

| | |
|--|---|
| Page 14 | Page 16 |
| <p>1 Q. And what percentage as a medical 09:09:52 2 ethicist? 09:09:54</p> <p>3 A. So 70 percent of my time is 09:09:55 4 dedicated to my role as the director of the 09:09:59 5 Ethics Center at Cincinnati Children's. 09:10:04</p> <p>6 Q. You do not perform the initial 09:10:05 7 diagnosis of gender dysphoria in a patient, do 09:10:11 8 you? 09:10:14</p> <p>9 A. That is correct, I don't perform 09:10:14 10 the initial diagnosis. 09:10:17</p> <p>11 Q. And you do not initiate medical 09:10:18 12 treatment; is that correct? 09:10:22</p> <p>13 MR. CHEEK: Objection, form. 09:10:24</p> <p>14 THE WITNESS: Could you be more -- 09:10:28 15 what do you mean by medical treatment, sir? 09:10:29</p> <p>16 BY MR. FRAMPTON: 09:10:32</p> <p>17 Q. Do you initiate the treatment of 09:10:33 18 puberty-suppressing medication in patients with 09:10:35 19 gender dysphoria? 09:10:39</p> <p>20 A. No, I do not. 09:10:39</p> <p>21 Q. What about cross-sex hormones? 09:10:40</p> <p>22 A. I don't initiate the use of gender 09:10:43 23 affirming hormone therapy. 09:10:49</p> <p>24 Q. Your medical ethics practice, what 09:10:50 25 all does that consist of? 09:11:04</p> | <p>1 you -- do you have sort of a compensation line 09:12:45 2 item for your clinical practice and a line item 09:12:48 3 for your role as director of the Ethics Center? 09:12:50</p> <p>4 Is it broken out in that way? 09:12:54</p> <p>5 A. So within the Ethics Center 09:12:56 6 budget, there is compensation for my clinical 09:13:00 7 time, which comes from the Division of Hospital 09:13:05 8 Medicine. And there is our other budget lines 09:13:09 9 for my effort related to being the director of 09:13:14 10 the Ethics Center. 09:13:18</p> <p>11 Q. In your clinical consultation 09:13:18 12 practice, that is not limited as an ethicist -- 09:13:28 13 that is not limited to gender dysphoria issues, 09:13:34 14 correct? 09:13:37</p> <p>15 MR. CHEEK: Objection, form. 09:13:37</p> <p>16 THE WITNESS: No, it is not limited 09:13:39 17 in that way. 09:13:41</p> <p>18 BY MR. FRAMPTON: 09:13:41</p> <p>19 Q. About what percentage of your time 09:13:43 20 do you believe you spend on gender dysphoria 09:13:44 21 issues in your role as an ethicist? 09:13:46</p> <p>22 A. So, again, it's difficult for me 09:13:50 23 to put a percentage. I would say that I attend 09:14:00 24 and participate in Adolescent Medicine Clinic 09:14:06 25 that cares for transgender patients, 09:14:12</p> |
| Page 15 | Page 17 |
| <p>1 A. So I direct the Ethics Center at 09:11:05 2 Cincinnati Children's, so I have oversight for 09:11:16 3 the center's activities. The center has 09:11:19 4 activities related to research, clinical and 09:11:23 5 organizational ethics. I would be happy to 09:11:28 6 provide more specific information about any of 09:11:33 7 those areas. 09:11:39</p> <p>8 Q. Sure. About what percentage of 09:11:39 9 your time is spent actually consulting on 09:11:43 10 clinical care? 09:11:46</p> <p>11 A. I think it's hard to identify a 09:11:46 12 particular percentage of my time because I 09:11:58 13 don't track time in the way lawyers track 09:12:02 14 billable hours, so I don't -- it would be 09:12:07 15 difficult for me to give an estimate of that. 09:12:10</p> <p>16 Q. Is it the majority of your time? 09:12:12</p> <p>17 A. Probably not the majority of my 09:12:15 18 time. 09:12:22</p> <p>19 Q. Are you compensated separately for 09:12:23 20 your clinical practice and your practice as 09:12:28 21 director of ethics or director of the Ethics 09:12:31 22 Center? 09:12:34</p> <p>23 A. By compensated separately, can I 09:12:34 24 ask what you mean? 09:12:41</p> <p>25 Q. Sure. I am simply asking are 09:12:42</p> | <p>1 multidisciplinary team meeting. I consult on 09:14:17 2 patients on an as-needed basis when particular 09:14:20 3 ethical issues arise, which may be two to three 09:14:28 4 patients. I may have separate conversations 09:14:31 5 about patients that don't arise to a formal 09:14:36 6 ethics consult. And I am engaged in 09:14:40 7 institutional issues related to policies and 09:14:45 8 procedures related to the care of patients with 09:14:53 9 gender dysphoria, which are not individual 09:14:55 10 patient consultation. 09:15:03</p> <p>11 Q. Would you say all of that adds up 09:15:04 12 to a majority of your time? 09:15:10</p> <p>13 A. It does not. 09:15:11</p> <p>14 Q. You said two to three patients. 09:15:12 15 What number -- what number are you referring to 09:15:17 16 there? 09:15:20</p> <p>17 A. It would be two to three patients 09:15:20 18 per year. 09:15:21</p> <p>19 Q. Got it, fair enough. You are not 09:15:22 20 a psychiatrist; is that correct? 09:15:27</p> <p>21 A. That is correct. 09:15:28</p> <p>22 Q. You are not a psychologist; is 09:15:30 23 that correct? 09:15:33</p> <p>24 A. That is correct. 09:15:33</p> <p>25 Q. I have got a few of these. You 09:15:33</p> |

| | |
|---|---|
| Page 18 | Page 20 |
| 1 are not an endocrinologist; is that correct? 09:15:37 | 1 attempts to take their life and is successful 09:19:14 |
| 2 A. That is correct. 09:15:39 | 2 and has died, and suicidality would be that 09:19:16 |
| 3 Q. All right. What training do you 09:15:40 | 3 someone has thoughts of committing suicide or 09:19:22 |
| 4 have in adolescent developmental psychology? 09:15:44 | 4 potentially attempts to commit suicide. 09:19:28 |
| 5 A. I have training in adolescent 09:15:48 | 5 Q. Suicidality is far more common 09:19:37 |
| 6 development psychology as part of my medical 09:15:55 | 6 than completed suicide; is that correct? 09:19:40 |
| 7 school education, as part of my pediatric 09:15:59 | 7 A. That is correct. 09:19:41 |
| 8 residency training, and as part of my ongoing 09:16:02 | 8 Q. Do you consider yourself an expert 09:19:42 |
| 9 professional education. 09:16:06 | 9 in suicide or suicidality? 09:19:47 |
| 10 Q. Do you consider yourself an expert 09:16:07 | 10 A. No, I don't consider myself an 09:19:50 |
| 11 in adolescent developmental psychology? 09:16:12 | 11 expert on those topics. 09:19:52 |
| 12 A. No, I don't consider myself an 09:16:15 | 12 Q. When -- are you aware of any study 09:19:53 |
| 13 expert in that area. 09:16:19 | 13 demonstrating that the medical transition of 09:20:12 |
| 14 Q. What is your training in the study 09:16:20 | 14 any type, whether it's hormonal, surgical, 09:20:17 |
| 15 of cognitive development? 09:16:32 | 15 whatever, reduces the rate of completed suicide 09:20:20 |
| 16 A. Again, I have training in the 09:16:33 | 16 among any population of transgender 09:20:24 |
| 17 study of cognitive development as a result of 09:16:40 | 17 individuals? 09:20:29 |
| 18 my medical school education, my residency 09:16:43 | 18 MR. CHEEK: Objection. I didn't hear 09:20:29 |
| 19 training, and my ongoing professional 09:16:46 | 19 the last part of your question, Counsel. 09:20:31 |
| 20 development. 09:16:49 | 20 MR. FRAMPTON: I'll just do it again. 09:20:40 |
| 21 Q. Do you consider yourself an expert 09:16:50 | 21 BY MR. FRAMPTON: 09:20:41 |
| 22 in that area? 09:16:53 | 22 Q. Are you aware of any study -- I'll 09:20:42 |
| 23 A. So I don't consider myself an 09:16:54 | 23 speak up -- are you aware of any study 09:20:43 |
| 24 expert in that area colloquially. There are 09:17:02 | 24 demonstrating that medical transition of any 09:20:46 |
| 25 particular areas related to, say, adolescent 09:17:07 | 25 kind reduces the rate of completed suicides 09:20:48 |
| Page 19 | Page 21 |
| 1 capacity to make decisions that are a narrow 09:17:11 | 1 among any population of transgender 09:20:52 |
| 2 subset of the entire field in which I have a 09:17:16 | 2 individuals? 09:20:54 |
| 3 greater knowledge. 09:17:20 | 3 A. I am not aware of such a study. 09:20:54 |
| 4 MR. FRAMPTON: Let's go off the 09:17:20 | 4 Q. When we treat an adolescent -- a 09:21:00 |
| 5 record because I don't want to burn time on people 09:17:20 | 5 gender dysphoric adolescent with hormone 09:21:15 |
| 6 joining. 09:17:20 | 6 therapy, the hope certainly is that they are 09:21:19 |
| 7 (Thereupon, an off-the-record 09:17:20 | 7 going to have far more adult years in their 09:21:20 |
| 8 discussion was had.) 09:17:20 | 8 life than teenage years, correct? 09:21:23 |
| 9 BY MR. FRAMPTON: 09:17:20 | 9 A. Yes, we would anticipate that 09:21:26 |
| 10 Q. Back on. Dr. Antommaria, you do 09:18:04 | 10 individuals have more adult years than teenage 09:21:36 |
| 11 not have any peer-reviewed publications on any 09:18:06 | 11 years. 09:21:38 |
| 12 issues of transgender medicine; is that 09:18:08 | 12 Q. And so the effect of the hormonal 09:21:38 |
| 13 correct? 09:18:10 | 13 intervention over the course of adult years is 09:21:45 |
| 14 A. That is correct. 09:18:10 | 14 at least as important as the short-term effect 09:21:48 |
| 15 Q. You have not been an investigator 09:18:13 | 15 of the intervention, would you agree? 09:21:52 |
| 16 in any study of the safety or efficacy of any 09:18:17 | 16 A. Both the short term and long-term 09:21:54 |
| 17 hormonal interventions as treatment for gender 09:18:20 | 17 effects of the intervention are important 09:21:58 |
| 18 dysphoria; is that correct? 09:18:23 | 18 considerations. 09:22:00 |
| 19 A. That is correct. 09:18:24 | 19 Q. Equally important? 09:22:01 |
| 20 Q. All right. Can you tell me the 09:18:27 | 20 A. It would depend on the clinical 09:22:10 |
| 21 difference between suicide and suicidality, if 09:18:52 | 21 context, sir. 09:22:12 |
| 22 you know? I mean, if I ask questions that are 09:18:57 | 22 Q. How is that? 09:22:13 |
| 23 outside your expertise, just tell me. 09:18:58 | 23 A. For someone with less severe 09:22:13 |
| 24 A. So if by suicide you mean 09:19:06 | 24 dysphoria, the long-term effects may have a 09:22:26 |
| 25 completed suicide, that would be somebody who 09:19:09 | 25 greater weight. And for somebody with a severe 09:22:31 |

| | |
|--|--|
| Page 22 | Page 24 |
| <p>1 dysphoria, the short-term effects might have 09:22:37 2 greater weight. 09:22:40 3 Q. Even in the latter case, the 09:22:40 4 long-term effects are important, are they not? 09:22:45 5 A. In the latter case, being someone 09:22:47 6 with severe dysphoria? 09:22:53 7 Q. Yes. 09:22:54 8 A. Yes. 09:22:55 9 (Thereupon, Exhibit 2, Hormone 09:23:06 10 Therapy, Mental Health, and Quality of Life Among 09:23:06 11 Transgender People: A Systematic Review, was 09:23:06 12 marked for purposes of identification.) 09:23:07 13 BY MR. FRAMPTON: 09:23:07 14 Q. All right. Dr. Antommaria, I am 09:23:21 15 going to show you what I am marking as 09:23:23 16 Exhibit 2. What I marked is a paper entitled 09:23:24 17 Hormone Therapy, Mental Health, and Quality of 09:23:58 18 Life Among Transgender People, A Systematic 09:24:01 19 Review. The lead author is Kellan Baker. And, 09:24:04 20 Dr. Antommaria, first question, is this an 09:24:07 21 article that you are familiar with? 09:24:10 22 A. No, sir, it is not. 09:24:11 23 Q. So this wasn't something that you 09:24:13 24 reviewed in preparing your expert report? 09:24:17 25 A. No, sir, it is not. 09:24:22</p> | <p>1 conclusions about the effects of hormone 09:25:46 2 therapy on death by suicide. Do you see that? 09:25:48 3 A. I do see that sentence, sir. 09:25:50 4 Q. And my question is simply are you 09:25:53 5 aware of any systematic reviews that have been 09:25:55 6 able to draw conclusions about the effects of 09:25:59 7 hormone therapy on suicide? 09:26:04 8 A. So I believe that my answer to the 09:26:05 9 prior question was that I wasn't aware of any 09:26:13 10 individual studies. And not being aware of any 09:26:17 11 individual studies, I am also not aware of any 09:26:22 12 meta-analyses of individual studies. 09:26:29 13 Q. Fair. Okay, we are done with that 09:26:30 14 one. 09:27:13 15 (Thereupon, Exhibit 3, Psychosocial 09:27:13 16 Functioning in Transgender Youth after 2 Years of 09:27:13 17 Hormones, was marked for purposes of 09:27:13 18 identification.) 09:27:13 19 BY MR. FRAMPTON: 09:27:13 20 Q. I think we'll have a little better 09:27:13 21 luck with this one. I am going to show you, 09:27:13 22 Dr. Antommaria, what I am marking as 09:27:14 23 Defendants' Exhibit 3. I found my exhibit 09:27:17 24 sticker, so we are starting off on the right 09:27:22 25 foot. 09:27:24</p> |
| Page 23 | Page 25 |
| <p>1 Q. Then my questions about it are 09:24:24 2 going to be very limited. But I would like you 09:24:29 3 to turn to page 13. 09:24:32 4 A. I am on page 13, sir. 09:24:44 5 Q. Look under acknowledgements. Do 09:24:46 6 you see where it says Financial Support: This 09:24:49 7 review was partly funded by the World 09:24:51 8 Professional Association for Transgender 09:24:53 9 Health? 09:24:56 10 A. Yes, sir, I do see that. 09:25:00 11 Q. Are you familiar with that 09:25:02 12 organization? 09:25:03 13 A. I am, sir. 09:25:04 14 Q. Do you know if this was a review 09:25:04 15 commissioned by WPATH for Standards of Care 8? 09:25:13 16 MR. CHEEK: Objection, speculation. 09:25:19 17 THE WITNESS: As I said, sir, I am 09:25:20 18 not familiar with the article, so I am not 09:25:21 19 familiar with that aspect of the article. 09:25:22 20 BY MR. FRAMPTON: 09:25:24 21 Q. Okay. Well, then I am not going 09:25:25 22 to ask you anything terribly substantive about 09:25:27 23 this. What I will -- look on page 12 under 09:25:30 24 discussion. At the bottom of that first 09:25:34 25 paragraph, it says: It was impossible to draw 09:25:42</p> | <p>1 And what I have marked as 09:27:36 2 Exhibit 3 is an article entitled Psychosocial 09:27:39 3 Functioning in Transgender Youth after 2 Years 09:27:42 4 of Hormones. The lead author is Diane Chen. 09:27:45 5 And, Dr. Antommaria, are you familiar with this 09:27:54 6 article? 09:27:56 7 A. Yes, I am. 09:27:56 8 Q. You cited this one in your expert 09:28:00 9 report, correct? 09:28:02 10 A. So I don't have my expert report 09:28:03 11 before me. I believe so. 09:28:09 12 Q. We will. You are familiar with 09:28:11 13 the article. It doesn't matter for purposes of 09:28:15 14 this line of questioning. Let's -- first, are 09:28:17 15 you familiar with any of the researchers listed 09:28:25 16 here? 09:28:29 17 A. Familiar in what way, sir? 09:28:31 18 Q. Do you know any of them? 09:28:34 19 A. I have met Dr. Rosenthal, as 09:28:35 20 Dr. Rosenthal has lectured at Cincinnati 09:28:43 21 Children's. 09:28:45 22 Q. Does he have a strong reputation? 09:28:45 23 MR. CHEEK: Objection, form. 09:28:57 24 THE WITNESS: A strong reputation for 09:28:58 25 what, sir? 09:29:04</p> |

| | |
|--|---|
| <p style="text-align: right;">Page 26</p> <p>1 BY MR. FRAMPTON: 09:29:04 2 Q. For this kind of research. 09:29:07 3 A. My general understanding is that 09:29:12 4 Dr. Rosenthal is an expert in the field of 09:29:14 5 transgender health care. 09:29:17 6 Q. Are you familiar with Dr. Chen? 09:29:18 7 Have you read other publications by her? 09:29:25 8 A. So given that many articles have 09:29:28 9 multiple authors, and I may not always be as 09:29:38 10 attentive to the middle authors of a 09:29:44 11 publication, I may have read articles by 09:29:47 12 Dr. Chen, but none immediately come to mind. 09:29:51 13 Q. Okay, fair. This is published in 09:29:57 14 the New England Journal of Medicine, correct? 09:30:04 15 A. It is, sir. 09:30:06 16 Q. Is that a prestigious medical 09:30:09 17 journal, in your understanding? 09:30:12 18 A. It is, sir. 09:30:13 19 Q. All right. Let's talk about this 09:30:14 20 study. This is a perspective cohort study; is 09:30:18 21 that correct, in terms of research design? 09:30:29 22 A. Yes, sir. 09:30:31 23 Q. And that means that the 09:30:31 24 researchers are sort of monitoring the 09:30:34 25 participants as the experiment proceeds, 09:30:37</p> | <p style="text-align: right;">Page 28</p> <p>1 Q. I'm sorry, that's sample 09:32:34 2 characteristics. 09:32:35 3 A. Okay. 09:32:36 4 Q. Next to last sentence from the 09:32:36 5 bottom of that particular section, I'll read it 09:32:40 6 one more time. Two participants died by 09:32:42 7 suicide during the study, one after six months 09:32:45 8 of follow-up and the other after 12 months of 09:32:48 9 follow-up, and six participants withdrew from 09:32:51 10 the study. Did I read that correctly? 09:32:55 11 A. Yes, sir. 09:32:56 12 Q. Okay. The authors recognized 09:32:56 13 those suicide deaths as adverse events; is that 09:33:08 14 correct? 09:33:11 15 A. I would have to look at their 09:33:11 16 methods and results to confirm that, sir. 09:33:22 17 Q. Yeah, let me help you out. Go to 09:33:24 18 page 245, Table 2, top left-hand corner. 09:33:30 19 A. Okay. 09:33:33 20 Q. Based on that table, do you agree 09:33:33 21 that they recognized these deaths as adverse 09:33:40 22 events in their protocol? 09:33:43 23 A. Yes, so Table 2 is titled Adverse 09:33:48 24 Events. An event is listed by death by 09:33:51 25 suicide. 09:33:54</p> |
| <p style="text-align: right;">Page 27</p> <p>1 correct? 09:30:40 2 A. Yes, they establish a cohort of 09:30:41 3 patients and follow them over time. One might 09:30:48 4 refer to it as an observational study. 09:30:54 5 Q. Fair enough. This study, we have 09:30:56 6 got 315 participants, correct? 09:31:02 7 A. Yes, sir. 09:31:05 8 Q. The mean age is 16; is that right? 09:31:11 9 A. Yes, sir. I believe that would be 09:31:17 10 a reference to their mean age at the time of 09:31:26 11 enrollment. 09:31:29 12 Q. Right. And if you flip to page 09:31:29 13 241, about halfway down the second column. Is 09:31:37 14 it your understanding that these patients were 09:31:49 15 followed for 24 months? 09:31:52 16 A. Yes, sir. 09:31:55 17 Q. Flip to page 243, please, sir. 09:31:56 18 The first column under sample characteristics 09:32:17 19 towards the bottom, do you see where it says 09:32:19 20 two participants died by suicide during the 09:32:20 21 study, one after six months of follow-up and 09:32:22 22 the other after 12 months of follow-up and six 09:32:25 23 participants withdrew from the study? 09:32:29 24 A. So I'm sorry, which subsection in 09:32:31 25 the article are you reading that? 09:32:33</p> | <p style="text-align: right;">Page 29</p> <p>1 Q. What is an adverse event in a 09:33:54 2 research study? 09:33:57 3 A. So an adverse event in a research 09:33:57 4 study would be a negative outcome in the study, 09:34:05 5 although it may not necessarily be attributable 09:34:12 6 to the intervention in the study. 09:34:16 7 Q. Right. Whether it's attributable 09:34:18 8 or not is unknown; is that correct? 09:34:23 9 A. There would be efforts made to 09:34:25 10 determine whether it's attributable or not. 09:34:33 11 Q. So the suicide rate in this 09:34:36 12 particular study is 2 out of 315; is that 09:34:45 13 correct? 09:34:50 14 A. So there would be multiple ways to 09:34:50 15 report a suicide rate, and they are frequently 09:35:01 16 reported as rate per individual years. And so 09:35:05 17 one way to describe the rate might be 2 out of 09:35:13 18 115, but I don't know that that would 09:35:19 19 necessarily be the way it would be typically 09:35:22 20 reported in the literature. 09:35:24 21 Q. If we -- understood. If we did 09:35:25 22 patient years, it would be 2 out of 630, 09:35:29 23 correct, because we have got two patient years 09:35:37 24 per patient? 09:35:39 25 A. Correct. 09:35:40</p> |

Page 30

1 Q. And that would be 0.3 percent per 09:35:40
 2 patient year, my math roughly, correct? 09:35:42
 3 A. I would need to take your word 09:35:47
 4 that your math is correct, sir. 09:35:49
 5 Q. Do you have any sense of whether 09:35:50
 6 that is a particularly high suicide rate? 09:35:54
 7 A. Based on other literature that I 09:35:58
 8 have read, I would have reason to believe that 09:36:12
 9 it is higher than the population average, sir. 09:36:14
 10 Q. Can you think of any study that 09:36:17
 11 has found that high of a rate of death by 09:36:24
 12 suicide among gender dysphoric children or 09:36:28
 13 youth who were not given hormonal 09:36:34
 14 interventions? 09:36:36
 15 A. Can you repeat your question, sir? 09:36:38
 16 Q. I am happy to. 09:36:44
 17 A. Just so I understand. 09:36:45
 18 Q. Understood. Can you think of any 09:36:46
 19 study as you sit here today that has found that 09:36:47
 20 high of a suicide rate among gender dysphoric 09:36:50
 21 children or youth who were not given hormonal 09:36:55
 22 interventions? 09:36:58
 23 MR. CHEEK: Objection, form. 09:37:03
 24 THE WITNESS: So, sir, I can't think 09:37:07
 25 of a study of the suicide rate in individuals who 09:37:08

Page 31

1 did not receive gender affirming medical care, so 09:37:14
 2 I am unable to make a comparison between the rate 09:37:19
 3 of such a study and the rate reported in this 09:37:22
 4 study. 09:37:24
 5 BY MR. FRAMPTON: 09:37:25
 6 Q. You would agree that in a study of 09:37:32
 7 this nature, suicide is the most serious 09:37:34
 8 adverse event possible, would you not? 09:37:37
 9 MR. CHEEK: Object to form. 09:37:50
 10 THE WITNESS: So I would agree that 09:37:52
 11 death is the most adverse event possible. I would 09:37:55
 12 have to give greater consideration to whether 09:37:57
 13 death by suicide is more severe or not than death 09:38:01
 14 in general. 09:38:05
 15 BY MR. FRAMPTON: 09:38:06
 16 Q. Fair enough. Would you agree the 09:38:07
 17 suicide rate reported in this study is 09:38:10
 18 unexpected? 09:38:13
 19 A. No, sir. 09:38:13
 20 Q. And why is that? 09:38:26
 21 A. I don't know that I have a 09:38:27
 22 particular expectation of what the rate would 09:38:30
 23 be in order for the rate that the investigators 09:38:34
 24 reported to be unexpected. 09:38:37
 25 Q. Had you -- I know you said you 09:38:38

Page 32

1 were familiar with this study. Had you -- in 09:38:42
 2 reading it before today, had you noticed the 09:38:45
 3 suicide point, that two of the participants had 09:38:50
 4 committed suicide? Is that something that 09:38:56
 5 stuck out to you? 09:38:59
 6 A. So, sir, it's included in the 09:38:59
 7 abstract. So yes, it was something I was aware 09:39:04
 8 of. 09:39:06
 9 Q. Did you in reviewing it find any 09:39:06
 10 explanation that the authors provided as to the 09:39:12
 11 suicide rate? 09:39:16
 12 A. So it's been a while since I have 09:39:18
 13 read this study. I would need to review the 09:39:22
 14 authors' discussion to determine how they 09:39:25
 15 discussed the suicide rate in their study. So, 09:39:29
 16 sir, in scanning the discussion without 09:41:09
 17 rereading it thoroughly, I don't see a specific 09:41:14
 18 discussion of the two participants who 09:41:16
 19 unfortunately committed suicide during the 09:41:20
 20 study. 09:41:23
 21 Q. That's fine. You can put that one 09:41:23
 22 aside for now. We will probably come back to 09:41:25
 23 it at some point. Dr. Antommaria, in your 09:41:28
 24 understanding, is the term evidence-based 09:41:34
 25 medicine a term of art that has a particular 09:41:38

Page 33

1 meaning? 09:41:43
 2 A. So by term of art, you then mean 09:41:44
 3 has a particular meaning in the field of 09:41:48
 4 medicine? 09:41:50
 5 Q. Yes. 09:41:50
 6 A. Yes, it is. 09:41:51
 7 Q. Okay. And tell me, what is 09:41:52
 8 evidence-based medicine, to your understanding? 09:41:54
 9 A. Evidence-based medicine would be 09:41:56
 10 the effort to base clinical decision making on 09:42:01
 11 the best available evidence and to improve that 09:42:06
 12 evidence base over time. 09:42:11
 13 Q. Did evidence-based medicine as a 09:42:12
 14 paradigm replace some sort of paradigm that 09:42:19
 15 came before it? 09:42:22
 16 MR. CHEEK: Objection, form. 09:42:28
 17 THE WITNESS: So presumably, the 09:42:34
 18 paradigm for medical care in the 18th century was 09:42:35
 19 not based on evidence-based medicine because there 09:42:41
 20 were not clinical trials at that time. 09:42:45
 21 BY MR. FRAMPTON: 09:42:47
 22 Q. Have you taken any particular 09:42:52
 23 courses on evidence-based medicine? 09:42:58
 24 A. So evidence-based -- so 09:43:00
 25 particularly as medical education has changed 09:43:10

| | |
|--|---|
| Page 34 | Page 36 |
| 1 over time, there is less of an emphasis on 09:43:13 | 1 A. I do. 09:46:36 |
| 2 individual courses and the integration of 09:43:18 | 2 Q. What do you know about Dr. Guyatt? 09:46:37 |
| 3 knowledge into larger blocks of courses. So 09:43:22 | 3 A. I know that Dr. Guyatt works in 09:46:39 |
| 4 no, I have not taken a individual course on 09:43:25 | 4 the area of evidence-based medicine, and I am 09:46:45 |
| 5 evidence-based medicine, but it has been a 09:43:29 | 5 familiar with his role in the development of 09:46:48 |
| 6 component then of my undergraduate medical 09:43:31 | 6 the GRADE methodology. 09:46:51 |
| 7 education, my residency, and my continuing 09:43:35 | 7 Q. Got it. Turn in the preface if 09:46:56 |
| 8 medical education. And I teach medical 09:43:39 | 8 you would to page 26, but it's Roman numeral 09:47:03 |
| 9 evidence-based medicine to the trainees that I 09:43:42 | 9 XXVI. 09:47:11 |
| 10 supervise. 09:43:47 | 10 A. I am there, sir. 09:47:29 |
| 11 Q. And you teach that they are to 09:43:47 | 11 Q. Okay. And in that first full 09:47:30 |
| 12 base their care to the greatest extent possible 09:43:57 | 12 paragraph, do you see the sentence: We have 09:47:35 |
| 13 on the best available evidence; is that 09:43:59 | 13 added a fundamental principle to the hierarchy 09:47:42 |
| 14 correct? 09:44:03 | 14 of evidence and the necessity for value and 09:47:46 |
| 15 A. Yes. 09:44:03 | 15 preference judgments; that optimal clinical 09:47:50 |
| 16 (Thereupon, Exhibit 4, Users' Guides 09:44:09 | 16 decision making requires systematic summaries 09:47:54 |
| 17 to the Medical Literature, was marked for purposes 09:44:09 | 17 of the best available evidence, do you see 09:47:58 |
| 18 of identification.) 09:44:09 | 18 that? 09:48:00 |
| 19 BY MR. FRAMPTON: 09:44:09 | 19 A. I do see that sentence, sir. 09:48:01 |
| 20 Q. All right. I am going to hand 09:44:40 | 20 Q. Do you agree with that sentence? 09:48:02 |
| 21 you, Dr. Antommara, what I am marking as 09:44:41 | 21 A. So it's hard for me to necessarily 09:48:03 |
| 22 Defense Exhibit 4. We are going to go through 09:44:43 | 22 understand a sentence removed from its broader 09:48:22 |
| 23 this page by page. I am joking, we are not. 09:44:50 | 23 context, sir. 09:48:26 |
| 24 What I have marked as Exhibit 4 is called 09:44:55 | 24 Q. Do you agree in general with the 09:48:27 |
| 25 User's Guides to the Medical Literature. The 09:44:58 | 25 principle that optimal clinical decision making 09:48:33 |
| Page 35 | Page 37 |
| 1 lead author is Gordon Guyatt. Dr. Antommara, 09:45:03 | 1 requires systematic summaries of the best 09:48:36 |
| 2 is this a document you have seen before? 09:45:09 | 2 available evidence? 09:48:41 |
| 3 A. So I am familiar with JAMA's 09:45:10 | 3 A. I would in principle agree with 09:48:42 |
| 4 Users' Guides to the Medical Literature, and -- 09:45:22 | 4 that statement, sir, recognizing that 09:48:56 |
| 5 but not necessarily this compilation. 09:45:27 | 5 frequently systematic summaries of the best 09:49:00 |
| 6 Q. Okay. Does -- okay. So this one 09:45:31 | 6 available evidence are not available when 09:49:03 |
| 7 is subtitled Essentials of Evidence-Based 09:45:37 | 7 clinical decisions must be made. 09:49:05 |
| 8 Clinical Practice. Does JAMA publish other 09:45:40 | 8 Q. But when they are available, they 09:49:07 |
| 9 users' guides to the literature? 09:45:46 | 9 are important to the decision-making process, 09:49:14 |
| 10 A. So you will see that this is the 09:45:49 | 10 correct? 09:49:16 |
| 11 third edition -- 09:45:51 | 11 A. Yes, sir. 09:49:16 |
| 12 Q. Oh, okay. 09:45:52 | 12 Q. Let's unpack a few of the concepts 09:49:19 |
| 13 A. -- and that this is a book. There 09:45:53 | 13 in that sentence. So what do you understand by 09:49:26 |
| 14 are individual articles about topics in 09:45:59 | 14 hierarchy of evidence? 09:49:30 |
| 15 evidence-based medicine that JAMA has published 09:46:03 | 15 A. So I understand by hierarchy of 09:49:33 |
| 16 in the past. And so I just -- to be specific, 09:46:08 | 16 evidence that there are a variety of types of 09:49:40 |
| 17 just trying to be specific, I haven't seen the 09:46:15 | 17 evidence that can be used to support clinical 09:49:43 |
| 18 third edition of -- 09:46:18 | 18 decision making and that some types of evidence 09:49:46 |
| 19 Q. Fair enough. 09:46:19 | 19 are stronger than other types of evidence and 09:49:50 |
| 20 A. -- this book, but I am familiar 09:46:21 | 20 that there are a variety of different ways to 09:49:55 |
| 21 with JAMA's Users' Guides to the Medical 09:46:22 | 21 characterize the types of evidence and their 09:49:59 |
| 22 Literature, having read articles in this series 09:46:25 | 22 relative strength. 09:50:04 |
| 23 in the past. 09:46:28 | 23 Q. What is a systematic review of 09:50:05 |
| 24 Q. Got it. And do you recognize the 09:46:30 | 24 evidence? 09:50:27 |
| 25 name Gordon Guyatt? 09:46:35 | 25 A. As the name suggests, a systematic 09:50:29 |

| | | | |
|---------|---|---------|---|
| Page 38 | <p>1 review of the evidence uses a systematic 09:50:35</p> <p>2 process to collect and review evidence 09:50:39</p> <p>3 generally related to a specific clinical 09:50:45</p> <p>4 decision so that there would be mechanisms for 09:50:49</p> <p>5 searching the literature, reviewing abstracts 09:50:58</p> <p>6 and titles and then reviewing full texts of 09:51:01</p> <p>7 articles, abstracting data from the articles, 09:51:03</p> <p>8 and then summarizing that information in some 09:51:08</p> <p>9 systematic reviews may also then involve a 09:51:13</p> <p>10 meta-analysis, the analysis of the data from a 09:51:15</p> <p>11 number of individuals. 09:51:19</p> <p>12 Q. So typically, the methodology for 09:51:20</p> <p>13 searching for potentially relevant evidence 09:51:25</p> <p>14 would be disclosed in the review, correct? 09:51:28</p> <p>15 A. So there are published 09:51:30</p> <p>16 recommendations for best practices for 09:51:41</p> <p>17 performing systematic reviews of the 09:51:43</p> <p>18 literature. And I am not going to remember 09:51:45</p> <p>19 which of the appropriate guidelines it is, but 09:51:47</p> <p>20 there are guidelines and checklists for 09:51:50</p> <p>21 recommending what is a best practice for 09:51:54</p> <p>22 performing a systematic review. 09:51:57</p> <p>23 Q. And do you know sitting here 09:51:59</p> <p>24 whether disclosing the methodology, the search 09:52:04</p> <p>25 methodology, is one of those best practices? 09:52:06</p> | Page 40 | <p>1 systematic review of the literature on a 09:53:57</p> <p>2 medical intervention, I will not have utilized 09:53:57</p> <p>3 the GRADE methodology to assess the quality of 09:53:59</p> <p>4 that evidence, sir. 09:54:03</p> <p>5 Q. Okay. Well, as a methodology, is 09:54:06</p> <p>6 GRADE limited to medical interventions? 09:54:09</p> <p>7 A. So it may also be applicable to 09:54:10</p> <p>8 diagnostic tests, as well as treatments. 09:54:21</p> <p>9 Q. But you have not done that kind of 09:54:25</p> <p>10 systematic review, either, correct? 09:54:30</p> <p>11 A. No, I have not, sir. 09:54:31</p> <p>12 Q. Okay, fair enough. It looked like 09:54:32</p> <p>13 you have done two systematic reviews; is that 09:54:39</p> <p>14 right? 09:54:42</p> <p>15 A. So can I refer to my CV, sir? 09:54:42</p> <p>16 Q. Yeah, let me -- in fact, let's go 09:54:57</p> <p>17 ahead and -- 09:55:01</p> <p>18 MR. FRAMPTON: Grab 110 and 111. 09:55:02</p> <p>19 THE WITNESS: So, sir, one systematic 09:55:16</p> <p>20 review immediately comes to mind. I have 09:55:18</p> <p>21 hesitation regarding the characterization that I 09:55:24</p> <p>22 have performed two. 09:55:28</p> <p>23 MR. FRAMPTON: We'll just go ahead 09:55:28</p> <p>24 and mark them. That way, we are all clear. 09:55:36</p> <p>25 (Thereupon, Exhibit 5, A Systematic 09:55:36</p> |
| Page 39 | <p>1 A. I believe it would be, sir. 09:52:08</p> <p>2 Q. Will a systematic review often 09:52:12</p> <p>3 rate the quality of the evidence or assess the 09:52:19</p> <p>4 quality of the evidence? 09:52:22</p> <p>5 A. Some systematic reviews rate the 09:52:23</p> <p>6 quality of evidence, and others do not. 09:52:31</p> <p>7 Q. What is the value of a systematic 09:52:33</p> <p>8 review compared to sort of a more traditional 09:52:43</p> <p>9 narrative review of the literature? 09:52:49</p> <p>10 A. By being systematic, it decreases 09:52:51</p> <p>11 the likelihood of omitting relevant evidence in 09:52:59</p> <p>12 the summary of the available evidence. 09:53:04</p> <p>13 Q. Have you ever conducted or 09:53:05</p> <p>14 supervised a systematic review on the effects 09:53:13</p> <p>15 of a medical intervention? 09:53:16</p> <p>16 A. So I have conducted systematic -- 09:53:18</p> <p>17 a systematic review of the literature, but I 09:53:24</p> <p>18 have not conducted a systematic review of the 09:53:27</p> <p>19 literature of an effect of a medical 09:53:33</p> <p>20 intervention. 09:53:35</p> <p>21 Q. And have you ever conducted a 09:53:35</p> <p>22 systematic review in which you assessed the 09:53:41</p> <p>23 quality of evidence using the GRADE 09:53:45</p> <p>24 methodology? 09:53:45</p> <p>25 A. So if I have not conducted a 09:53:52</p> | Page 41 | <p>1 Literature Review of Individuals' Perspectives on 09:55:36</p> <p>2 Broad Consent and Data Sharing in the United 09:55:36</p> <p>3 States, was marked for purposes of 09:55:36</p> <p>4 identification.) 09:55:37</p> <p>5 BY MR. FRAMPTON: 09:55:37</p> <p>6 Q. I hand you what I am marking as 09:55:41</p> <p>7 Defendants' Exhibit 5. 09:55:43</p> <p>8 A. Oh, yes, sir, two. 09:55:45</p> <p>9 Q. Okay. What I have marked as 09:55:58</p> <p>10 Exhibit 5 is called a Systematic Literature 09:56:01</p> <p>11 Review of Individuals' Perspectives on Broad 09:56:04</p> <p>12 Consent and Data Sharing in the United States. 09:56:09</p> <p>13 The lead author is Dr. Garrison. 09:56:12</p> <p>14 Dr. Antommara, is this one of the systematic 09:56:16</p> <p>15 reviews that you have been involved in? 09:56:18</p> <p>16 A. Yes, sir. 09:56:19</p> <p>17 Q. And were you involved in assessing 09:56:20</p> <p>18 the quality of the studies? 09:56:29</p> <p>19 A. No, sir, I was not. 09:56:45</p> <p>20 (Thereupon, Exhibit 6, Systematic 09:56:52</p> <p>21 Review of Typologies Used to Characterize Clinical 09:56:52</p> <p>22 Ethics Consultations, was marked for purposes of 09:56:52</p> <p>23 identification.) 09:56:52</p> <p>24 BY MR. FRAMPTON: 09:56:52</p> <p>25 Q. Then I am going to hand you what I 09:56:52</p> |

| | |
|---|---|
| Page 42 | Page 44 |
| 1 am marking as Defendants' Exhibit 6. That one 09:56:58 | 1 the hierarchy. Did I read that correctly? 09:59:58 |
| 2 is titled Systematic Review of Typologies Used 09:57:04 | 2 A. You did, sir. 10:00:01 |
| 3 to Characterize Clinical Ethics Consultations. 09:57:09 | 3 Q. And in this context, does EBM mean 10:00:01 |
| 4 And you will have to help me pronounce your -- 09:57:15 | 4 evidence-based medicine? 10:00:06 |
| 5 the lead author's last name. 09:57:18 | 5 A. Yes, sir. 10:00:06 |
| 6 A. deSante-Bertkau, sir. 09:57:22 | 6 Q. What do they mean by unsystematic 10:00:06 |
| 7 Q. Thank you, de-Sante-Bertkau. Is 09:57:23 | 7 observations of individual clinicians? 10:00:12 |
| 8 this the other systematic review you were 09:57:29 | 8 A. So based on the figure above, they 10:00:18 |
| 9 involved with, Dr. Antommaria? 09:57:30 | 9 describe that presumably as the clinical 10:00:21 |
| 10 A. Yes, sir. 09:57:31 | 10 experience of individual clinicians. 10:00:23 |
| 11 Q. Okay. And this one did not assess 09:57:31 | 11 Q. And they place that lowest on the 10:00:26 |
| 12 the quality of evidence; is that right? 09:57:33 | 12 rung of evidence that one might consider, 10:00:31 |
| 13 A. Because of the nature of the 09:57:35 | 13 correct? 10:00:35 |
| 14 systematic review and the types of articles 09:57:39 | 14 MR. CHEEK: Objection, form. 10:00:35 |
| 15 that we were reviewing, no, it did not assess 09:57:44 | 15 THE WITNESS: So it's just to say, 10:00:43 |
| 16 the quality of the evidence. 09:57:48 | 16 sir, that we are moving back and forth between a 10:00:44 |
| 17 Q. Okay, fair enough. You agree that 09:57:49 | 17 couple of different ways of understanding the 10:00:48 |
| 18 clinical practice guidelines should be based on 09:57:59 | 18 hierarchy of evidence and the way in which 10:00:50 |
| 19 systematic reviews of the evidence, correct? 09:58:02 | 19 systematic reviews may grade the evidence. And so 10:00:56 |
| 20 A. Ideally, clinical practice 09:58:04 | 20 this is a particular way of describing that 10:01:03 |
| 21 guidelines should be based on systematic 09:58:11 | 21 hierarchy which is different than the GRADE 10:01:06 |
| 22 reviews, yes, sir. 09:58:13 | 22 methodology. But within the way they are choosing 10:01:14 |
| 23 Q. Are you familiar with the Cochrane 09:58:14 | 23 to describe the hierarchy, yes, they are putting 10:01:16 |
| 24 Library? 09:58:16 | 24 the clinical experience as the lowest level of 10:01:19 |
| 25 A. I am, sir. 09:58:16 | 25 evidence. 10:01:21 |
| Page 43 | Page 45 |
| 1 Q. Tell me what it is, please, to the 09:58:17 | 1 BY MR. FRAMPTON: 10:01:22 |
| 2 extent you know. 09:58:19 | 2 Q. Right. Below laboratory and 10:01:23 |
| 3 A. So the Cochrane Collaboration is a 09:58:21 | 3 physiology research, correct? 10:01:31 |
| 4 group that does methodological research related 09:58:26 | 4 A. Based on Figure 2-3, yes, sir. 10:01:32 |
| 5 to systematic reviews and supports the 09:58:33 | 5 Q. Below observational studies, 10:01:37 |
| 6 performance of systematic reviews, and the 09:58:36 | 6 right? 10:01:39 |
| 7 systematic reviews that they publish are then 09:58:39 | 7 A. Again, based on that figure, yes, 10:01:39 |
| 8 published in the Cochrane Library. 09:58:43 | 8 sir. 10:01:42 |
| 9 Q. And are they recognized in the 09:58:46 | 9 Q. And even beyond the figure, is 10:01:42 |
| 10 community of experts as doing good work in 09:58:59 | 10 that your understanding as someone who -- as an 10:01:46 |
| 11 publishing systematic reviews, conducting and 09:59:01 | 11 expert that that is sort of how the hierarchy 10:01:51 |
| 12 publishing systematic reviews? 09:59:04 | 12 of evidence works? 10:01:54 |
| 13 A. Yes, they are recognized as 09:59:05 | 13 A. So there are likely to be some 10:01:56 |
| 14 producing high quality or publishing high 09:59:08 | 14 nuances within this hierarchy, particularly the 10:02:08 |
| 15 quality systematic reviews. 09:59:10 | 15 relationship between basic research and 10:02:11 |
| 16 Q. Go back to that JAMA guide. 09:59:11 | 16 clinical experience that I don't have a 10:02:13 |
| 17 That's Exhibit 4 for you, please. Turn to page 09:59:18 | 17 particular opinion on. But in general, in 10:02:17 |
| 18 15, if you would, the normal 15. 09:59:26 | 18 general, randomized trials are a higher level 10:02:24 |
| 19 A. I am on page 15, sir. 09:59:35 | 19 of evidence than observational studies than 10:02:28 |
| 20 Q. All right. Bottom of the page, I 09:59:36 | 20 would be individual clinical experience, sir. 10:02:31 |
| 21 think it's the last -- next to last full 09:59:40 | 21 Q. We have -- we mentioned a few 10:02:34 |
| 22 sentence. It says: Returning to the hierarchy 09:59:42 | 22 minutes ago the GRADE methodology. Could you 10:02:48 |
| 23 of therapy, noting the limitations of human 09:59:46 | 23 tell me sort of in general terms, what is the 10:02:50 |
| 24 intuition, EBM places the unsystematic 09:59:49 | 24 GRADE methodology? 10:02:53 |
| 25 observations of individual clinicians lowest on 09:59:55 | 25 A. The GRADE methodology is 10:02:54 |

| | |
|---|--|
| Page 46 | Page 48 |
| 1 methodology for grading the quality of evidence 10:02:58 | 1 Q. Thank you. Third bullet says: 10:05:59 |
| 2 and the strength of recommendations. 10:03:02 | 2 The optimal application of GRADE requires 10:06:02 |
| 3 Q. And is it a well-recognized method 10:03:04 | 3 systematic review of the impact of alternative 10:06:06 |
| 4 within the community of experts that you 10:03:13 | 4 management strategies on all patient-important 10:06:09 |
| 5 inhabit? 10:03:17 | 5 outcomes. Did I read that correct? 10:06:13 |
| 6 A. It is a well-recognized 10:03:18 | 6 A. You did, sir. 10:06:14 |
| 7 methodology within medicine, sir. 10:03:22 | 7 Q. What -- to your understanding, 10:06:15 |
| 8 (Thereupon, Exhibit 7, GRADE 10:03:36 | 8 what is meant by patient-important outcomes? 10:06:25 |
| 9 guidelines: 3. Rating the Quality of Evidence, was 10:03:36 | 9 A. So, again, I would have to review 10:06:29 |
| 10 marked for purposes of identification.) 10:03:37 | 10 the article again in detail for the authors' 10:06:36 |
| 11 BY MR. FRAMPTON: 10:03:37 | 11 definition of that term, but it would be the 10:06:40 |
| 12 Q. I show you what we will mark as 10:03:37 | 12 relevant outcomes of a medical intervention. 10:06:46 |
| 13 Exhibit 7. Dr. Antommara, what I am marking 10:03:39 | 13 Q. And that would include potential 10:06:49 |
| 14 as Exhibit 7 is an article from the Journal of 10:03:53 | 14 benefits of the intervention; is that correct? 10:06:54 |
| 15 Clinical Epidemiology called GRADE guidelines: 10:03:58 | 15 A. Yes, sir. 10:06:57 |
| 16 3. Rating the Quality of Evidence. I believe 10:04:00 | 16 Q. And would it also include 10:06:59 |
| 17 you are familiar with this one, correct? 10:04:03 | 17 potential risks of the intervention? 10:07:02 |
| 18 A. Yes, I am, sir. 10:04:05 | 18 A. Yes, sir. 10:07:04 |
| 19 Q. And just so we sort of set the 10:04:06 | 19 Q. In sort of lay terms, the outcomes 10:07:06 |
| 20 stage, the Journal of Clinical Epidemiology in 10:04:12 | 20 that would matter to a reasonable patient; is 10:07:13 |
| 21 2011 published a whole series of GRADE 10:04:15 | 21 that fair? 10:07:16 |
| 22 guidelines, correct? 10:04:18 | 22 MR. CHEEK: Objection, form. 10:07:17 |
| 23 A. So there is a series of 10:04:21 | 23 THE WITNESS: So as an ethicist, I 10:07:21 |
| 24 approximately I want to say 12 articles. To 10:04:24 | 24 might say the outcomes that would be relevant to 10:07:23 |
| 25 the best of my memory, I don't recall if they 10:04:30 | 25 obtaining informed consent from a patient. 10:07:26 |
| Page 47 | Page 49 |
| 1 were all published in a single year or over 10:04:32 | 1 BY MR. FRAMPTON: 10:07:28 |
| 2 time. 10:04:34 | 2 Q. Which would include potential 10:07:37 |
| 3 Q. Right. 10:04:34 | 3 risks and benefits, correct? 10:07:39 |
| 4 A. But yes, there are a series of -- 10:04:35 | 4 A. Yes, sir. 10:07:41 |
| 5 there was an initial publication describing the 10:04:39 | 5 Q. And GRADE as a general matter is a 10:07:42 |
| 6 GRADE guidelines and subsequent publications 10:04:42 | 6 method for rating the strength of the evidence 10:07:49 |
| 7 describing the guidelines in greater detail, 10:04:45 | 7 to predict the outcome of the tested 10:07:56 |
| 8 and this is one of the individual articles 10:04:48 | 8 intervention; is that right? 10:08:01 |
| 9 describing a particular aspect of the 10:04:51 | 9 A. So I think it's important to 10:08:03 |
| 10 guidelines. 10:04:54 | 10 recognize that GRADE has two components, both 10:08:04 |
| 11 Q. And the author group are the 10:04:54 | 11 rating the quality of the evidence as well as 10:08:08 |
| 12 developers of the GRADE guidelines, correct? 10:05:01 | 12 the strength of recommendations and that rating 10:08:10 |
| 13 A. So it's hard for me to be specific 10:05:03 | 13 quality -- the quality of the evidence does not 10:08:15 |
| 14 about that, sir, given that there are multiple 10:05:19 | 14 have the sole determinant of the strength of a 10:08:17 |
| 15 publications over time and that all of the 10:05:22 | 15 recommendation, sir. 10:08:23 |
| 16 authors may not have participated in the 10:05:25 | 16 Q. Let's talk for a moment about the 10:08:24 |
| 17 development of the methodology at all phases in 10:05:27 | 17 quality of evidence piece, the rating the 10:08:28 |
| 18 its development. 10:05:29 | 18 quality of evidence piece. That's essentially 10:08:30 |
| 19 Q. Would you consider this article 10:05:30 | 19 rating how well -- how well we are able to 10:08:36 |
| 20 series an authoritative explanation of the 10:05:38 | 20 predict the effects of the tested intervention, 10:08:43 |
| 21 GRADE methodology? 10:05:42 | 21 correct? 10:08:46 |
| 22 A. Yes, sir. 10:05:43 | 22 A. Yes, sir, both the kind of 10:08:47 |
| 23 Q. All right. Turn to 402, and let's 10:05:43 | 23 magnitude of the effect and the certainty that 10:08:50 |
| 24 look at key points in the box up there. 10:05:51 | 24 that estimate is correct. 10:08:53 |
| 25 A. I am on 402, sir. 10:05:58 | 25 Q. Turn to page 404, please, and look 10:08:55 |

| | |
|---|--|
| Page 50 | Page 52 |
| 1 at Table 2. 10:09:05 | 1 Q. And you mentioned strength of 10:11:43 |
| 2 A. I am there, sir. 10:09:09 | 2 recommendation earlier. You would agree that 10:11:50 |
| 3 Q. Thank you. Is Table 2 -- well, 10:09:10 | 3 the quality of evidence informs the strength of 10:11:52 |
| 4 it's got in it quality levels high, moderate, 10:09:15 | 4 recommendation, correct? 10:11:57 |
| 5 low, and very low, correct? 10:09:18 | 5 A. That is correct, but it is but one 10:11:57 |
| 6 A. Correct, sir. 10:09:19 | 6 factor that informs the direction of the 10:12:00 |
| 7 Q. And in the GRADE methodology, you 10:09:21 | 7 strength of recommendations. 10:12:04 |
| 8 assign one of those quality levels to the piece 10:09:24 | 8 Q. Got it. Let's go to Table 3. So 10:12:05 |
| 9 of evidence, correct? 10:09:29 | 9 if I understand, it should be -- is it on your 10:12:11 |
| 10 A. The body of evidence, sir. 10:09:30 | 10 next page or is it at the bottom of the page 10:12:14 |
| 11 Q. And have you, yourself, ever done 10:09:34 | 11 you are looking at? 10:12:17 |
| 12 that exercise? 10:09:45 | 12 A. It's at the bottom of the page, 10:12:18 |
| 13 A. No, sir, I have not. 10:09:46 | 13 sir. 10:12:19 |
| 14 Q. And so high quality evidence means 10:09:48 | 14 Q. That's what I thought. Basic 10:12:19 |
| 15 essentially that there is a high level of 10:09:55 | 15 flowchart if you are applying the GRADE 10:12:20 |
| 16 confidence that the true effect of the 10:10:01 | 16 methodology is that you start with an initial 10:12:23 |
| 17 intervention lies close to the estimate of the 10:10:06 | 17 quality rating based on the methodology in the 10:12:26 |
| 18 effect of the intervention, correct? 10:10:09 | 18 body of evidence, correct? 10:12:32 |
| 19 A. You read that correctly, sir. 10:10:11 | 19 A. Yes, sir. 10:12:34 |
| 20 Q. And sort of in lay terms, that 10:10:12 | 20 Q. High if you are dealing with 10:12:37 |
| 21 means if the evidence tells us that the effect 10:10:15 | 21 randomized controlled trials, low if you are 10:12:38 |
| 22 of an intervention will be X, we are pretty 10:10:21 | 22 dealing with observational studies, right? 10:12:41 |
| 23 confident that it's going to be close to that, 10:10:26 | 23 A. Correct, sir. 10:12:43 |
| 24 right? 10:10:28 | 24 Q. But then you may lower the quality 10:12:43 |
| 25 A. Correct, sir. 10:10:28 | 25 rating based on any of five factors, correct? 10:12:48 |
| Page 51 | Page 53 |
| 1 Q. Then explain in your own terms 10:10:29 | 1 A. Correct, sir. 10:12:51 |
| 2 what sort of a moderate quality level of 10:10:36 | 2 Q. And you also may raise the quality 10:12:56 |
| 3 evidence means. 10:10:38 | 3 rating based on one of three factors, one or 10:13:01 |
| 4 A. So, sir, my general understanding 10:10:43 | 4 more of three factors, right? 10:13:05 |
| 5 is that there are qualitative differences in 10:10:46 | 5 A. Correct, sir. 10:13:06 |
| 6 the degree of certainty between the various 10:10:50 | 6 Q. And then once you have sort of 10:13:08 |
| 7 quality levels. 10:10:54 | 7 done all of that, you assign a quality rating 10:13:11 |
| 8 Q. And low means that the true effect 10:10:55 | 8 based on where you landed, right? 10:13:13 |
| 9 may be substantially different from the 10:11:01 | 9 A. Yes, sir. 10:13:15 |
| 10 estimate, correct? 10:11:04 | 10 Q. And so because of this process of 10:13:17 |
| 11 A. You read that correctly, sir. 10:11:05 | 11 upgrading and downgrading, randomized control 10:13:21 |
| 12 Q. And so essentially, if the 10:11:07 | 12 trials will not necessarily end up providing 10:13:27 |
| 13 estimate is a moderately beneficial effect, the 10:11:10 | 13 high quality evidence, correct? 10:13:29 |
| 14 reality might be a profound beneficial effect, 10:11:16 | 14 A. That is correct, sir. 10:13:30 |
| 15 correct? 10:11:20 | 15 Q. And observational studies will not 10:13:34 |
| 16 A. Yes, sir, the variation might be 10:11:20 | 16 necessarily end up providing low quality 10:13:36 |
| 17 either higher or lower. 10:11:26 | 17 evidence, correct? 10:13:38 |
| 18 Q. Right. Or it might be a no 10:11:27 | 18 A. Correct, it is possible for 10:13:39 |
| 19 effect, right? 10:11:30 | 19 observational studies to produce high quality 10:13:41 |
| 20 A. Correct, sir. 10:11:31 | 20 evidence. 10:13:44 |
| 21 Q. And then low is then even 10:11:31 | 21 THE WITNESS: Can we take a 10:13:58 |
| 22 qualitatively worse than that. We believe that 10:11:36 | 22 three-minute break, sir? 10:13:59 |
| 23 it's likely to be substantially different from 10:11:39 | 23 MR. FRAMPTON: Of course. Let's go 10:14:00 |
| 24 the estimate, correct? 10:11:42 | 24 off the record real quick. 10:14:00 |
| 25 A. Correct. 10:11:43 | 25 (Recess taken.) 10:14:02 |

| | | | |
|---------|---|---------|---|
| Page 54 | <p>1 (Thereupon, Exhibit 8, GRADE 10:14:02</p> <p>2 guidelines: 4. Rating the Quality of Evidence - 10:14:02</p> <p>3 Study Limitations (Risk of Bias), was marked for 10:14:02</p> <p>4 purposes of identification.) 10:19:40</p> <p>5 BY MR. FRAMPTON: 10:19:40</p> <p>6 Q. We are back on the record. 10:19:40</p> <p>7 Dr. Antommaria, I am handing you what I am 10:19:42</p> <p>8 marking as Defendants' Exhibit 8. Exhibit 8 is 10:19:43</p> <p>9 also from the Journal of Clinical Epidemiology. 10:20:00</p> <p>10 It is titled GRADE guidelines for Rating the 10:20:03</p> <p>11 Quality of Evidence - Study Limitations (Risk 10:20:03</p> <p>12 of Bias). Are you familiar with this one, 10:20:09</p> <p>13 Doctor? 10:20:11</p> <p>14 A. Yes, I am, sir. 10:20:11</p> <p>15 Q. All right. This is from that same 10:20:12</p> <p>16 series of GRADE guidelines we just looked at; 10:20:14</p> <p>17 is that right? 10:20:16</p> <p>18 A. It's in the same series as the 10:20:17</p> <p>19 previous exhibit, sir. 10:20:20</p> <p>20 Q. Thank you. All right. Turn to 10:20:21</p> <p>21 page 409, please. 10:20:28</p> <p>22 A. One moment. I am on page 409, 10:20:33</p> <p>23 sir. 10:20:38</p> <p>24 Q. All right. Bottom right-hand of 10:20:38</p> <p>25 the page, right above Table 2. 10:20:40</p> | Page 56 | <p>1 and randomized controlled trials in terms of 10:22:19</p> <p>2 the ability to infer causation so that simply 10:22:22</p> <p>3 having a comparison group might not be 10:22:27</p> <p>4 sufficient. 10:22:29</p> <p>5 Q. Necessary, but not sufficient? 10:22:31</p> <p>6 A. Yes, necessary, but not sufficient 10:22:35</p> <p>7 to -- well, so I would have to -- I would have 10:22:43</p> <p>8 to think about that, sir. 10:22:49</p> <p>9 Q. Well, still on 410 which we 10:22:50</p> <p>10 flipped to, the top left-hand corner, first 10:22:55</p> <p>11 full paragraph. Do you see where it says: To 10:23:00</p> <p>12 make inferences regarding intervention effects, 10:23:02</p> <p>13 case series must still refer to results in a 10:23:06</p> <p>14 comparison group? Did I read that correctly? 10:23:09</p> <p>15 A. Yes, sir. 10:23:13</p> <p>16 Q. Do you agree with that statement? 10:23:14</p> <p>17 A. I think referring to a comparison 10:23:16</p> <p>18 group is one way to make such inferences, sir. 10:23:29</p> <p>19 Q. Well, the sentence uses the word 10:23:32</p> <p>20 must, does it not? 10:23:39</p> <p>21 A. May I read the full paragraph, 10:23:42</p> <p>22 sir? 10:23:59</p> <p>23 Q. Of course. 10:24:00</p> <p>24 A. So, sir, I would agree that there 10:24:59</p> <p>25 needs to be a reference to a comparison group 10:25:01</p> |
| Page 55 | <p>1 A. Okay. 10:20:45</p> <p>2 Q. Do you see where it says: 10:20:45</p> <p>3 Ideally, observational studies will choose 10:20:47</p> <p>4 contemporaneous comparison groups that, as far 10:20:50</p> <p>5 as possible, differ from intervention groups 10:20:54</p> <p>6 only in the decision typically by patient or 10:20:59</p> <p>7 clinician not to use the intervention. Did I 10:21:01</p> <p>8 read that correctly? 10:21:03</p> <p>9 A. You did, sir. 10:21:04</p> <p>10 Q. Okay. And the idea they are 10:21:05</p> <p>11 getting at there is that when you are doing an 10:21:09</p> <p>12 observational study, it's best to include some 10:21:11</p> <p>13 kind of control or comparison group, right? 10:21:14</p> <p>14 A. Within the limitations of as far 10:21:16</p> <p>15 as possible and ideally, sir. 10:21:22</p> <p>16 Q. And why is that important? Why is 10:21:25</p> <p>17 a comparison group important? 10:21:32</p> <p>18 A. To be able to potentially 10:21:33</p> <p>19 differentiate the effects of the intervention 10:21:46</p> <p>20 from other effects in the environment. 10:21:50</p> <p>21 Q. Right. Difficult to infer 10:21:55</p> <p>22 causation on the part of the intervention 10:22:01</p> <p>23 without some kind of comparison group, correct? 10:22:05</p> <p>24 A. So it would be to say that there 10:22:07</p> <p>25 are differences between observational studies 10:22:16</p> | Page 57 | <p>1 but that those comparison groups might be the 10:25:03</p> <p>2 general population or historic controls. 10:25:06</p> <p>3 Q. And that generally should be 10:25:10</p> <p>4 explicit in a study if they are referencing a 10:25:13</p> <p>5 historic control or a general population, 10:25:16</p> <p>6 right? 10:25:18</p> <p>7 A. So the study would be stronger if 10:25:18</p> <p>8 those references were more explicit. 10:25:25</p> <p>9 Q. Turn back to 409. Let's look at 10:25:27</p> <p>10 Table 2. 10:25:36</p> <p>11 A. Yes, sir. 10:25:40</p> <p>12 Q. Do you agree generally that this 10:25:41</p> <p>13 table lists things that might cause a risk of 10:25:43</p> <p>14 bias in an observational study? 10:25:48</p> <p>15 A. So the table is entitled Study 10:25:51</p> <p>16 Limitations in Observational Studies. I don't 10:25:55</p> <p>17 necessarily know that all limitations result in 10:26:01</p> <p>18 a risk of bias. 10:26:06</p> <p>19 Q. Would you agree that a failure to 10:26:07</p> <p>20 adequately control confounding can create a 10:26:18</p> <p>21 risk of bias? 10:26:22</p> <p>22 A. So a failure to adequately control 10:26:23</p> <p>23 confounding is a potential study limitation, 10:26:32</p> <p>24 sir. 10:26:37</p> <p>25 Q. This article is about risk of 10:26:37</p> |

| | |
|---|--|
| Page 58 | Page 60 |
| 1 bias, so I am asking does that study limitation 10:26:42 | 1 to have information on cognitive behavioral 10:30:13 |
| 2 potentially create a risk of bias, as GRADE 10:26:45 | 2 therapy alone, correct? 10:30:14 |
| 3 uses that phrase? 10:26:47 | 3 A. You would have to have more 10:30:15 |
| 4 A. Again, I would have to review the 10:26:49 | 4 information about that patient population and 10:30:25 |
| 5 article in order to see. The table is not 10:26:59 | 5 their clinical course over time. 10:30:29 |
| 6 entitled Bias in Observational Studies. So I 10:27:01 | 6 Q. Would you need information about 10:30:30 |
| 7 am just uncertain as to why the authors have 10:27:05 | 7 the effect of cognitive behavioral therapy 10:30:34 |
| 8 chosen to title it in a different way. And so 10:27:09 | 8 alone? 10:30:36 |
| 9 I would just need -- in order to be certain, 10:27:12 | 9 A. Just so I answer your question 10:30:37 |
| 10 sir, I would need to review the article in 10:27:14 | 10 correctly, sir, can you repeat your question? 10:30:57 |
| 11 order to understand why they are shifting the 10:27:18 | 11 Q. Sure. Again, the example is if 10:30:59 |
| 12 terminology. That's my hesitation, sir. 10:27:21 | 12 you did a study of people with depression, you 10:31:02 |
| 13 Q. Can you tell me generally what 10:27:27 | 13 treated them with medication and therapy, found 10:31:05 |
| 14 risk of bias is within the GRADE methodology? 10:27:29 | 14 that over, say, 24 months they improved in some 10:31:10 |
| 15 A. So in reading the title, sir, and 10:27:33 | 15 form or fashion, you would not be able to 10:31:14 |
| 16 in reading the introduction, they are using 10:27:51 | 16 disentangle the effects of the medication 10:31:19 |
| 17 study limitations and risk of bias it appears 10:27:55 | 17 versus the therapy, would you? 10:31:21 |
| 18 synonymously. So the answer to your question 10:27:58 | 18 MR. CHEEK: Objection, form. 10:31:23 |
| 19 would be, yes, failure to adequately control 10:28:02 | 19 THE WITNESS: Again, it would depend 10:31:27 |
| 20 for confounding would be a potential source of 10:28:06 | 20 on what available evidence outside of that study 10:31:28 |
| 21 bias. 10:28:09 | 21 was available about that patient population. So 10:31:33 |
| 22 Q. And what are confounding factors? 10:28:10 | 22 if there was evidence that individuals who receive 10:31:39 |
| 23 What does that phrase mean? 10:28:14 | 23 cognitive behavioral therapy did not have 10:31:50 |
| 24 A. So a confounding factor would be a 10:28:16 | 24 sufficient remission in their symptoms, one might 10:31:54 |
| 25 unmeasured variable that would potentially 10:28:27 | 25 be able to then draw conclusions about the 10:31:57 |
| Page 59 | Page 61 |
| 1 influence the outcome. 10:28:30 | 1 efficacy of the pharmacological intervention. 10:32:00 |
| 2 Q. So, for example, if you were doing 10:28:30 | 2 BY MR. FRAMPTON: 10:32:04 |
| 3 a study on people with depression and one group 10:28:46 | 3 Q. And you are assuming if you had 10:32:04 |
| 4 received some kind of medication, SSRIs plus 10:28:53 | 4 evidence about people who had undergone 10:32:07 |
| 5 cognitive behavioral therapy and another group 10:29:00 | 5 cognitive behavioral therapy alone, correct? 10:32:11 |
| 6 just received cognitive behavioral therapy, you 10:29:03 | 6 MR. CHEEK: Objection, form. 10:32:15 |
| 7 wouldn't be able to determine -- I'm sorry, bad 10:29:08 | 7 THE WITNESS: Yes, evidence broadly 10:32:16 |
| 8 example, strike all of that. 10:29:12 | 8 understood. 10:32:23 |
| 9 One arm, one arm study. People 10:29:16 | 9 BY MR. FRAMPTON: 10:32:23 |
| 10 with depression, they receive both SSRIs and 10:29:18 | 10 Q. What does that mean? 10:32:26 |
| 11 cognitive behavioral therapy, and they improve 10:29:23 | 11 A. Well, we have talked about the 10:32:26 |
| 12 over time. You wouldn't be able to tell 10:29:26 | 12 variety of levels of evidence. One wouldn't 10:32:31 |
| 13 whether it was the medication or the therapy 10:29:29 | 13 need a randomized control trial of cognitive -- 10:32:36 |
| 14 that led to the improvement, correct? 10:29:32 | 14 a randomized placebo control trial of cognitive 10:32:40 |
| 15 MR. CHEEK: Objection, form. 10:29:34 | 15 behavioral therapy to potentially draw that 10:32:44 |
| 16 THE WITNESS: So, sir, we talked 10:29:38 | 16 inference. 10:32:46 |
| 17 previously about implicit controls. So it would 10:29:40 | 17 Q. But you would want more than 10:32:46 |
| 18 be -- it would depend on what implicit control 10:29:44 | 18 individual clinician experience, would you not? 10:32:49 |
| 19 there was and what data there was about the 10:29:49 | 19 A. That would be a form of evidence, 10:32:51 |
| 20 utility of cognitive behavioral therapy itself. 10:29:53 | 20 sir. 10:32:54 |
| 21 So one might be able to draw a conclusion, but it 10:30:00 | 21 Q. You would not want more than 10:32:54 |
| 22 would require more information about the entire 10:30:03 | 22 individual clinician experience? 10:32:57 |
| 23 body of evidence. 10:30:08 | 23 MR. CHEEK: Objection, form. 10:32:59 |
| 24 BY MR. FRAMPTON: 10:30:10 | 24 THE WITNESS: So as we discussed 10:33:02 |
| 25 Q. One way or another, you would have 10:30:10 | 25 earlier, sir, individual clinician experience is a 10:33:03 |

| | | | |
|---------|--|---------|--|
| Page 62 | <p>1 form of evidence. There is a large difference 10:33:06</p> <p>2 between making decisions in clinical practice in 10:33:12</p> <p>3 the real world and what ideally one might want as 10:33:16</p> <p>4 we have read in these guidelines, the use of 10:33:20</p> <p>5 ideally in their language. 10:33:26</p> <p>6 BY MR. FRAMPTON: 10:33:32</p> <p>7 Q. My question is would you want 10:33:32</p> <p>8 better evidence than clinician experience 10:33:34</p> <p>9 alone? 10:33:35</p> <p>10 A. Clinician experience alone might 10:33:38</p> <p>11 in the clinical context be the evidence that 10:33:41</p> <p>12 one had available and needed to make a clinical 10:33:45</p> <p>13 judgment. 10:33:49</p> <p>14 Q. So you would not necessarily want 10:33:50</p> <p>15 better evidence than that? 10:33:52</p> <p>16 A. One might always want higher 10:33:53</p> <p>17 quality evidence than lower quality evidence. 10:34:01</p> <p>18 But, unfortunately, that's not always available 10:34:04</p> <p>19 to clinicians. 10:34:06</p> <p>20 Q. What do you understand the phrase 10:34:06</p> <p>21 regression to the mean to mean? 10:34:18</p> <p>22 A. That if -- if a, say, cohort is 10:34:22</p> <p>23 followed over time and they have a parameter 10:34:33</p> <p>24 which is significantly different from the 10:34:38</p> <p>25 general population, that over time that 10:34:42</p> | Page 64 | <p>1 implicit controls is a reason a study might be 10:36:27</p> <p>2 legitimately downgraded in the GRADE 10:36:32</p> <p>3 methodology, correct? 10:36:36</p> <p>4 A. May I, sir? 10:36:36</p> <p>5 Q. Uh-huh. 10:36:41</p> <p>6 A. So I believe we made reference to 10:36:57</p> <p>7 Exhibit 7, Table 3. I think that that would be 10:37:00</p> <p>8 considered under a risk of bias and the result 10:37:07</p> <p>9 of potentially lowering the quality of 10:37:11</p> <p>10 evidence. 10:37:13</p> <p>11 Q. Tell me what is meant in the 10:37:14</p> <p>12 literature, the methodological literature, by 10:37:23</p> <p>13 lost to follow up. 10:37:28</p> <p>14 A. So in a observational study, one 10:37:32</p> <p>15 would develop a cohort of individuals and 10:37:36</p> <p>16 follow them over time. I think we discussed 10:37:40</p> <p>17 the Chen study. They developed a cohort of 10:37:47</p> <p>18 individuals and followed them over a period of 10:37:50</p> <p>19 two years. And lost to follow up would be 10:37:53</p> <p>20 individuals for whom data is not available at 10:38:00</p> <p>21 the end of that period of time. 10:38:03</p> <p>22 Q. And is that a study limitation, or 10:38:04</p> <p>23 at least a potential study limitation? 10:38:12</p> <p>24 A. So depending on the degree to 10:38:15</p> <p>25 which lost to follow up occurs, it can be a 10:38:21</p> |
| Page 63 | <p>1 parameter might move more toward the value in 10:34:45</p> <p>2 the general population. 10:34:49</p> <p>3 Q. And that -- let me ask you, have 10:34:58</p> <p>4 you ever looked at regression to the mean in 10:35:05</p> <p>5 the context of depression or anxiety or any 10:35:09</p> <p>6 similar mental health condition? 10:35:14</p> <p>7 A. I'm sorry, sir, I am not sure what 10:35:15</p> <p>8 you are asking. 10:35:21</p> <p>9 Q. Have you ever looked at anything 10:35:21</p> <p>10 looking -- have you ever looked at a study 10:35:23</p> <p>11 attempting to measure the extent to which 10:35:29</p> <p>12 regression to the mean affects results in 10:35:31</p> <p>13 studies on mental health? 10:35:35</p> <p>14 A. No, sir, I haven't investigated 10:35:37</p> <p>15 the extent to which that particular factor 10:35:44</p> <p>16 occurs over time. 10:35:48</p> <p>17 Q. Do you agree it's at least a 10:35:49</p> <p>18 potential confounder in studies on mental 10:35:56</p> <p>19 health? 10:35:59</p> <p>20 A. So, again, in terms of 10:36:00</p> <p>21 terminology, I don't know that I would describe 10:36:07</p> <p>22 it as a confounder. I would describe it as a 10:36:09</p> <p>23 potential study limitation or a risk of bias. 10:36:13</p> <p>24 Q. Fair. Going back to our earlier 10:36:15</p> <p>25 discussion, relying on what you have called 10:36:22</p> | Page 65 | <p>1 study limitation. 10:38:26</p> <p>2 Q. Right. And is that because we 10:38:28</p> <p>3 don't know if the group that was lost to follow 10:38:30</p> <p>4 up would have the same results as the group 10:38:34</p> <p>5 that we are still able to study? 10:38:36</p> <p>6 A. Yes, sir. 10:38:39</p> <p>7 Q. And if those groups had vastly 10:38:41</p> <p>8 different results, it would pretty seriously 10:38:52</p> <p>9 bias the study, correct? 10:38:55</p> <p>10 A. Again, it depends on the degree of 10:38:56</p> <p>11 the lost to follow up. Studies will at times 10:39:03</p> <p>12 make assumptions about individuals lost to 10:39:05</p> <p>13 follow up in their outcomes in order to 10:39:08</p> <p>14 potentially examine those implications. And if 10:39:10</p> <p>15 the lost to follow up is not large, it may have 10:39:15</p> <p>16 limited implications on the results of the 10:39:19</p> <p>17 study. 10:39:22</p> <p>18 Q. Right. There is only so much 10:39:22</p> <p>19 effect a 5 percent lost to follow up can have, 10:39:24</p> <p>20 right? 10:39:28</p> <p>21 A. Again, not wanting to be specific 10:39:29</p> <p>22 about particular percentages. But yes, a 10:39:31</p> <p>23 smaller lost to follow up would have a less 10:39:34</p> <p>24 effect potentially than a larger lost to follow 10:39:37</p> <p>25 up. 10:39:39</p> |

| | |
|--|---|
| <p style="text-align: right;">Page 66</p> <p>1 Q. Right. Larger than if, say, you 10:39:39 2 lost half of your participants, correct? 10:39:42 3 A. Correct. 10:39:44 4 Q. What about too short of a follow 10:39:44 5 up, how can that bias results? 10:39:55 6 A. So that's a difficult question to 10:39:56 7 answer because short is a relative term. Too 10:40:05 8 short relative to what, sir? 10:40:11 9 Q. To -- yeah, I know what you mean. 10:40:14 10 If, for example, you study a population for two 10:40:23 11 years and there are significant effects at five 10:40:28 12 years, you would miss those in the two-year 10:40:33 13 study, correct? 10:40:35 14 A. That is correct, sir. 10:40:36 15 Q. And so particularly in a medical 10:40:38 16 intervention that people are going to take, 10:40:46 17 people are going to undergo for the rest of 10:40:49 18 their life, you would want to make sure you are 10:40:51 19 studying it long enough to capture those 10:40:53 20 effects, correct? 10:40:55 21 A. You would want -- you would want 10:40:56 22 to study it in order to do what, sir? 10:41:05 23 Q. In order to understand what the 10:41:09 24 effects are going to be over the course of 10:41:11 25 someone's life. 10:41:13</p> | <p style="text-align: right;">Page 68</p> <p>1 Q. I mean, that depends on the risk 10:43:03 2 profile of the intervention we are talking 10:43:05 3 about, correct? 10:43:07 4 A. That's why short is a relative 10:43:09 5 term, sir. 10:43:12 6 Q. Are you familiar with the phrase, 10:43:13 7 I have seen it in the literature, quasi RCT? 10:43:22 8 Have you ever seen that? 10:43:25 9 A. I may have, sir. 10:43:25 10 Q. Do you have any understanding of 10:43:29 11 its meaning? 10:43:31 12 A. I can only speculate based on 10:43:32 13 those words, sir. There are increasingly novel 10:43:38 14 study designs that are utilized over time, but 10:43:49 15 I don't know that -- I am not aware that quasi 10:43:53 16 RCT is a specific study design, sir. 10:44:01 17 Q. In RCTs, is incomplete blinding a 10:44:05 18 risk of bias? 10:44:12 19 A. Yes, sir. 10:44:13 20 Q. That being said, there are plenty 10:44:17 21 of medical interventions out there for which 10:44:23 22 perfect blinding is not possible or practical, 10:44:26 23 correct? 10:44:30 24 A. So, again, plenty is a -- is an 10:44:30 25 indiscriminate term. There are some medical 10:44:43</p> |
| <p style="text-align: right;">Page 67</p> <p>1 A. So I will give an example of 10:41:19 2 vaccines. So once you give somebody a vaccine, 10:41:22 3 you cannot unvaccinate them. The COVID 10:41:27 4 vaccines were studied for a finite period of 10:41:34 5 time prior to FDA approval. There is 10:41:38 6 post-marketing surveillance to look at what 10:41:43 7 happens in a larger population of individuals 10:41:47 8 and for a longer period of time. But in that 10:41:51 9 case, even though the vaccine is going to be -- 10:41:56 10 in some ways be with individuals for the rest 10:42:01 11 of their lives, it wasn't necessary to study 10:42:03 12 the vaccines for 40 years prior or 70 years 10:42:07 13 prior to their approval. 10:42:13 14 Q. If we discovered in that follow up 10:42:14 15 that, say, 10 years after vaccination people 10:42:23 16 started experiencing significant adverse 10:42:27 17 effects, that would then start -- that would 10:42:30 18 then have implications for clinical decision 10:42:33 19 making going forward, would it not? 10:42:38 20 A. It would, sir. So it is to say 10:42:39 21 that is a reason to continue ongoing studies 10:42:46 22 but is not a reason that those studies need to 10:42:50 23 be completed before, say, FDA approval or 10:42:54 24 before a clinician is utilizing the 10:42:57 25 intervention. 10:43:03</p> | <p style="text-align: right;">Page 69</p> <p>1 interventions for which masking is difficult, 10:44:44 2 particularly surgical interventions. 10:44:48 3 Q. Have you ever -- have you ever 10:44:51 4 reviewed the literature on what percentage of 10:44:57 5 RCTs are not blinded? 10:44:59 6 A. I am not aware of a specific 10:45:00 7 number, sir. 10:45:06 8 Q. And when you are looking at 10:45:07 9 something that may present a risk of bias in 10:45:12 10 the GRADE guidelines, there is no requirement 10:45:18 11 that you downgrade simply because you have 10:45:20 12 identified that there might be a risk of bias, 10:45:24 13 correct? 10:45:27 14 MR. CHEEK: Objection. 10:45:27 15 BY MR. FRAMPTON: 10:45:28 16 Q. It's a judgment call as to how 10:45:28 17 serious the risk is? 10:45:29 18 MR. CHEEK: Objection, form. 10:45:30 19 BY MR. FRAMPTON: 10:45:33 20 Q. Is that correct? 10:45:33 21 A. Can you repeat your question just 10:45:33 22 so I have heard it correctly, sir? 10:45:37 23 Q. Absolutely. Within the GRADE 10:45:39 24 guidelines, if the assessor identifies a 10:45:41 25 potential risk of bias, there is then a 10:45:46</p> |

| | |
|---|--|
| Page 70 | Page 72 |
| 1 judgment call on behalf of the assessor as to 10:45:50 | 1 particular articles within that body of 10:50:16 |
| 2 whether it is serious enough to warrant 10:45:53 | 2 literature. 10:50:18 |
| 3 downgrading, correct? 10:45:56 | 3 Q. And do you -- so you are not aware 10:50:18 |
| 4 A. Yeah. So the GRADE guidelines are 10:45:58 | 4 as we sit here today the extent to which they 10:50:23 |
| 5 not a computer program that you put data in and 10:46:01 | 5 have found or not found that blinding makes a 10:50:26 |
| 6 a -- that there are judgments made by 10:46:08 | 6 difference? 10:50:30 |
| 7 individuals who are rating the quality of the 10:46:10 | 7 A. So I am generally aware that a 10:50:31 |
| 8 evidence. 10:46:11 | 8 failure to adequately mask an intervention does 10:50:35 |
| 9 Q. So an unblinded RCT is not 10:46:12 | 9 have -- make a difference, and that is part of 10:50:40 |
| 10 automatically downgraded, correct? 10:46:16 | 10 the reason why in general the GRADE guidelines 10:50:43 |
| 11 A. So an unblinded RCT is likely to 10:46:21 | 11 would see that as a potential source of bias 10:50:47 |
| 12 have a significant risk of bias, which would be 10:46:37 | 12 and a potential reason to lower the quality of 10:50:49 |
| 13 downgrading it by 1 to 2 points, so I think it 10:46:42 | 13 the evidence. 10:50:53 |
| 14 would be highly likely to be downgraded. 10:46:49 | 14 Q. It's not something that you have 10:50:53 |
| 15 Q. Is that your testimony, every 10:46:52 | 15 looked at for purposes of this case? 10:50:57 |
| 16 unblinded RCT gets downgraded at least one 10:46:55 | 16 A. Not to this point in time, sir. 10:51:00 |
| 17 level? 10:46:59 | 17 (Thereupon, Exhibit 9, Impact of 10:51:08 |
| 18 A. So, sir, you have moved from the 10:47:00 | 18 Blinding on Estimated Treatment Effects in 10:51:08 |
| 19 recommendations of the GRADE guidelines to an 10:47:09 | 19 Randomised Clinical Trials: Meta-Epidemiological 10:51:08 |
| 20 empirical claim about how they are applied in 10:47:15 | 20 Study, was marked for purposes of identification.) 10:51:08 |
| 21 practice, and I don't -- again, I am not 10:47:18 | 21 BY MR. FRAMPTON: 10:51:08 |
| 22 familiar with a study that has looked at how 10:47:24 | 22 Q. I show you what I will mark as 10:51:08 |
| 23 they have -- a systematic review of how they 10:47:30 | 23 Exhibit 9. What I am marking as Exhibit 9 is 10:51:10 |
| 24 have been applied in practice. 10:47:32 | 24 titled Impact of Blinding on Estimated 10:51:23 |
| 25 Q. You would agree that the GRADE 10:47:33 | 25 Treatment Effects and Randomized Clinical 10:51:24 |
| Page 71 | Page 73 |
| 1 guidelines do not rigidly say you must 10:47:37 | 1 Trials: Meta-Epidemiological Study. The lead 10:51:26 |
| 2 downgrade an unblinded RCT? 10:47:40 | 2 author is Helene Moustgaard. Dr. Antommara, 10:51:29 |
| 3 A. May I, sir? 10:47:45 | 3 is this a study that you are familiar with? 10:51:34 |
| 4 Q. Sure. 10:47:47 | 4 A. No, sir, it is not. 10:51:35 |
| 5 A. So, sir, I am on page 410 of 10:48:43 | 5 Q. Do you recognize any of the 10:51:37 |
| 6 Exhibit 8. And so it is -- every study 10:48:46 | 6 authors? 10:51:42 |
| 7 addressing a particular outcome will differ to 10:48:52 | 7 A. No, sir, I do not. 10:51:42 |
| 8 some degree in risk of bias. Review authors 10:48:55 | 8 Q. Do you recognize the journal? 10:51:49 |
| 9 and guideline developers must make an overall 10:48:57 | 9 A. Yes, sir; I do. 10:51:52 |
| 10 judgment considering all the evidence, whether 10:49:00 | 10 Q. What journal is it? 10:51:54 |
| 11 quality of evidence for an outcome warrants 10:49:04 | 11 A. It's published in the BMJ, sir. 10:51:55 |
| 12 rating down on the basis of study limitations. 10:49:07 | 12 Q. Is that a prestigious medical 10:51:58 |
| 13 So I take it that, again, this is 10:49:14 | 13 journal? 10:52:00 |
| 14 a general set of recommendations that are -- 10:49:18 | 14 A. May I look at the article, sir? 10:52:00 |
| 15 relied on judgment. So, no, it does not say 10:49:22 | 15 Q. Yeah. I am actually not going to 10:52:05 |
| 16 must, but it would not be clear to me that 10:49:32 | 16 ask you substantive questions about the 10:52:07 |
| 17 there are other things that do have the quality 10:49:34 | 17 article. So my question is simply whether the 10:52:08 |
| 18 of a must within the guidelines. 10:49:38 | 18 BMJ is a reputable article -- I mean, a 10:52:10 |
| 19 Q. Have you ever reviewed any 10:49:42 | 19 reputable journal? 10:52:14 |
| 20 literature or any meta-analyses studying 10:49:46 | 20 A. And all I am distinguishing, sir, 10:52:15 |
| 21 blinded versus nonblinded studies of the same 10:49:55 | 21 is there are a number of different journals 10:52:18 |
| 22 intervention to see if the effects are 10:49:59 | 22 within the BMJ publishing group, and I am just 10:52:21 |
| 23 different or see if the results are different? 10:50:02 | 23 ascertaining that this article was published in 10:52:26 |
| 24 A. So I am aware that that literature 10:50:08 | 24 the BMJ as opposed to another journal within 10:52:29 |
| 25 exists. I have not had reason to review 10:50:12 | 25 its family of -- 10:52:31 |

| Page 74 | Page 76 |
|--|--|
| <p>1 Q. Sure. 10:52:32</p> <p>2 A. -- journals. So yes, the BMJ is a 10:52:32</p> <p>3 high-impact medical journal. 10:52:40</p> <p>4 Q. Okay. And, I mean, you don't need 10:52:41</p> <p>5 to look at this further. I am just curious, as 10:52:43</p> <p>6 a general matter, what is a 10:52:45</p> <p>7 meta-epidemiological review? 10:52:47</p> <p>8 A. Sir, I am not familiar with that 10:52:49</p> <p>9 as a specific term of art. 10:53:00</p> <p>10 Q. Okay, fair enough. 10:53:03</p> <p>11 (Thereupon, Exhibit 10, GRADE 10:53:09</p> <p>12 guidelines: 5. Rating the Quality of Evidence - 10:53:09</p> <p>13 Publication Bias, was marked for purposes of 10:53:09</p> <p>14 identification.) 10:53:11</p> <p>15 BY MR. FRAMPTON: 10:53:11</p> <p>16 Q. I show you what I am going to mark 10:53:20</p> <p>17 as Defendants' Exhibit 10, still Journal of 10:53:21</p> <p>18 Clinical Epidemiology, GRADE Guidelines 5. Is 10:53:37</p> <p>19 this, Dr. Antommaria, an article in that same 10:53:40</p> <p>20 Journal of Clinical Epidemiology series on the 10:53:42</p> <p>21 GRADE guidelines that we were looking at 10:53:45</p> <p>22 earlier? 10:53:45</p> <p>23 A. Yes, sir. 10:53:46</p> <p>24 Q. And are you familiar with this 10:53:48</p> <p>25 one? 10:53:49</p> | <p>1 Netherlands, right? 10:55:40</p> <p>2 A. Yes, it is a particular clinic in 10:55:42</p> <p>3 the Netherlands that has an area of expertise 10:55:44</p> <p>4 in the treatment of individuals with gender 10:55:47</p> <p>5 dysphoria, and they have published a series of 10:55:49</p> <p>6 studies based on the patients that they have 10:55:52</p> <p>7 seen over time. 10:55:56</p> <p>8 Q. And they have been seeing patients 10:55:57</p> <p>9 since, like, the '70s; is that correct? 10:56:00</p> <p>10 A. I am aware that they have been 10:56:02</p> <p>11 seeing patients since at least the '90s. I 10:56:05</p> <p>12 can't speak to how much earlier they have 10:56:10</p> <p>13 seen -- when it was initially established, sir. 10:56:13</p> <p>14 Q. As you said, they have published a 10:56:15</p> <p>15 series of observational studies based on the 10:56:17</p> <p>16 data from their clinic, correct? 10:56:19</p> <p>17 A. They have at least published a 10:56:22</p> <p>18 series of observational studies on patients in 10:56:24</p> <p>19 their clinics. 10:56:27</p> <p>20 Q. And those studies are important 10:56:28</p> <p>21 pieces of the literature in the treatment of 10:56:31</p> <p>22 gender dysphoria? 10:56:34</p> <p>23 A. So, again, sir, you are speaking 10:56:35</p> <p>24 in general about some unspecified group of 10:56:40</p> <p>25 studies. But yes, a Dutch group has published 10:56:45</p> |
| <p>Page 75</p> <p>1 A. I am familiar with this one, sir. 10:53:51</p> <p>2 Q. And just tell me in general terms 10:53:56</p> <p>3 what publication bias is. 10:54:01</p> <p>4 A. Not all studies that are performed 10:54:05</p> <p>5 are published in the literature, and so 10:54:07</p> <p>6 publication bias would be the difference 10:54:11</p> <p>7 between what is published and the entire body 10:54:16</p> <p>8 of potential evidence. 10:54:21</p> <p>9 Q. And the concern is that positive 10:54:22</p> <p>10 results are more likely to be published than 10:54:35</p> <p>11 negative results; is that correct? 10:54:37</p> <p>12 A. That is one of the concerns, sir. 10:54:39</p> <p>13 Q. Are you familiar with the Dutch 10:54:41</p> <p>14 studies on people with gender dysphoria? 10:54:57</p> <p>15 A. I am familiar with some Dutch 10:55:02</p> <p>16 studies on treatment of individuals with gender 10:55:09</p> <p>17 dysphoria, sir. 10:55:12</p> <p>18 Q. Right. And if I understand, there 10:55:13</p> <p>19 is essentially -- it's performed out of Vrije 10:55:16</p> <p>20 University; is that correct? 10:55:20</p> <p>21 A. I don't recall that particular 10:55:23</p> <p>22 name of the university, sir. 10:55:27</p> <p>23 Q. The idea is this is a data set of 10:55:30</p> <p>24 people who sought care for some form of gender 10:55:32</p> <p>25 incongruence at a particular clinic in the 10:55:40</p> | <p>Page 77</p> <p>1 an important series of observational studies, 10:56:49</p> <p>2 particularly on adolescents with gender 10:56:54</p> <p>3 dysphoria. 10:56:58</p> <p>4 Q. And there is no way of knowing if 10:56:58</p> <p>5 the studies that they have published represent 10:57:00</p> <p>6 all or a fraction of the studies that they have 10:57:05</p> <p>7 conducted, is there? 10:57:07</p> <p>8 A. Presumably, there is a way of 10:57:08</p> <p>9 knowing. 10:57:11</p> <p>10 Q. Are you able to know the answer to 10:57:12</p> <p>11 that? 10:57:14</p> <p>12 A. I do not know the answer to that, 10:57:14</p> <p>13 sir. 10:57:17</p> <p>14 (Thereupon, Exhibit 11, GRADE 10:57:32</p> <p>15 guidelines 6. Rating the Quality of Evidence - 10:57:32</p> <p>16 Imprecision, was marked for purposes of 10:57:32</p> <p>17 identification.) 10:57:32</p> <p>18 BY MR. FRAMPTON: 10:57:32</p> <p>19 Q. I show you what I will mark as 10:57:32</p> <p>20 Defendants' Exhibit 11. All right. Exhibit 10:57:35</p> <p>21 11, published still in the Journal of Clinical 10:57:46</p> <p>22 Epidemiology, titled GRADE Guidelines 6. 10:57:51</p> <p>23 Rating the Quality of Evidence - Imprecision. 10:57:53</p> <p>24 And, Dr. Antommaria, this is -- this article is 10:57:58</p> <p>25 from that same series on the GRADE guidelines 10:58:00</p> |

| | |
|--|---|
| Page 78 | Page 80 |
| 1 from the Journal of Clinical Epidemiology, 10:58:03 | 1 would be a way to adjust the quality of the 11:00:50 |
| 2 correct? 10:58:06 | 2 evidence, given there is not a confidence 11:00:52 |
| 3 A. Correct, sir. 10:58:06 | 3 interval, sir. 11:00:56 |
| 4 Q. And you are familiar with it? 10:58:07 | 4 BY MR. FRAMPTON: 11:00:57 |
| 5 A. I am aware of it. I am less 10:58:08 | 5 Q. Right. You would assume that 11:00:57 |
| 6 familiar with it than some other articles in 10:58:13 | 6 there is at least some risk of imprecision, 11:00:59 |
| 7 the series, sir. 10:58:16 | 7 correct? 11:01:00 |
| 8 Q. Are you familiar generally with 10:58:17 | 8 A. Yes, sir. 11:01:01 |
| 9 the concept of imprecision as it is used in the 10:58:19 | 9 Q. Okay. Let's flip back to that 11:01:01 |
| 10 GRADE methodology? 10:58:23 | 10 Chen article. What exhibit number is it? 11:01:11 |
| 11 A. Yes, sir. 10:58:24 | 11 Exhibit 3. I will help you find it. I am 11:01:13 |
| 12 Q. And imprecision is one of the 10:58:26 | 12 sorry, you are going to have a -- you have got 11:01:17 |
| 13 factors that may warrant downgrading the 10:58:29 | 13 a bit of a stack going over there. 11:01:19 |
| 14 quality of evidence; is that right? 10:58:33 | 14 A. Okay. So I have Exhibit 3, sir. 11:01:25 |
| 15 A. May I refer to one of the other 10:58:34 | 15 Q. Thank you. Take a look through 11:01:27 |
| 16 articles, sir? 10:58:41 | 16 this. This was a study you are familiar with. 11:01:34 |
| 17 Q. Yeah. 10:58:42 | 17 There was not a control or comparison group in 11:01:36 |
| 18 A. So yes, sir; imprecision is one of 10:58:42 | 18 this study, was there? 11:01:38 |
| 19 the five categories for lowering the rating of 10:58:56 | 19 A. There was not an explicit control 11:01:41 |
| 20 the quality of evidence. 10:59:00 | 20 group, although the authors did some additional 11:01:48 |
| 21 Q. And sort of the basic idea is that 10:59:00 | 21 statistical analysis to potentially address 11:01:53 |
| 22 imprecision is when there is too much 10:59:06 | 22 issues of confounding. 11:01:56 |
| 23 variability around the estimated effect of the 10:59:09 | 23 Q. And what do you mean by that? 11:02:01 |
| 24 intervention to be confident in that estimate; 10:59:12 | 24 A. So in their methods, they say we 11:02:05 |
| 25 is that right? 10:59:16 | 25 also examined how initial levels and rates of 11:02:18 |
| Page 79 | Page 81 |
| 1 A. I think that's a reasonable 10:59:17 | 1 change in appearance congruence correlated with 11:02:21 |
| 2 summary, sir. 10:59:21 | 2 those of each psychosocial outcome. So I am on 11:02:24 |
| 3 Q. Look on page 1284 in the key 10:59:21 | 3 page 240 -- 11:02:39 |
| 4 points box on the top left-hand corner. 10:59:28 | 4 Q. I see it. 11:02:39 |
| 5 A. I am on 1284, sir. 10:59:38 | 5 A. -- in the methods in the last 11:02:40 |
| 6 Q. Thank you. The first bullet 10:59:39 | 6 sentence, sir. 11:02:41 |
| 7 reads: GRADE's primary criterion for judging 10:59:42 | 7 Q. I see it. So those are rates of 11:02:41 |
| 8 precision is to focus on the 95 percent 10:59:46 | 8 change in appearance congruence and 11:02:45 |
| 9 confidence interval, CI, around the difference 10:59:49 | 9 psychosocial outcomes are all things they 11:02:48 |
| 10 in effect between intervention and control for 10:59:52 | 10 measured for the study participants, correct? 11:02:50 |
| 11 each outcome. Did I read that correctly? 10:59:56 | 11 A. Yes, sir. 11:02:52 |
| 12 A. Yes, you did, sir. 10:59:58 | 12 Q. They weren't comparing that 11:02:54 |
| 13 Q. And you can't calculate a 95 11:00:05 | 13 against any kind of comparison or control 11:02:57 |
| 14 percent confidence interval around the 11:00:09 | 14 group, correct? 11:03:01 |
| 15 difference in effect between intervention and 11:00:11 | 15 A. No, sir. 11:03:01 |
| 16 control without a control, can you? 11:00:13 | 16 Q. Let's look at page 248. Every 11:03:02 |
| 17 A. You cannot, sir. 11:00:16 | 17 document has got page numbers in a different 11:03:10 |
| 18 Q. And so we are -- at least as the 11:00:18 | 18 place. These are at the bottom of the page. 11:03:13 |
| 19 GRADE methodology uses the term, we are not 11:00:26 | 19 A. I am on page 248, sir. 11:03:15 |
| 20 able to evaluate the risk of imprecision in 11:00:28 | 20 Q. Thank you. And, hey, there is not 11:03:17 |
| 21 studies that lack a control, are we? 11:00:32 | 21 a lot of text there, so that helps us find 11:03:19 |
| 22 MR. CHEEK: Objection, form. 11:00:38 | 22 where we are going. The first full sentence, 11:03:21 |
| 23 THE WITNESS: So I think you are able 11:00:39 | 23 do you see where it says: In addition, despite 11:03:24 |
| 24 to evaluate the risk of imprecision in that there 11:00:41 | 24 improvement across psychosocial outcomes on 11:03:27 |
| 25 is no measure of imprecision and, therefore, there 11:00:45 | 25 average, there was substantial variability 11:03:30 |

| | |
|--|--|
| <p style="text-align: right;">Page 82</p> <p>1 around the mean trajectory of change. Some 11:03:31 2 participants continued to report high levels of 11:03:35 3 depression and anxiety and low positive affect 11:03:39 4 in life satisfaction, despite the use of GAH. 11:03:44 5 Did I read that correctly? 11:03:48 6 A. You did, sir. 11:03:48 7 Q. Does that sentence suggest a 11:03:49 8 potential imprecision issue to you? 11:03:55 9 A. So I think that that sentence has 11:03:57 10 implications. I don't know, as you have said, 11:04:16 11 given that a measure of imprecision requires a 11:04:22 12 confidence interval that I would necessarily 11:04:26 13 frame it in the terms of imprecision, but I 11:04:29 14 think that that's a relevant finding of the 11:04:32 15 study. 11:04:36 16 Q. All right, okay. Would a better 11:04:36 17 term be heterogeneity in outcomes? 11:04:39 18 A. I think that is an alternative way 11:04:43 19 to describe it, sir. 11:04:48 20 Q. Let me just sort of back up for a 11:04:49 21 second. The common hormonal intervention for 11:04:54 22 natal males transitioning to female is 11:05:02 23 Estradiol plus anti-androgens; is that correct? 11:05:06 24 A. Is estrogen frequently accompanied 11:05:11 25 by an anti-androgen, yes, sir. 11:05:16</p> | <p style="text-align: right;">Page 84</p> <p>1 different, yes, sir. 11:06:20 2 Q. Would you agree that you can't 11:06:20 3 assume the effect of one on psychosocial 11:06:23 4 outcomes is the same as the effect of the 11:06:29 5 other? 11:06:31 6 MR. CHEEK: Objection, form. 11:06:36 7 THE WITNESS: I think that it would 11:06:44 8 be a reasonable hypothesis that the effect on one 11:06:45 9 patient population is different than the other, 11:06:49 10 and I think that that was something that Chen and 11:06:54 11 colleagues investigated. 11:06:57 12 BY MR. FRAMPTON: 11:06:58 13 Q. Right, and that was sort of part 11:06:58 14 of my question. That is why they separately 11:07:02 15 reported the effects on natal males and the 11:07:04 16 effects on natal females; is that correct? 11:07:09 17 A. I wouldn't describe it as 11:07:11 18 separately. They reported the results of the 11:07:12 19 cohort and then did subgroup analysis on those 11:07:16 20 two populations. 11:07:22 21 Q. And are you aware of studies 11:07:24 22 finding an association between positive mental 11:07:27 23 health metrics and -- and -- sort of on one 11:07:31 24 natal sex and not the other? 11:07:41 25 A. So I believe, in fact, Chen, when 11:07:42</p> |
| <p style="text-align: right;">Page 83</p> <p>1 Q. And the common hormonal 11:05:19 2 intervention for natal females transitioning to 11:05:23 3 male is testosterone; is that correct? 11:05:28 4 A. So I would use the language of 11:05:29 5 individuals' sex assigned at birth, but in 11:05:32 6 general, yes, sir. 11:05:34 7 Q. Do you understand what I mean if I 11:05:35 8 use the phrase natal male and natal female? 11:05:38 9 A. I do, sir. 11:05:40 10 Q. Okay. Those are estrogen plus 11:05:41 11 anti-androgens on the one hand, testosterone on 11:05:46 12 the other hand. Those are different 11:05:48 13 interventions, are they not? 11:05:50 14 A. They are different pharmacologic 11:05:51 15 agents, sir, yes. 11:05:57 16 Q. They have different effects on the 11:05:58 17 body? 11:06:00 18 A. They have some different effects 11:06:01 19 on the body, sir. 11:06:05 20 Q. One has a masculinizing effect, 11:06:06 21 one has a feminizing effect; is that correct? 11:06:09 22 A. That is correct. 11:06:11 23 Q. They have at least some different 11:06:11 24 side effects; is that correct? 11:06:15 25 A. Some of their side effects are 11:06:16</p> | <p style="text-align: right;">Page 85</p> <p>1 they did their subgroup analysis, found that 11:07:54 2 the effects were different in each of the 11:07:58 3 different subgroups. 11:08:03 4 Q. Was this one -- I am trying to 11:08:04 5 remember, was it positive -- association with 11:08:07 6 positive effects on natal females or natal 11:08:09 7 males, I should have it highlighted somewhere. 11:08:12 8 A. So I would have to review the 11:08:14 9 study, sir. 11:08:16 10 Q. Sure. 11:08:16 11 A. I do recall that that subgroup 11:08:16 12 analysis showed differences in the different 11:08:19 13 subgroups. 11:08:22 14 Q. Yeah, I'm sorry, I don't know why 11:08:22 15 this wasn't -- look at page 244, if you would, 11:08:36 16 bottom of the page under designated sex at 11:08:43 17 birth. Do you see where it says: Depression 11:08:46 18 and anxiety scores decreased among youth 11:08:54 19 designated female at birth but not among those 11:08:57 20 designated male at birth. Similarly, T scores 11:09:00 21 for life satisfaction increased among youth 11:09:02 22 designated female at birth but not among those 11:09:05 23 designated male at birth? Did I read that 11:09:08 24 correctly? 11:09:11 25 A. Yes, you did, sir. 11:09:11</p> |

| Page 86 | | Page 88 | | |
|---------|--|----------|---|----------|
| 1 | Q. And are you aware of any studies | 11:09:12 | 1 know about her? | 11:11:32 |
| 2 | finding the association essentially going the | 11:09:15 | 2 A. Dr. de Vries is a member of what's | 11:11:33 |
| 3 | other way, positive associations for natal | 11:09:18 | 3 colloquially referred to as the Dutch group. | 11:11:38 |
| 4 | males but not natal females? | 11:09:22 | 4 Q. She has been publishing on | 11:11:41 |
| 5 | A. So it is common for studies to do | 11:09:24 | 5 transgender care for a very long time, right? | 11:11:46 |
| 6 | subgroup analysis on the different outcomes, | 11:09:31 | 6 Well, for a few decades? | 11:11:49 |
| 7 | including the studies by the Dutch team. But I | 11:09:35 | 7 A. For several decades, yes, sir. | 11:11:51 |
| 8 | don't recall off the top of my head whether | 11:09:44 | 8 Q. Be more precise. Look on page 276 | 11:11:53 |
| 9 | there has been any systematic review that | 11:09:46 | 9 if you would, second full paragraph. Drs. de | 11:12:17 |
| 10 | summarizes those results of subgroup analysis | 11:09:51 | 10 Vries and Hannema state: Although overall | 11:12:17 |
| 11 | across the variety of outcomes. | 11:09:54 | 11 psychological functioning in the study | 11:12:17 |
| 12 | Q. Sure, all right. | 11:09:56 | 12 participants improved, there was substantial | 11:12:43 |
| 13 | MR. FRAMPTON: Let's go to what I am | 11:09:56 | 13 variation among participants; a considerable | 11:12:43 |
| 14 | going to mark as Exhibit -- maybe I am on -- | 11:09:56 | 14 number still had depression, anxiety, or both | 11:12:47 |
| 15 | MR. WILKINSON: 12. | 11:09:56 | 15 at 24 months, and two died by suicide. Did I | 11:12:50 |
| 16 | MR. FRAMPTON: -- 12. I was going to | 11:09:56 | 16 read that correctly? | 11:12:52 |
| 17 | get it right. It's still early in the day. | 11:09:59 | 17 A. You did, sir. | 11:12:53 |
| 18 | (Thereupon, Exhibit 12, Growing | 11:09:59 | 18 Q. And is that just like we were | 11:12:54 |
| 19 | Evidence and Remaining Questions in Adolescent | 11:09:59 | 19 speaking earlier commenting on the | 11:12:57 |
| 20 | Transgender Care, was marked for purposes of | 11:09:59 | 20 heterogeneity in the data reported by Dr. Chen | 11:13:00 |
| 21 | identification.) | 11:10:00 | 21 and her colleagues? | 11:13:04 |
| 22 | BY MR. FRAMPTON: | 11:10:00 | 22 A. In part, sir, yes. | 11:13:05 |
| 23 | Q. All right. And what I am handing | 11:10:15 | 23 Q. And in other parts? | 11:13:07 |
| 24 | you, Dr. Antommara, is a piece titled Growing | 11:10:16 | 24 A. They are not only commenting on | 11:13:11 |
| 25 | Evidence and Remaining Questions in Adolescent | 11:10:20 | 25 the variability, but they state a considerable | 11:13:15 |
| Page 87 | | Page 89 | | |
| 1 | Transgender Care. The lead author is Annelou | 11:10:25 | 1 number still had depression and anxiety, sir. | 11:13:19 |
| 2 | de Vries, published in the New England Journal | 11:10:31 | 2 Q. Sure. A little further down they | 11:13:21 |
| 3 | of Medicine, January 19th, 2023. Do you -- | 11:10:32 | 3 say: However, other possible determinants of | 11:13:30 |
| 4 | it's a short piece, Dr. Antommara. Do you | 11:10:41 | 4 outcomes were not reported, particularly the | 11:13:38 |
| 5 | recognize it? | 11:10:43 | 5 extent of mental health care provided | 11:13:39 |
| 6 | A. I do, sir. | 11:10:43 | 6 throughout GAH treatment. Did I read that | 11:13:42 |
| 7 | Q. You do? You have read this | 11:10:44 | 7 correctly? | 11:13:47 |
| 8 | before? | 11:10:46 | 8 A. You did, sir. | 11:13:47 |
| 9 | A. I have, sir. | 11:10:46 | 9 Q. And help me understand, is there | 11:13:48 |
| 10 | Q. And is this a -- sort of an | 11:10:47 | 10 concern that the -- | 11:13:52 |
| 11 | editorial comment on the Chen paper that we | 11:10:51 | 11 A. Sir, may I read the full paragraph | 11:13:57 |
| 12 | just looked at? | 11:10:53 | 12 before you ask your question -- | 11:13:59 |
| 13 | A. As the heading states, it was | 11:10:53 | 13 Q. Oh, of course. | 11:14:01 |
| 14 | published as an editorial. And the first | 11:10:57 | 14 A. -- so I am prepared to answer? | 11:14:03 |
| 15 | sentence of the article is this week in the | 11:11:01 | 15 Q. Sure. | 11:14:05 |
| 16 | Journal, a much awaited primary report from | 11:11:04 | 16 A. Thank you, sir. Please go ahead. | 11:14:39 |
| 17 | Chen, et al. And so yes, it's an editorial on | 11:11:07 | 17 Q. Sure. Is the concern that they | 11:14:40 |
| 18 | Chen's study. | 11:11:11 | 18 are expressing that the mental health care | 11:14:44 |
| 19 | Q. And are you familiar with these | 11:11:11 | 19 provided throughout the GAH treatment could be | 11:14:50 |
| 20 | researchers, Drs. de Vries and Hannema? | 11:11:15 | 20 affecting or confounding the results? | 11:14:55 |
| 21 | A. So I am most familiar with Dr. de | 11:11:21 | 21 A. So the sentence that you didn't | 11:14:59 |
| 22 | Vries and less so with Dr. -- if it's | 11:11:23 | 22 read, sir, was that the correlation between | 11:15:04 |
| 23 | pronounced Hannema. | 11:11:25 | 23 appearance congruence and various | 11:15:06 |
| 24 | Q. I am guessing, too. What's your | 11:11:26 | 24 psychological-outcome variables suggests an | 11:15:10 |
| 25 | familiarity with Dr. de Vries? What do you | 11:11:29 | 25 important mediating role of GAH in consequent | 11:15:12 |

| | |
|--|--|
| <p style="text-align: right;">Page 90</p> <p>1 body changes. So Chen and colleagues, as I had 11:15:17 2 mentioned previously, did attempt to control 11:15:23 3 for confounders, and their analysis suggested 11:15:25 4 that the GAH and consequent body changes are 11:15:29 5 responsible for the psychological outcomes. 11:15:36 6 But they do then subsequently go on to 11:15:41 7 highlight a concern about a lack of information 11:15:43 8 about the mental health care that the 11:15:49 9 participants received and the way that that 11:15:53 10 might influence the outcome. 11:15:56 11 Q. And the idea is that the mental 11:15:56 12 health care provided could be confounding the 11:15:59 13 outcome, correct? 11:16:03 14 A. That the mental health could be 11:16:03 15 contributing to the outcome, yes, sir. 11:16:08 16 Q. Right, it could be responsible for 11:16:09 17 some of the improvement? 11:16:11 18 A. Again, the investigators made 11:16:12 19 efforts to identify whether the GAH was 11:16:19 20 responsible for the outcomes and provide 11:16:26 21 evidence that it was responsible for the 11:16:28 22 outcomes. But yes, they did not control for 11:16:30 23 the mental health care provided. 11:16:35 24 Q. And Dr. de Vries is raising that 11:16:38 25 as a potential confounder, right? 11:16:41</p> | <p style="text-align: right;">Page 92</p> <p>1 if that's all right. So, sir, it's not clear 11:18:31 2 to me from the paragraph what she means by 11:19:09 3 different care models. 11:19:12 4 (Thereupon, Exhibit 13, GRADE 11:19:12 5 guidelines: 7. Rating the Quality of Evidence - 11:19:12 6 Inconsistency, was marked for purposes of 11:19:12 7 identification.) 11:19:38 8 BY MR. FRAMPTON: 11:19:38 9 Q. I show you what I am marking as 11:19:46 10 Exhibit 13. The Journal of Clinical 11:19:48 11 Epidemiology, GRADE Guidelines: 7. Rating the 11:20:07 12 Quality of Evidence - Inconsistency. This 11:20:08 13 article, Exhibit 13, Dr. Antommara, is from 11:20:12 14 that same Journal of Clinical Epidemiology 11:20:16 15 series on the GRADE guidelines, correct? 11:20:18 16 A. Correct, sir. 11:20:21 17 Q. And you are familiar with it? 11:20:22 18 A. I am, sir. 11:20:23 19 Q. All right. Inconsistency is one 11:20:24 20 of the factors one might use to downgrade a 11:20:29 21 body of evidence under the GRADE guidelines; is 11:20:32 22 that right? 11:20:34 23 A. That is correct, sir. 11:20:34 24 Q. And the basic idea is that studies 11:20:35 25 within the body of relevant evidence are 11:20:43</p> |
| <p style="text-align: right;">Page 91</p> <p>1 A. Dr. De Vries is quote -- is 11:16:44 2 recommending, quote, future studies that 11:16:52 3 compare outcomes with different care models are 11:16:54 4 needed, preferably using similar measures, sir. 11:16:56 5 Q. My question was she is raising the 11:16:59 6 provision of mental health care as a potential 11:17:03 7 confounder, right? 11:17:06 8 A. I think that that's one potential 11:17:06 9 interpretation of what she is saying, sir. 11:17:14 10 Q. Is it how you read it? 11:17:16 11 A. I think that she is suggesting 11:17:18 12 that in future studies, methods that compare 11:17:22 13 outcomes with different care models are needed. 11:17:28 14 I think that's what she states. She is not 11:17:30 15 making an explicit claim, sir, about 11:17:33 16 confounders. 11:17:37 17 Q. She is calling mental health care 11:17:38 18 a possible determinant of outcomes, right? 11:17:41 19 A. Yes, sir. 11:17:43 20 Q. What do you think she means by 11:17:50 21 different care models? 11:18:23 22 MR. CHEEK: Objection, speculation. 11:18:24 23 BY MR. FRAMPTON: 11:18:25 24 Q. Or do you know? 11:18:29 25 A. I am rereading the paragraph, sir, 11:18:29</p> | <p style="text-align: right;">Page 93</p> <p>1 reporting meaningfully different outcomes, 11:20:46 2 right? 11:20:48 3 A. Yes, sir. Whereas uncertainty is 11:20:48 4 within a individual study, inconsistency is a 11:20:54 5 cross study. 11:20:59 6 Q. So the basic idea is if some 11:21:00 7 studies suggest that a particular intervention 11:21:13 8 is effective and some suggest that it has no 11:21:15 9 benefit, that would raise concerns about 11:21:17 10 inconsistency, right? 11:21:19 11 A. Can you repeat the question just 11:21:20 12 so I understand it? 11:21:23 13 Q. Sure. Some studies suggest that 11:21:24 14 an intervention has benefit and some suggest it 11:21:26 15 has no benefit, that would raise concerns about 11:21:30 16 inconsistency, correct? 11:21:32 17 A. Correct, sir. 11:21:33 18 Q. And as we talked about in the way 11:21:34 19 that studies in the gender medicine area often 11:21:41 20 do subgroup analyses among birth sex, if you 11:21:47 21 have got some studies suggesting benefit among 11:21:51 22 natal males but not females and others 11:21:55 23 suggesting benefit among natal females but not 11:21:58 24 males, that would also raise concerns about 11:22:02 25 inconsistency, would it not? 11:22:04</p> |

| | |
|---|---|
| Page 94 | Page 96 |
| 1 MR. CHEEK: Objection, form. 11:22:06 | 1 A. In general, sir. 11:24:15 |
| 2 THE WITNESS: If that were, in fact, 11:22:07 | 2 (Thereupon, Exhibit 15, The Cass 11:24:26 |
| 3 the case, sir. I don't know that that is an 11:22:09 | 3 Review, was marked for purposes of 11:24:26 |
| 4 accurate representation of the literature. 11:22:11 | 4 identification.) 11:24:26 |
| 5 BY MR. FRAMPTON: 11:22:13 | 5 BY MR. FRAMPTON: 11:24:26 |
| 6 Q. Right. But if it were, that would 11:22:14 | 6 Q. I am going to hand you what I am 11:24:42 |
| 7 raise inconsistency concerns? 11:22:16 | 7 marking as Exhibit 15, with apologies for the 11:24:43 |
| 8 A. If it were, sir, yes, it would. 11:22:18 | 8 size. You can blame Dr. Cass, not me. What I 11:24:54 |
| 9 Q. And have you done a sort of 11:22:21 | 9 am handing you, Dr. Antommara, is titled the 11:25:03 |
| 10 systematic assessment of the literature to 11:22:23 | 10 Cass Review, Independent Review of Gender 11:25:06 |
| 11 evaluate whether that is, in fact, what the 11:22:25 | 11 Identity Services For Children and Young 11:25:12 |
| 12 literature shows? 11:22:28 | 12 People, Interim Report, February 2022. I 11:25:12 |
| 13 MR. CHEEK: Objection, form. 11:22:30 | 13 assume you are familiar with this document? 11:25:14 |
| 14 THE WITNESS: I have not conducted a 11:22:32 | 14 A. I am familiar with it, sir. 11:25:16 |
| 15 systematic review of the literature focusing on 11:22:34 | 15 Q. Okay. What do you know about 11:25:18 |
| 16 that question, sir. 11:22:38 | 16 Dr. Cass? 11:25:28 |
| 17 (Thereupon, Exhibit 14, GRADE 11:22:47 | 17 A. I generally know that Dr. Cass is 11:25:29 |
| 18 guidelines: 8. Rating the Quality of Evidence - 11:22:47 | 18 a British pediatrician. 11:25:33 |
| 19 Indirectness, was marked for purposes of 11:22:47 | 19 Q. Is it your understanding that she 11:25:35 |
| 20 identification.) 11:22:49 | 20 has been commissioned by the British government 11:25:50 |
| 21 BY MR. FRAMPTON: 11:22:49 | 21 to review the provision of care for children 11:25:56 |
| 22 Q. I hand you what I am marking as 11:22:56 | 22 and young people with gender dysphoria by the 11:26:01 |
| 23 Exhibit 14, still Journal of Clinical 11:22:58 | 23 National Health Service? 11:26:04 |
| 24 Epidemiology, GRADE Guidelines: 8. Rating the 11:23:03 | 24 A. I believe that she chairs a 11:26:05 |
| 25 Quality of Evidence - Indirectness. And, 11:23:05 | 25 review -- 11:26:13 |
| Page 95 | Page 97 |
| 1 Dr. Antommara, Exhibit 14 is a article from 11:23:10 | 1 Q. Right. 11:26:15 |
| 2 the same GRADE guidelines series we have been 11:23:12 | 2 A. -- that is reviewing that topic, 11:26:15 |
| 3 looking at in the Journal of Clinical 11:23:15 | 3 sir. 11:26:17 |
| 4 Epidemiology; is that right? 11:23:18 | 4 Q. Turn with me if you would -- well, 11:26:19 |
| 5 A. That is correct, sir. 11:23:19 | 5 actually, before we do that, do you in your 11:26:25 |
| 6 Q. And one of the factors that may 11:23:19 | 6 clinical practice initiate treatment for 11:26:29 |
| 7 warrant downgrading a body of evidence is 11:23:24 | 7 central precocious puberty? 11:26:32 |
| 8 indirectness, correct? 11:23:27 | 8 A. No, I do not, sir. 11:26:36 |
| 9 A. Yes, sir. 11:23:31 | 9 Q. Is that typically done by an 11:26:37 |
| 10 Q. And one form of indirectness is 11:23:31 | 10 endocrinologist? 11:26:39 |
| 11 differences between the population that you are 11:23:34 | 11 A. That would generally be done by an 11:26:40 |
| 12 interested in and the population that was 11:23:37 | 12 endocrinologist, sir. 11:26:43 |
| 13 studied in the body of evidence, correct? 11:23:42 | 13 Q. And do you in your clinical 11:26:44 |
| 14 A. I might say the population that 11:23:44 | 14 practice make the diagnosis of central 11:26:49 |
| 15 you are treating as opposed to the -- you are 11:23:51 | 15 precocious puberty? 11:26:52 |
| 16 interested in. But yes, if you are considering 11:23:52 | 16 A. I might have reason to suspect a 11:26:55 |
| 17 treating a patient, you would be concerned 11:23:56 | 17 patient has central precocious puberty but 11:26:57 |
| 18 about differences between that patient's 11:23:59 | 18 would generally refer to another provider to 11:27:01 |
| 19 characteristics and the participants in the 11:24:01 | 19 confirm that diagnosis and initiate treatment, 11:27:05 |
| 20 study, sir. 11:24:03 | 20 sir. 11:27:07 |
| 21 Q. Right. The basic idea being that 11:24:05 | 21 Q. Got it. Would you generally refer 11:27:07 |
| 22 you want to be careful about assuming that the 11:24:07 | 22 to a pediatric endocrinologist? 11:27:09 |
| 23 effects of an intervention on one population 11:24:10 | 23 A. I would, sir. 11:27:11 |
| 24 will be the same as on a different population, 11:24:12 | 24 Q. Do you know, I am sure you do as a 11:27:12 |
| 25 correct? 11:24:15 | 25 pediatrician, sort of the typical normal ages 11:27:19 |

| | | | |
|---------|--|----------|---|
| Page 98 | <p>1 for initiation of puberty in natal boys? Is 11:27:23</p> <p>2 there a typical age range? 11:27:28</p> <p>3 A. I believe that central precocious 11:27:30</p> <p>4 puberty would be defined as beginning puberty 11:27:35</p> <p>5 before 10 years of age in an individual. So I 11:27:39</p> <p>6 would have to look -- it's somewhere between 8 11:27:42</p> <p>7 and 10 years of age in individuals who are 11:27:49</p> <p>8 assigned male at birth. 11:27:51</p> <p>9 Q. So before 8 to 10 years or -- 11:27:52</p> <p>10 A. Before 8 to 10 years would be 11:27:56</p> <p>11 considered precocious. And I would have to 11:27:59</p> <p>12 look to refresh my memory about what specific 11:28:01</p> <p>13 age it is, sir. 11:28:05</p> <p>14 Q. It would be slightly younger for 11:28:06</p> <p>15 natal females? 11:28:09</p> <p>16 A. Yes, for -- individuals who are 11:28:09</p> <p>17 assigned female at birth typically begin 11:28:11</p> <p>18 puberty earlier than individuals assigned male 11:28:15</p> <p>19 at birth. 11:28:18</p> <p>20 Q. Turn to page 63 of the Cass 11:28:19</p> <p>21 Review, if you would. Let's look at the second 11:28:23</p> <p>22 sentence in 5.23 where she says, or the 11:28:46</p> <p>23 reviewers say: Again, it is important that it 11:28:51</p> <p>24 is not assumed that outcomes for, and side 11:28:53</p> <p>25 effects -- 11:28:56</p> | Page 100 | <p>1 in children or young people with gender 11:29:39</p> <p>2 dysphoria. Now did I read it correctly? 11:29:42</p> <p>3 A. I believe you did, sir. 11:29:45</p> <p>4 Q. Do you agree with the authors on 11:29:49</p> <p>5 that? 11:29:55</p> <p>6 A. May I read the whole paragraph, 11:29:55</p> <p>7 sir? 11:29:59</p> <p>8 Q. Sure. 11:30:00</p> <p>9 A. All right. And then would you 11:31:04</p> <p>10 repeat your question, sir? 11:31:05</p> <p>11 Q. Do you agree with Dr. -- or the 11:31:07</p> <p>12 author's statement that I read into the record? 11:31:09</p> <p>13 A. So, again, it's difficult to 11:31:11</p> <p>14 interpret a sentence outside of its larger 11:31:20</p> <p>15 context. But I would agree that it is 11:31:23</p> <p>16 important to be open to the possibility that 11:31:26</p> <p>17 outcomes and side effects in one population may 11:31:30</p> <p>18 be different than outcomes inside of a 11:31:35</p> <p>19 different population. 11:31:38</p> <p>20 Q. You would agree that you are 11:31:39</p> <p>21 generally not going to initiate puberty 11:31:42</p> <p>22 suppression for central precocious puberty in a 11:31:46</p> <p>23 12-year-old natal female, correct? 11:31:51</p> <p>24 A. So, in general, a 12-year-old who 11:31:58</p> <p>25 is not -- would not fulfill the diagnostic 11:32:03</p> |
| Page 99 | <p>1 A. Hang on. 11:28:56</p> <p>2 Q. I'm sorry, are we in the wrong 11:28:57</p> <p>3 place? 11:28:59</p> <p>4 A. No, no, no, you are just not 11:28:59</p> <p>5 starting at the beginning, and I needed to find 11:29:01</p> <p>6 where you were, sir. 11:29:02</p> <p>7 Q. That's fine. 11:29:03</p> <p>8 A. Okay, please. 11:29:04</p> <p>9 Q. Again, it is important that it is 11:29:04</p> <p>10 not assumed that outcomes for, and side effects 11:29:06</p> <p>11 in, children treated for central precocious 11:29:09</p> <p>12 puberty will necessarily be the same in young 11:29:12</p> <p>13 people with gender dysphoria. Did I read that 11:29:15</p> <p>14 correctly? 11:29:17</p> <p>15 MR. CHEEK: I am going to object. 11:29:18</p> <p>16 You did not read that correctly. 11:29:19</p> <p>17 MR. FRAMPTON: Oh, I didn't? 11:29:20</p> <p>18 MR. CHEEK: Correct. 11:29:21</p> <p>19 MR. FRAMPTON: I am going to try it 11:29:22</p> <p>20 again. 11:29:23</p> <p>21 BY MR. FRAMPTON: 11:29:23</p> <p>22 Q. Starting it over. Again, it is 11:29:24</p> <p>23 important that it is not assumed that outcomes 11:29:27</p> <p>24 for, and side effects in, children treated for 11:29:31</p> <p>25 precocious puberty will necessarily be the same 11:29:35</p> | Page 101 | <p>1 criteria for central precocious puberty. 11:32:08</p> <p>2 Q. You would potentially, depending 11:32:11</p> <p>3 on the assessment and all of that kind of 11:32:15</p> <p>4 stuff, initiate puberty suppression in a natal 11:32:17</p> <p>5 female at age 12 for gender dysphoria, correct? 11:32:22</p> <p>6 A. And, again, would you repeat your 11:32:25</p> <p>7 question, sir? 11:32:38</p> <p>8 Q. Sure. Provided appropriate 11:32:40</p> <p>9 assessments and criteria were fulfilled, you 11:32:42</p> <p>10 may initiate puberty suppression in a 11:32:45</p> <p>11 12-year-old natal female for gender dysphoria, 11:32:49</p> <p>12 correct? 11:32:51</p> <p>13 A. You may, sir. 11:32:51</p> <p>14 Q. And you would -- in the child with 11:32:53</p> <p>15 gender dysphoria, you would continue puberty 11:33:06</p> <p>16 suppression until the child either decided to 11:33:08</p> <p>17 discontinue or was ready to go to hormonal 11:33:11</p> <p>18 interventions, correct? 11:33:17</p> <p>19 A. You would not continue them 11:33:18</p> <p>20 indefinitely and would need to at some point 11:33:21</p> <p>21 reach a decision to discontinue them or to 11:33:24</p> <p>22 begin gender affirming hormone therapy, yes. 11:33:27</p> <p>23 Q. With central precocious puberty, 11:33:31</p> <p>24 you would generally discontinue the treatment 11:33:39</p> <p>25 when the child reached an age appropriate for 11:33:42</p> |

| | |
|--|--|
| <p style="text-align: right;">Page 102</p> <p>1 puberty, correct? 11:33:45</p> <p>2 A. At an age that was consistent with 11:33:46</p> <p>3 statistical population norms, yes. 11:33:51</p> <p>4 Q. And I believe what you have said 11:33:54</p> <p>5 in other forums is that you would not -- you 11:33:59</p> <p>6 would not initiate puberty suppression to treat 11:34:05</p> <p>7 gender dysphoria in a child that had not at 11:34:09</p> <p>8 least reached Tanner Stage 2, correct? 11:34:11</p> <p>9 A. So those are the recommendations 11:34:14</p> <p>10 or the clinical practice guidelines for the 11:34:18</p> <p>11 field, and I wouldn't have reason to believe 11:34:19</p> <p>12 that I contradicted them in some other forum. 11:34:25</p> <p>13 Q. Sure. And Tanner Stage 2 means 11:34:29</p> <p>14 the child has actually started puberty, 11:34:31</p> <p>15 correct? 11:34:32</p> <p>16 A. Correct. 11:34:33</p> <p>17 Q. Let's stick with Dr. Cass for a 11:34:33</p> <p>18 minute. Move to page 32, if you would. 11:34:56</p> <p>19 A. Yes, sir. 11:35:07</p> <p>20 Q. Looking at 3.10: In the last few 11:35:07</p> <p>21 years, there has been a significant change in 11:35:20</p> <p>22 the numbers and case-mix of children and young 11:35:22</p> <p>23 people being referred to GIDS. From a baseline 11:35:24</p> <p>24 of approximately 50 referrals per annum in 11:35:28</p> <p>25 2009, there was a steep increase from 2014-15, 11:35:32</p> | <p style="text-align: right;">Page 104</p> <p>1 established, sir. But I don't think that 11:37:11</p> <p>2 that's fundamentally different than some of the 11:37:12</p> <p>3 changes in the epidemiology of other 11:37:15</p> <p>4 conditions, such as autism or Type 1 diabetes. 11:37:18</p> <p>5 Q. And we don't know why those are 11:37:21</p> <p>6 increasing, either, do we? 11:37:32</p> <p>7 A. We do not, sir. 11:37:33</p> <p>8 Q. And that raises indirectness 11:37:34</p> <p>9 issues, does it not, if we have got an 11:37:36</p> <p>10 increase, a new population, we don't really 11:37:37</p> <p>11 understand why? 11:37:40</p> <p>12 A. I don't believe, sir, that it 11:37:41</p> <p>13 necessarily -- that an increasing population 11:37:44</p> <p>14 necessarily raises indirectness issues, sir. 11:37:47</p> <p>15 Q. You think we can just assume that 11:37:51</p> <p>16 this increased population will have the same 11:37:55</p> <p>17 outcomes as the prior much smaller population? 11:37:58</p> <p>18 MR. CHEEK: Objection, form. 11:38:02</p> <p>19 THE WITNESS: So it depends on the 11:38:04</p> <p>20 characteristics of the population, sir. If the 11:38:05</p> <p>21 population has the same demographic and clinical 11:38:09</p> <p>22 characteristics but there is simply a larger 11:38:14</p> <p>23 number of them, there would be no indirectness 11:38:16</p> <p>24 concerns. 11:38:19</p> <p>25 BY MR. FRAMPTON: 11:38:19</p> |
| <p style="text-align: right;">Page 103</p> <p>1 and it all -- and at the time of the CQC 11:35:37</p> <p>2 inspection of the Tavistock and Portman NHS 11:35:41</p> <p>3 Foundation Trust in October 2020 there were 11:35:45</p> <p>4 2,500 children and young people being referred 11:35:48</p> <p>5 per annum, 4,600 children and young people on 11:35:51</p> <p>6 the waiting list, and a waiting time of over 11:35:54</p> <p>7 two years to first appointment. Did I read 11:35:55</p> <p>8 that correctly? 11:35:59</p> <p>9 A. You did, sir. 11:35:59</p> <p>10 Q. Has it also been your experience 11:36:00</p> <p>11 that there has been a substantial increase in 11:36:08</p> <p>12 the number of patients, children and young 11:36:12</p> <p>13 people presenting with potential gender 11:36:16</p> <p>14 dysphoria? 11:36:20</p> <p>15 A. I believe that the literature 11:36:20</p> <p>16 shows, sir, increasing numbers of individuals 11:36:24</p> <p>17 presenting to clinics that treat gender 11:36:28</p> <p>18 dysphoria, yes. 11:36:33</p> <p>19 Q. And we don't know why, do we? 11:36:34</p> <p>20 A. I think there are a variety of 11:36:36</p> <p>21 potential reasons why, sir. 11:36:43</p> <p>22 Q. Any that have been rigorously 11:36:45</p> <p>23 studied and established? 11:36:49</p> <p>24 A. So, again, part of the question 11:36:51</p> <p>25 would be what rigorously studied means, but not 11:37:01</p> | <p style="text-align: right;">Page 105</p> <p>1 Q. You don't think that the etiology 11:38:20</p> <p>2 of the increase matters at all to that 11:38:24</p> <p>3 analysis? 11:38:26</p> <p>4 MR. CHEEK: Objection, form. 11:38:28</p> <p>5 THE WITNESS: So, sir, my 11:38:31</p> <p>6 understanding of the issue of directness is the 11:38:32</p> <p>7 characteristics of the population in the study are 11:38:37</p> <p>8 whether they are the same or different from the 11:38:43</p> <p>9 characteristics of the individuals who you are 11:38:46</p> <p>10 considering treating. Many of the individuals who 11:38:50</p> <p>11 are presenting to clinics would have met the 11:38:56</p> <p>12 criteria for inclusion in the Dutch studies. And, 11:38:59</p> <p>13 therefore, I would say that I don't think that on 11:39:05</p> <p>14 the face of it, it necessarily raises indirectness 11:39:08</p> <p>15 questions. 11:39:12</p> <p>16 BY MR. FRAMPTON: 11:39:12</p> <p>17 Q. The case-mix has also changed, has 11:39:21</p> <p>18 it not? 11:39:25</p> <p>19 MR. CHEEK: Can you repeat that 11:39:26</p> <p>20 question? 11:39:27</p> <p>21 BY MR. FRAMPTON: 11:39:27</p> <p>22 Q. I said the case-mix has also 11:39:27</p> <p>23 changed, has it not? 11:39:29</p> <p>24 MR. CHEEK: Objection, form. 11:39:30</p> <p>25 THE WITNESS: And by case-mix you 11:39:31</p> |

| | |
|--|---|
| Page 106 | Page 108 |
| 1 mean what, sir? 11:39:33 | 1 one-third of children and young people referred to 11:42:05 |
| 2 BY MR. FRAMPTON: 11:39:33 | 2 GIDS have autism or other types of neurodiversity. 11:42:08 |
| 3 Q. Well, let's see what Dr. -- let's 11:39:35 | 3 I don't believe that at least in this sentence she 11:42:12 |
| 4 just read what Dr. Cass said about that, still 11:39:37 | 4 is representing that that proportion has changed 11:42:14 |
| 5 on page 32. 11:39:39 | 5 over time. 11:42:16 |
| 6 A. I am on 32, sir. 11:39:48 | 6 BY MR. FRAMPTON: 11:42:17 |
| 7 Q. All right, 3.11. This increase in 11:39:50 | 7 Q. Does that sound about right for 11:42:21 |
| 8 referrals has been accompanied by a change in 11:39:52 | 8 the US, about a third? 11:42:22 |
| 9 the case-mix from predominantly 11:39:54 | 9 A. I apologize -- 11:42:24 |
| 10 birth-registered males presenting with gender 11:39:57 | 10 Q. Or do you know? 11:42:31 |
| 11 incongruence from an early age, to 11:40:00 | 11 A. -- I do not know the specific 11:42:32 |
| 12 predominantly birth-registered females 11:40:02 | 12 numbers. 11:42:34 |
| 13 presenting with later onset of reported gender 11:40:04 | 13 Q. Look at page 19. 11:42:34 |
| 14 incongruence in the early teen years. In 11:40:07 | 14 A. Sir, recognizing this is a very 11:42:48 |
| 15 addition, approximately one-third of children 11:40:10 | 15 big exhibit, when you reach a point in your 11:42:50 |
| 16 and young people referred to GIDS have autism 11:40:11 | 16 line of questioning, can we take another break? 11:42:54 |
| 17 or other types of neurodiversity. There is 11:40:16 | 17 Q. Yes, we will be there very, very 11:42:57 |
| 18 also an over-representation percentage wise 11:40:19 | 18 shortly, I promise. 11:43:00 |
| 19 compared to the national percentage of looked 11:40:20 | 19 A. Thank you. 11:43:01 |
| 20 after children. Did I read that paragraph 11:40:21 | 20 Q. All right, 1.28. Much of the 11:43:01 |
| 21 correctly? 11:40:23 | 21 existing literature about natural history and 11:43:13 |
| 22 A. You did, sir. 11:40:23 | 22 treatment outcomes for gender dysphoria in 11:43:15 |
| 23 Q. Does this accurately reflect your 11:40:25 | 23 childhood is based on a case-mix of 11:43:17 |
| 24 understanding of the US experience as well in 11:40:32 | 24 predominantly birth-registered males presenting 11:43:19 |
| 25 terms of the changing population? 11:40:36 | 25 in early childhood. There is much less data on 11:43:22 |
| Page 107 | Page 109 |
| 1 A. So I think that looked after 11:40:39 | 1 the more recent case-mix of predominantly 11:43:25 |
| 2 children is likely to be a British 11:40:46 | 2 birth-registered females presenting in early 11:43:27 |
| 3 colloquialism that I am not clear -- 11:40:49 | 3 teens, particularly in relation to treatment 11:43:29 |
| 4 Q. Put that one aside. 11:40:49 | 4 and outcomes. Did I read that correctly? 11:43:31 |
| 5 A. -- what it's referring to. 11:40:51 | 5 A. You did, sir. 11:43:33 |
| 6 Q. Put that one aside, rest of the 11:40:52 | 6 Q. Do you agree with her statement 11:43:34 |
| 7 paragraph. Well, let's just do them in turn. 11:40:53 | 7 about the state of the statements with regard 11:43:40 |
| 8 A. Okay. 11:40:58 | 8 to the state of the literature? 11:43:42 |
| 9 Q. Predominantly birth-registered 11:40:58 | 9 A. So if I recall the Dutch studies 11:43:49 |
| 10 males presenting with gender incongruence from 11:41:00 | 10 correctly, there were a reasonable number of 11:43:53 |
| 11 an early age to predominantly birth-registered 11:41:02 | 11 individuals assigned female at birth in their 11:43:58 |
| 12 females presenting with later onset of reported 11:41:06 | 12 data. I would agree that there is potentially 11:44:01 |
| 13 gender incongruence in early teen years. Is 11:41:08 | 13 less data about individuals with a shorter 11:44:09 |
| 14 that consistent with the US experience? 11:41:12 | 14 duration of gender dysphoria. 11:44:17 |
| 15 A. So my sense is that there is some 11:41:14 | 15 Q. A later onset of gender dysphoria? 11:44:18 |
| 16 heterogenous data about those potential changes 11:41:27 | 16 A. I think it's complicated to figure 11:44:20 |
| 17 but that some individuals have reported similar 11:41:34 | 17 out when gender dysphoria has its onset, but 11:44:36 |
| 18 changes in the United States. 11:41:38 | 18 potentially later presentation to clinical 11:44:39 |
| 19 Q. And what about the increase in 11:41:38 | 19 care. 11:44:43 |
| 20 children with autism or other types of 11:41:50 | 20 Q. When you say the Dutch studies had 11:44:45 |
| 21 neurodiversity? 11:41:53 | 21 a reasonable number of what you are calling 11:44:47 |
| 22 MR. CHEEK: Objection, form. 11:41:54 | 22 birth-assigned females, natal females, what do 11:44:52 |
| 23 THE WITNESS: So I don't read 11:41:56 | 23 you mean by a reasonable number? 11:44:56 |
| 24 Dr. Cass's reporting that as a change. I take it 11:41:58 | 24 A. So, again, I would have to refresh 11:44:57 |
| 25 that she says, in addition, approximately 11:42:03 | 25 my memory looking at the -- at the studies. 11:44:58 |

| | |
|--|---|
| Page 110 | Page 112 |
| 1 But, for example, I don't believe that there 11:45:04 | 1 confidence in effect estimates, or quality of 11:54:48 |
| 2 were only 5 percent of participants were female 11:45:05 | 2 evidence, to each outcome that you are 11:54:52 |
| 3 assigned at birth. 11:45:09 | 3 studying, correct? 11:54:53 |
| 4 Q. So you just -- you disagree with 11:45:09 | 4 A. Yes, sir. 11:54:54 |
| 5 Dr. -- with this review when it says the 11:45:12 | 5 Q. And that presumably should be the 11:54:56 |
| 6 case-mix was predominantly birth-registered 11:45:14 | 6 patient important outcomes that we looked at 11:55:00 |
| 7 males and that there is much less data on the 11:45:17 | 7 before, right? 11:55:03 |
| 8 more recent case-mix? 11:45:20 | 8 A. Yes, sir. 11:55:03 |
| 9 A. So all I am -- so when you -- when 11:45:21 | 9 Q. And to do that, you simultaneously 11:55:05 |
| 10 I read this, sir, Dr. -- the authors of this 11:45:31 | 10 consider all eight sort of upgrade and 11:55:13 |
| 11 report are contrasting both sex assigned at 11:45:44 | 11 downgrade domains, correct? 11:55:15 |
| 12 birth and age of presentation, and I would put 11:45:53 | 12 A. Yes, sir. 11:55:17 |
| 13 more emphasis than the authors of the report on 11:46:01 | 13 Q. And one way at least of presenting 11:55:17 |
| 14 the age of presentation than I would on the sex 11:46:06 | 14 the application of the GRADE methodology is an 11:55:24 |
| 15 assigned at birth. 11:46:09 | 15 evidence profile, like we see in Table 1 on the 11:55:28 |
| 16 Q. Are you aware of any study as to 11:46:10 | 16 next page, correct? 11:55:32 |
| 17 whether responses and long-term outcomes from 11:46:16 | 17 A. Yes, sir. 11:55:32 |
| 18 puberty blockers or cross-sex hormones are 11:46:19 | 18 Q. And this sort of presents the 11:55:55 |
| 19 different for children on the autistic 11:46:23 | 19 number and type of studies the authors 11:55:58 |
| 20 spectrum, aware of any studies that have looked 11:46:26 | 20 considered, correct? 11:56:01 |
| 21 at that? 11:46:29 | 21 A. That the individual performing the 11:56:01 |
| 22 A. I cannot recall a study that 11:46:29 | 22 evaluation considered, yes. 11:56:09 |
| 23 does -- that focused exclusively on that 11:46:32 | 23 Q. Yes, I'm sorry. I will say 11:56:10 |
| 24 population or did subgroup analysis on that 11:46:35 | 24 evaluator from here forward so we are saying 11:56:12 |
| 25 population. 11:46:38 | 25 the same thing. And it gives you the 11:56:14 |
| Page 111 | Page 113 |
| 1 MR. FRAMPTON: All right. Then we 11:46:39 | 1 evaluator's conclusion as to each of the 11:56:17 |
| 2 will take a break. 11:46:39 | 2 upgrade or downgrade domains, right, or at 11:56:20 |
| 3 THE WITNESS: Thank you. 11:46:40 | 3 least as to the downgrade domains? 11:56:29 |
| 4 (Recess taken.) 11:46:41 | 4 A. Yes, I only see five of the eight 11:56:30 |
| 5 MR. FRAMPTON: Let's go back on. 11:53:52 | 5 listed in the table, sir. 11:56:33 |
| 6 (Thereupon, Exhibit 16, GRADE 11:53:54 | 6 Q. And it's the five downgrade 11:56:34 |
| 7 guidelines: 11. Making An Overall Rating of 11:53:54 | 7 domains that you see, right? 11:56:35 |
| 8 Confidence in Effect Estimates For a Single 11:53:54 | 8 A. Yes, sir. 11:56:36 |
| 9 Outcome and All Outcomes, was marked for purposes 11:53:54 | 9 Q. Okay. And then they have given 11:56:38 |
| 10 of identification.) 11:53:55 | 10 you at least some explanation when they 11:56:41 |
| 11 BY MR. FRAMPTON: 11:53:55 | 11 downgraded as to why? 11:56:43 |
| 12 Q. Dr. Antommara, I am going to show 11:53:55 | 12 A. So there is comments under each of 11:56:48 |
| 13 you what I am marking as Exhibit 16. And this 11:53:56 | 13 the columns. I don't see necessarily that they 11:57:01 |
| 14 is still Journal of Clinical Epidemiology, 11:54:09 | 14 have assigned a minus 1 or minus 2. But in the 11:57:04 |
| 15 GRADE Guidelines 11. Dr. Antommara, is this 11:54:12 | 15 quality concluding, they give a reason for the 11:57:08 |
| 16 an article in the same Journal of Clinical 11:54:16 | 16 final conclusion, sir. 11:57:14 |
| 17 Epidemiology GRADE Guidelines series we have 11:54:21 | 17 Q. They give a reason that's grounded 11:57:15 |
| 18 been looking at? 11:54:21 | 18 in the five downgrade domains, correct? 11:57:18 |
| 19 A. It is, sir. 11:54:22 | 19 A. Yes, sir. 11:57:21 |
| 20 Q. You are familiar with it? 11:54:23 | 20 Q. Go to the next -- I'm sorry, page 11:57:24 |
| 21 A. I am, sir. 11:54:26 | 21 155, if you would. 11:57:29 |
| 22 Q. All right, turn to page 152. 11:54:26 | 22 A. I am on 155, sir. 11:57:37 |
| 23 Let's look at the key points in the upper 11:54:28 | 23 Q. Okay. The second full paragraph 11:57:39 |
| 24 left-hand corner. So if you are applying the 11:54:31 | 24 on the left-hand column says: Despite the 11:57:43 |
| 25 GRADE methodology, you assign a rating of 11:54:41 | 25 limitations of breaking continua into discrete 11:57:48 |

| | |
|---|---|
| Page 114 | Page 116 |
| 1 categories, treating each domain for rating 11:57:52 | 1 Clinical Practice Guideline. Dr. Antommaria, 12:00:38 |
| 2 confidence up or down as a discrete category 11:57:55 | 2 you are familiar with this document, correct? 12:00:40 |
| 3 enhances transparency. Indeed, the example 11:57:58 | 3 A. I am. 12:00:42 |
| 4 highlights once again that the great merit of 11:58:02 | 4 Q. And this is a set of clinical 12:00:44 |
| 5 GRADE is not that it necessarily ensures 11:58:05 | 5 practice guidelines published by the Endocrine 12:00:45 |
| 6 reproducible judgments, observers will 11:58:07 | 6 Society in 2017 for treating people with gender 12:00:49 |
| 7 inevitably differ in close-call situations when 11:58:11 | 7 dysphoria or gender incongruence, correct? 12:00:53 |
| 8 rating up or down for individual domains or for 11:58:14 | 8 A. It is a clinical practice 12:00:58 |
| 9 the overall confidence per outcome, but that it 11:58:16 | 9 guideline, yes. 12:01:00 |
| 10 achieves explicit and transparent judgment. 11:58:19 | 10 Q. I'm sorry if I used a different 12:01:00 |
| 11 Did I read that correctly? 11:58:22 | 11 article. It is a clinical practice guideline 12:01:02 |
| 12 A. You did, sir. 11:58:22 | 12 published by the Endocrine Society, correct? 12:01:05 |
| 13 Q. Do you agree that one of the -- 11:58:23 | 13 A. Correct. 12:01:08 |
| 14 one of the great merits of the GRADE system is 11:58:28 | 14 Q. And it is their most recent 12:01:08 |
| 15 that done correctly, there should be a high 11:58:31 | 15 clinical practice guideline, is it not? 12:01:10 |
| 16 level of transparency as to why the evaluator 11:58:34 | 16 A. It's their most recent clinical 12:01:12 |
| 17 rated the evidence quality the way that he or 11:58:39 | 17 practice guideline on this particular topic, 12:01:13 |
| 18 she did? 11:58:42 | 18 yes. 12:01:16 |
| 19 A. Yes, one of the benefits of the 11:58:44 | 19 Q. Yes, okay. And the evaluators 12:01:16 |
| 20 GRADE methodology is its emphasis on 11:58:49 | 20 used -- claim to have used the GRADE 12:01:23 |
| 21 transparency. 11:58:53 | 21 methodology, correct? 12:01:29 |
| 22 Q. So that even if you don't agree 11:58:54 | 22 A. Yes, the authors of this guideline 12:01:29 |
| 23 with the evaluator, you at least know why a 11:58:55 | 23 report that they used the GRADE methodology. 12:01:33 |
| 24 particular quality rating was assigned, right? 11:58:58 | 24 Q. And you have not conducted your 12:01:34 |
| 25 A. That would be one of the 11:59:01 | 25 own systematic review of this evidence, 12:01:39 |
| Page 115 | Page 117 |
| 1 components of the transparency, sir. 11:59:04 | 1 correct? 12:01:42 |
| 2 Q. And you know what studies went 11:59:06 | 2 A. No, sir, I have not. 12:01:42 |
| 3 into that conclusion, right? 11:59:08 | 3 Q. You have not conducted your own 12:01:44 |
| 4 A. Yes, that is part of a systematic 11:59:10 | 4 sort of application of the GRADE methodology to 12:01:47 |
| 5 review, that they list the studies that they 11:59:20 | 5 this evidence, correct? 12:01:49 |
| 6 evaluated. 11:59:26 | 6 A. No, sir, I have not. 12:01:50 |
| 7 Q. When you read a systematic review 11:59:29 | 7 Q. Let's go to page -- 12:01:53 |
| 8 that has followed the GRADE methodology, you 11:59:35 | 8 A. I think it would be -- I think it 12:01:57 |
| 9 should come away with it with a clear 11:59:36 | 9 would be exceptionally difficult for a single 12:02:00 |
| 10 understanding of the evaluator's judgment calls 11:59:39 | 10 individual to do either of those things, sir. 12:02:02 |
| 11 on the quality of evidence and why he or she 11:59:43 | 11 Q. All right. Let's go to page 37 -- 12:02:04 |
| 12 made those calls, correct? 11:59:46 | 12 I'm sorry, 3873. 12:02:11 |
| 13 A. Ideally, that would be the way the 11:59:47 | 13 MR. CHEEK: Counsel, can you say it 12:02:18 |
| 14 GRADE methodology is applied. 11:59:53 | 14 again, 38? 12:02:19 |
| 15 Q. All right. Let's go to -- let's 11:59:54 | 15 MR. FRAMPTON: 3873. 12:02:21 |
| 16 go to what I am going to mark as Exhibit 17. | 16 MR. CHEEK: Thank you. 12:02:22 |
| 17 (Thereupon, Exhibit 17, Endocrine | 17 MR. FRAMPTON: We have got a lot of 12:02:22 |
| 18 Treatment of Gender-Dysphoric/Gender-Incongruent | 18 four-digit page numbers in this one. 12:02:24 |
| 19 Persons: An Endocrine Society Clinical Practice | 19 THE WITNESS: I am on that page, sir. 12:02:26 |
| 20 Guideline, was marked for purposes of | 20 BY MR. FRAMPTON: 12:02:27 |
| 21 identification.) 12:00:15 | 21 Q. Okay. Are you familiar with what 12:02:27 |
| 22 BY MR. FRAMPTON: 12:00:15 | 22 systematic reviews the authors commissioned for 12:02:33 |
| 23 Q. This document is entitled 12:00:27 | 23 this set of clinical practice guidelines? 12:02:37 |
| 24 Endocrine Treatment of Gender Dysphoric/Gender 12:00:30 | 24 A. I believe that the authors 12:02:39 |
| 25 Incongruent Persons, an Endocrine Society 12:00:33 | 25 commissioned two systematic reviews for this 12:02:42 |

Page 118

1 guideline, sir. 12:02:45
 2 Q. Okay. And what were they on? 12:02:45
 3 A. So one was on the effect of sex 12:02:47
 4 steroid use in transgender individuals on 12:02:55
 5 lipids and cardiovascular outcomes, and the 12:02:58
 6 second was on the effect of sex steroids on 12:03:03
 7 bone health in transgender individuals. 12:03:08
 8 Q. They did not commission any 12:03:12
 9 systematic reviews on psychosocial outcomes, 12:03:14
 10 did they? 12:03:18
 11 A. They did not, sir. 12:03:18
 12 Q. Or effects on brain development? 12:03:23
 13 A. They did not, sir. 12:03:28
 14 Q. Fertility? 12:03:33
 15 A. So, again, I think that -- so I 12:03:34
 16 would say that I think that their commissioning 12:03:40
 17 of systematic reviews would be unlikely that 12:03:43
 18 they would be able to commission systematic 12:03:45
 19 reviews on all of the patient relevant outcomes 12:03:48
 20 because of the way in which professional 12:03:52
 21 societies are resourced and that the systematic 12:03:53
 22 reviews that were commissioned for this 12:04:01
 23 clinical practice guideline are comparable to 12:04:03
 24 the type -- the number of systematic reviews 12:04:06
 25 commissioned for other clinical practice 12:04:08

Page 119

1 guidelines. 12:04:10
 2 Q. There is no systematic review on 12:04:10
 3 the efficacy of these interventions in 12:04:18
 4 improving mental health, is there? 12:04:21
 5 A. There is not, sir. 12:04:23
 6 Q. Let's go to page 3883. 12:04:24
 7 A. Yes, sir. 12:04:37
 8 Q. All right. 2.4 is a strong 12:04:37
 9 recommendation for the use of sex hormone 12:04:50
 10 treatment based on what they have assessed as 12:04:55
 11 low quality evidence; is that -- am I reading 12:04:59
 12 that correctly? 12:05:03
 13 A. Yes, that's what the No. 1 and the 12:05:04
 14 two circles with plus signs in them indicate. 12:05:08
 15 Q. Okay. Turn to the next page, if 12:05:10
 16 you would. And I just -- structurally in this 12:05:15
 17 guideline, they follow that recommendation with 12:05:19
 18 the evidence, the values and preferences, and 12:05:25
 19 the remarks on that recommendation, correct? 12:05:29
 20 A. Yes, sir. You have reviewed 12:05:31
 21 extensively the components of the GRADE 12:05:40
 22 guidelines relative to the rating of quality of 12:05:44
 23 the evidence. There are a number of papers 12:05:46
 24 about making recommendations. But yes, as part 12:05:48
 25 of making the recommendations, they describe 12:05:53

Page 120

1 the values and preferences as part of being 12:05:55
 2 transparent about their methods. 12:06:00
 3 Q. They do not give us how they 12:06:06
 4 evaluated any of the downgrade domains for this 12:06:14
 5 body of evidence, do they? 12:06:19
 6 A. So they do not provide a table 12:06:20
 7 similar to the one that we just reviewed, sir. 12:06:28
 8 Q. Nor do they explain in the 12:06:31
 9 evidence section how they applied any of the 12:06:34
 10 downgrade or upgrade factors, do they? 12:06:39
 11 A. So, again, so I would have to read 12:06:41
 12 the evidence statement related to each of the 12:06:47
 13 individual recommendations to know whether they 12:06:50
 14 mention any of those factors or not. 12:06:52
 15 Q. I am asking about 2.4. 12:06:54
 16 A. Then please let me read the 12:06:57
 17 evidence statement. 12:07:00
 18 Q. Sure. 12:07:00
 19 A. So, sir, on page 3885, the end of 12:09:31
 20 the first incomplete paragraph, the authors 12:09:38
 21 state: However, only minimal data support 12:09:42
 22 earlier use of gender-affirming hormones in 12:09:45
 23 transgender adolescents currently exist. So I 12:09:48
 24 take it that that is a reference to 12:09:54
 25 indirectness, which would be potentially a 12:09:58

Page 121

1 reason for downgrading the evidence. 12:10:01
 2 Q. We don't know whether they did or 12:10:03
 3 did not downgrade the evidence based on 12:10:06
 4 indirectness, do we? 12:10:09
 5 A. They do not explicitly state that 12:10:10
 6 the reason why they graded the evidence to be 12:10:21
 7 of low quality was as a result of indirectness, 12:10:24
 8 no. 12:10:26
 9 Q. Well, all of the studies are 12:10:26
 10 observational, right, or do we know? 12:10:30
 11 A. They would in general be 12:10:35
 12 observational. 12:10:37
 13 Q. Which would start us at low 12:10:38
 14 quality, right? 12:10:40
 15 A. Yes, sir. 12:10:41
 16 Q. So we don't know if it's just that 12:10:41
 17 they left them at low quality or if they 12:10:47
 18 upgraded and downgraded, or we don't know how 12:10:50
 19 they planted it low, do we? 12:10:54
 20 A. No, we do not, sir. 12:10:56
 21 Q. And it doesn't tell us how many 12:10:58
 22 studies went into this quality assessment, does 12:11:05
 23 it? 12:11:09
 24 A. So indirectly, sir, so, for 12:11:09
 25 example, currently available data from 12:11:21

| | |
|--|--|
| Page 122 | Page 124 |
| 1 transgender -- I am on page -- 12:11:24 | 1 tell me in what situations the GRADE guidelines 12:14:03 |
| 2 Q. I see it. 12:11:27 | 2 permit making a strong recommendation based on 12:14:09 |
| 3 A. -- 84. Currently available data 12:11:28 | 3 low quality evidence? 12:14:13 |
| 4 from transgender adolescent support treatment 12:11:33 | 4 A. So there are specific situations 12:14:15 |
| 5 with sex hormones starting at age 16, and they 12:11:35 | 5 in which they report that that is acceptable. 12:14:21 |
| 6 provide two references. We need to look at 12:11:38 | 6 I would need to refer to the appropriate 12:14:24 |
| 7 those references to see if they are to studies 12:11:41 | 7 article in the series to identify those. I 12:14:27 |
| 8 or summaries of studies or reviews. But they 12:11:44 | 8 believe that there are approximately five 12:14:31 |
| 9 do reference the recommendations, sir, so there 12:11:50 | 9 situations in which they state that that is an 12:14:34 |
| 10 would be a way to determine in some way how 12:11:53 | 10 inappropriate thing to do. 12:14:40 |
| 11 many studies they are basing their 12:11:57 | 11 Q. Did the Endocrine Society in its 12:14:41 |
| 12 recommendations on. 12:11:58 | 12 2017 guidelines tell us which of those 12:14:43 |
| 13 Q. You would have to piece together 12:11:59 | 13 situations they were relying upon to make a 12:14:46 |
| 14 the footnotes and figure out -- or the end 12:12:00 | 14 strong recommendation based on low quality 12:14:50 |
| 15 notes and figure out what they seem to be 12:12:03 | 15 evidence? 12:14:52 |
| 16 using, right? They have not compiled it for us 12:12:05 | 16 A. They did not. The thing that I 12:14:53 |
| 17 and presented it? 12:12:07 | 17 would state, sir, is that the GRADE guidelines 12:14:59 |
| 18 A. Again, as I said, they don't 12:12:08 | 18 are an ideal process and that this guideline is 12:15:02 |
| 19 provide a table similar to the table that we 12:12:10 | 19 comparable to many other clinical practice 12:15:10 |
| 20 reviewed in Exhibit 16. 12:12:12 | 20 guidelines in medicine that clinicians rely on, 12:15:12 |
| 21 Q. And we don't know if these studies 12:12:14 | 21 and in some ways you may be holding the 12:15:20 |
| 22 were selected via systematic review. In fact, 12:12:17 | 22 guidelines up to unrealistic standards in 12:15:25 |
| 23 it appears they were not, correct? 12:12:21 | 23 practice. 12:15:29 |
| 24 A. That would be a reasonable 12:12:24 | 24 Q. Have you independently determined 12:15:30 |
| 25 conjecture. 12:12:26 | 25 which of the situations for making a strong 12:15:34 |
| Page 123 | Page 125 |
| 1 Q. Let's look at this endnote 63 that 12:12:27 | 1 recommendation based on low quality evidence 12:15:38 |
| 2 you just referenced. So the statement 12:12:31 | 2 would apply here? 12:15:40 |
| 3 currently available data from transgender 12:12:43 | 3 A. I have not, sir. 12:15:41 |
| 4 adolescents support treatment with sex hormones 12:12:47 | 4 Q. All right. Let's look at 12:15:43 |
| 5 starting at age 16 years is citing to a paper 12:12:48 | 5 something else. 12:15:47 |
| 6 lead author de Vries published in Pediatrics in 12:12:56 | 6 A. But not having done so does not 12:15:48 |
| 7 2014, correct? 12:13:00 | 7 mean that one of those situations does not, in 12:15:50 |
| 8 A. Correct, sir. 12:13:00 | 8 fact, apply. 12:15:52 |
| 9 Q. And that is a -- that's not a 12:13:02 | 9 Q. I am trying to understand your 12:15:53 |
| 10 systematic review or anything, that's a single 12:13:05 | 10 testimony. You in preparing your expert report 12:15:54 |
| 11 study, is it not? 12:13:07 | 11 did not opine as to which one applies, correct? 12:15:57 |
| 12 A. Yes, sir. 12:13:08 | 12 A. I have not formed an opinion on 12:16:00 |
| 13 Q. And then they are also citing to 12:13:08 | 13 that matter, sir. 12:16:03 |
| 14 122, which is an NHS document, correct? 12:13:12 | 14 Q. Understood. 12:16:04 |
| 15 A. The author of that document is the 12:13:16 | 15 (Thereupon, Exhibit 18, Standards of 12:16:05 |
| 16 NHS, sir. 12:13:29 | 16 Care for the Health of Transgender and Gender 12:16:05 |
| 17 Q. And do you know if that's a study 12:13:30 | 17 Diverse People, Version 8, was marked for purposes 12:16:05 |
| 18 or review or what it is? 12:13:33 | 18 of identification.) 12:16:05 |
| 19 A. I do not, sir. 12:13:35 | 19 BY MR. FRAMPTON: 12:16:05 |
| 20 Q. And assuming if it is not a study 12:13:41 | 20 Q. All right. Dr. Antommara, I am 12:16:23 |
| 21 itself, do you have any idea what studies it 12:13:44 | 21 handing you what I am marking as Exhibit 18. 12:16:24 |
| 22 cites to? 12:13:47 | 22 Hopefully, it's excerpts from WPATH's SOC8. 12:16:31 |
| 23 A. I would have to reference the 12:13:48 | 23 That's what it's supposed to be. Tell me if 12:16:37 |
| 24 document, sir. 12:13:49 | 24 that's what it appears to be. 12:16:39 |
| 25 Q. Fair enough. Do you -- can you 12:13:50 | 25 A. Yes, it appears to be portions but 12:16:59 |

| Page 126 | Page 128 |
|--|--|
| 1 not the entirety of WPATH's SOC8. 12:17:01 | 1 left-hand corner, the authors provide what they 12:20:17 |
| 2 Q. That's right. It should have the 12:17:07 | 2 call a short narrative review instead of a 12:20:19 |
| 3 entirety of the adolescent chapter, which is 12:17:09 | 3 systematic review; is that correct? 12:20:23 |
| 4 probably all we are going to look at. So 12:17:14 | 4 A. That's what they state. 12:20:24 |
| 5 flip -- let's see, I don't even have it in 12:17:20 | 5 Q. Okay. And their claim is that the 12:20:26 |
| 6 front of me. Let's go to the adolescent 12:17:24 | 6 number of studies is too small to allow for a 12:20:29 |
| 7 chapter, which I believe begins on page 43, 12:17:56 | 7 systematic review; is that right? 12:20:33 |
| 8 S43. I don't know why there is an S in front 12:18:11 | 8 A. The low number of studies is one 12:20:36 |
| 9 of it, but it's S43. 12:18:13 | 9 of the reasons that they provide for not 12:20:52 |
| 10 MR. CHEEK: Counsel, just sort of 12:18:15 | 10 performing the systematic review or that a 12:20:55 |
| 11 flipping through this, there are -- like it goes 12:18:17 | 11 systematic review was not possible. Are we 12:20:57 |
| 12 from page S13, S14, and then jumps to S43. 12:18:24 | 12 moving to another document, sir? 12:21:20 |
| 13 MR. FRAMPTON: Yeah. 12:18:32 | 13 Q. We are moving to another document. 12:21:22 |
| 14 MR. CHEEK: Okay, okay. 12:18:33 | 14 (Thereupon, Exhibit 19, Gender 12:21:22 |
| 15 MR. FRAMPTON: No, that's correct. I 12:18:34 | 15 Dysphoria In Young People Is Rising - And So Is 12:21:22 |
| 16 mean, that's -- you can see there is a table of 12:18:35 | 16 Professional Disagreement, was marked for purposes 12:21:22 |
| 17 contents on S4. I eliminated a bunch of chapters 12:18:37 | 17 of identification.) 12:21:22 |
| 18 I wasn't going to ask him about. 12:18:41 | 18 BY MR. FRAMPTON: 12:22:15 |
| 19 MR. CHEEK: Understood. Thank you 12:18:42 | 19 Q. All right. Do you have the new 12:22:15 |
| 20 for the clarity. 12:18:43 | 20 exhibit? Oh, I see it there. All right. What 12:22:18 |
| 21 BY MR. FRAMPTON: 12:18:44 | 21 I have marked as Exhibit 19 is an article 12:22:20 |
| 22 Q. Dr. Antommaria, I -- 12:18:47 | 22 entitled Gender Dysphoria In Young People Is 12:22:26 |
| 23 MR. CHEEK: I'm sorry, which page are 12:18:48 | 23 Rising - And So is Professional Disagreement, 12:22:29 |
| 24 you on? 12:18:49 | 24 Jennifer Block and the BMJ; is that correct? 12:22:31 |
| 25 MR. FRAMPTON: I am on S43. 12:18:49 | 25 A. If by article you mean a news 12:22:34 |
| Page 127 | Page 129 |
| 1 MR. CHEEK: Thank you. 12:18:51 | 1 article, yes, sir. 12:22:41 |
| 2 BY MR. FRAMPTON: 12:18:51 | 2 Q. Yes, I understand this is not a 12:22:44 |
| 3 Q. Doctor, are you also on -- 12:18:51 | 3 peer-reviewed article, correct? Correct? 12:22:45 |
| 4 A. I am on S43. 12:18:51 | 4 A. Correct. 12:22:49 |
| 5 Q. Thank you, sir. Are you familiar 12:18:54 | 5 Q. Sorry, she has to have a verbal 12:22:49 |
| 6 generally with this chapter 6 on adolescents of 12:19:00 | 6 response or she can't -- 12:22:52 |
| 7 SOC8? 12:19:04 | 7 A. I apologize. 12:22:53 |
| 8 A. I am, sir. 12:19:05 | 8 Q. Have you seen this before? 12:22:54 |
| 9 Q. And I'm sorry, did you answer my 12:19:06 | 9 A. I am familiar with it, sir. 12:22:57 |
| 10 question? We are, in fact, looking at WPATH 12:19:08 | 10 Q. Have you read it? 12:22:59 |
| 11 SOC8, correct? 12:19:11 | 11 A. I have, sir. 12:23:07 |
| 12 A. Yes, I agreed that this exhibit 12:19:12 | 12 Q. Go to page 2, the second page. 12:23:16 |
| 13 was parts of WPATH's SOC8. 12:19:14 | 13 The very bottom of the page, that paragraph 12:23:30 |
| 14 Q. Great. Do you agree that the 12:19:19 | 14 that starts and spills over reads: Guyatt, who 12:23:31 |
| 15 recommendations in the adolescent chapter are 12:19:27 | 15 co-developed GRADE, found, quote, serious 12:23:34 |
| 16 not based on a systematic review of the 12:19:29 | 16 problems, unquote, with the -- 12:23:37 |
| 17 evidence? 12:19:31 | 17 A. Oh, I'm sorry. 12:23:38 |
| 18 A. That is correct, sir. 12:19:31 | 18 Q. Are you in the wrong place? 12:23:39 |
| 19 Q. And as a result, there are no 12:19:35 | 19 A. No, I just want to -- so we are on 12:23:40 |
| 20 GRADE type assessments of the quality of the 12:19:43 | 20 2 of 10, sir? 12:23:44 |
| 21 evidence, correct? 12:19:46 | 21 Q. You are not looking -- no, we need 12:23:45 |
| 22 A. As a result of that and a number 12:19:47 | 22 the other set of copies. I'm sorry, I am going 12:23:52 |
| 23 of additional factors, yes. 12:19:51 | 23 to remark this. I made a better copy of that 12:23:55 |
| 24 Q. We will just read it. On S46, in 12:19:54 | 24 exhibit. 12:23:57 |
| 25 that first not full paragraph in the upper 12:20:09 | 25 MR. CHEEK: Do you want to just mark 12:24:05 |

| | |
|---|--|
| Page 130 | Page 132 |
| 1 that as 20? 12:24:07 | 1 have been mischaracterized in news reports who 12:26:29 |
| 2 MR. FRAMPTON: Sure. 12:24:09 | 2 don't publicly affirm their belief. 12:26:33 |
| 3 (Thereupon, Exhibit 20, Gender 12:24:09 | 3 Q. Other than your general view that 12:26:39 |
| 4 Dysphoria In Young People Is Rising - And So is 12:24:09 | 4 news reports might mischaracterize someone, do 12:26:41 |
| 5 Professional Disagreement, was marked for purposes 12:24:09 | 5 you have any specific reason to believe that 12:26:44 |
| 6 of identification.) 12:24:10 | 6 Dr. Guyatt's comments here were 12:26:47 |
| 7 BY MR. FRAMPTON: 12:24:10 | 7 mischaracterized or taken out of context? 12:26:49 |
| 8 Q. This is a whole lot easier to 12:24:10 | 8 A. I don't have specific reason to 12:26:51 |
| 9 read. 12:24:12 | 9 believe that. I am just marking for you, sir, 12:26:56 |
| 10 A. The PDF as opposed to the web 12:24:12 | 10 that a news article is very different than a 12:26:58 |
| 11 page, right? 12:24:15 | 11 peer-reviewed article that Dr. Guyatt has 12:27:02 |
| 12 Q. Yes. 12:24:15 | 12 written on the subject. 12:27:04 |
| 13 A. Thank you. 12:24:16 | 13 Q. Assuming the sentence that I read 12:27:05 |
| 14 Q. All right. Do we appear to be 12:24:26 | 14 you -- well, it doesn't even -- we don't even 12:27:08 |
| 15 looking at the same document, just a better 12:24:27 | 15 have to make that assumption. Could a 12:27:12 |
| 16 copy? 12:24:30 | 16 reasonable scientist share the concerns 12:27:16 |
| 17 A. We now appear to be viewing the 12:24:30 | 17 expressed in the sentence that I read you, 12:27:18 |
| 18 PDF of that article. 12:24:31 | 18 regardless of whether they were or were not 12:27:20 |
| 19 Q. Great, all right. Bottom of page 12:24:33 | 19 expressed by Dr. Guyatt? 12:27:22 |
| 20 2. 12:24:37 | 20 A. So the sentence reads that he 12:27:24 |
| 21 A. Yes, sir. 12:24:37 | 21 found serious problems with the Endocrine 12:27:47 |
| 22 Q. All right. It says: Guyatt, who 12:24:38 | 22 Society guidelines, noting the systematic 12:27:50 |
| 23 co-developed GRADE, found, quote, serious 12:24:42 | 23 reviews didn't look at the effects of 12:27:51 |
| 24 problems with the Endocrine Society guidelines, 12:24:44 | 24 interventions on gender dysphoria itself. The 12:27:53 |
| 25 noting that the systematic reviews didn't look 12:24:47 | 25 systematic reviews weren't intended to look at 12:27:59 |
| Page 131 | Page 133 |
| 1 at the effect of the interventions on gender 12:24:50 | 1 the effect on gender dysphoria. They looked at 12:28:12 |
| 2 dysphoria itself, arguably, quote, the most 12:24:52 | 2 other factors. And the study does cite 12:28:14 |
| 3 important outcome, unquote. We'll stop there 12:24:56 | 3 articles which did look at the effect on gender 12:28:21 |
| 4 for now. Did I read that correctly? 12:25:01 | 4 dysphoria and other mental health outcomes. 12:28:25 |
| 5 A. You did, sir. 12:25:02 | 5 Q. Sorry, my question was could a 12:28:31 |
| 6 Q. Do you think a reasonable 12:25:03 | 6 reasonable scientist share the concern 12:28:36 |
| 7 scientist could agree with Dr. Guyatt's 12:25:15 | 7 expressed in the sentence I read you that the 12:28:39 |
| 8 concerns expressed in that sentence? 12:25:19 | 8 Endocrine Society didn't look at the effective 12:28:43 |
| 9 A. So I think that part of the 12:25:22 | 9 interventions on gender dysphoria itself? 12:28:46 |
| 10 difficulty, sir, is knowing what Dr. Guyatt's 12:25:26 | 10 A. So, again, sir, it's difficult for 12:28:47 |
| 11 concerns are or are not in that this is not an 12:25:30 | 11 me to answer your question because it's hard 12:28:51 |
| 12 article that is published by Dr. Guyatt. This 12:25:34 | 12 for me to understand the concern that is being 12:28:54 |
| 13 is a newspaper. It is a news article in which 12:25:38 | 13 expressed in this sentence. We have discussed 12:28:58 |
| 14 a reporter is characterizing statements by 12:25:42 | 14 the systematic reviews that were conducted. 12:29:01 |
| 15 Dr. Guyatt and, in part, selectively quoting 12:25:46 | 15 The systematic reviews for the guideline 12:29:05 |
| 16 him and running partial quotes into a sentence. 12:25:52 | 16 addressed other important outcomes, and the 12:29:09 |
| 17 So it's difficult for me to know what 12:25:56 | 17 Endocrine Society guidelines does cite studies 12:29:16 |
| 18 Dr. Guyatt's concerns are or are not because of 12:26:00 | 18 which looked at the effect of interventions on 12:29:19 |
| 19 the nature of this material, sir. 12:26:04 | 19 gender dysphoria. 12:29:21 |
| 20 Q. Have you ever -- have you seen 12:26:05 | 20 Q. Could a reasonable scientist be 12:29:24 |
| 21 anything, any medium in which Dr. Guyatt 12:26:09 | 21 concerned that they didn't systematically look 12:29:26 |
| 22 disagreed with the way that he was 12:26:12 | 22 at the effect of interventions on gender 12:29:30 |
| 23 characterized in this piece? 12:26:15 | 23 dysphoria? 12:29:32 |
| 24 A. I don't, but I would imagine that 12:26:17 | 24 A. That might be a reasonable 12:29:43 |
| 25 there are many people who believe that they 12:26:26 | 25 concern. 12:29:46 |

| | |
|---|--|
| Page 134 | Page 136 |
| 1 Q. All right. Further down the page, 12:29:46 | 1 example, if a -- if a guideline committee 12:32:29 |
| 2 bottom, it says, last partial paragraph. 12:29:50 | 2 decided to forego doing a systematic review on 12:32:36 |
| 3 A. So I'm sorry, page 3 now, sir? 12:29:55 | 3 a relatively unimportant outcome, presumably, 12:32:43 |
| 4 Q. I'm sorry, yes, you're right. We 12:29:58 | 4 that would be more acceptable than neglecting a 12:32:47 |
| 5 turned the page. I did not flag that for you. 12:29:59 | 5 systematic review on a critically important 12:32:51 |
| 6 Page 3, left-hand column, bottom of the page. 12:30:02 | 6 outcome, correct? 12:32:53 |
| 7 For minors, WPATH contends that the evidence is 12:30:06 | 7 MR. CHEEK: Objection, form. 12:32:54 |
| 8 so limited that, quote, a systematic review 12:30:09 | 8 THE WITNESS: So the relative 12:33:01 |
| 9 regarding outcomes of treatment in adolescents 12:30:12 | 9 importance of an outcome might be one of multiple 12:33:03 |
| 10 is not possible, unquote. But Guyatt counters 12:30:15 | 10 factors that was taken in consideration in 12:33:06 |
| 11 that, quote, systematic reviews are always 12:30:17 | 11 prioritizing potential systematic reviews in 12:33:12 |
| 12 possible, unquote, even if few or no studies 12:30:19 | 12 preparation for writing the guideline. 12:33:16 |
| 13 meet the eligibility criteria. If an entity 12:30:23 | 13 BY MR. FRAMPTON: 12:33:16 |
| 14 has made a recommendation without one, he says, 12:30:27 | 14 Q. It's something that should be 12:33:16 |
| 15 quote, they would be violating standards of 12:30:30 | 15 taken into consideration, right? 12:33:17 |
| 16 trustworthy guidelines, end quote. Did I read 12:30:32 | 16 A. I believe that I said that it was 12:33:19 |
| 17 that correctly? 12:30:35 | 17 one of the -- one of the factors that should be 12:33:21 |
| 18 A. You did, sir. 12:30:35 | 18 considered. 12:33:24 |
| 19 Q. Could a reasonable scientist share 12:30:36 | 19 Q. There are systematic reviews out 12:33:24 |
| 20 the concerns expressed in the portion that I 12:30:38 | 20 there on the efficacy of puberty suppression 12:33:51 |
| 21 read? 12:30:43 | 21 and cross-sex hormones on psychosocial outcomes 12:33:57 |
| 22 A. So I take it that the portion that 12:30:43 | 22 in adolescents, are there not? 12:34:00 |
| 23 you read articulates at least two separate 12:30:46 | 23 MR. CHEEK: Objection, form. 12:34:02 |
| 24 concerns. I would agree with the statement 12:30:52 | 24 THE WITNESS: There are systematic 12:34:04 |
| 25 that a systematic review is always possible if 12:30:57 | 25 reviews of those topics. 12:34:05 |
| Page 135 | Page 137 |
| 1 the -- even if the results of that systematic 12:31:01 | 1 BY MR. FRAMPTON: 12:34:06 |
| 2 review identified few, if any -- the language 12:31:04 | 2 Q. Since 2017, correct? 12:34:15 |
| 3 here is few, if no, studies. The additional 12:31:09 | 3 A. And there may be systematic 12:34:15 |
| 4 concern that is expressed is if an entity has 12:31:13 | 4 reviews predating 2017. One of the factors 12:34:22 |
| 5 made a recommendation without one, and I take 12:31:17 | 5 that goes into whether you would perform a 12:34:27 |
| 6 it a systematic review, they would be violating 12:31:20 | 6 systematic review might be a consideration as 12:34:30 |
| 7 the standards of the trustworthy guidelines. 12:31:23 | 7 to whether or not you think that there is 12:34:33 |
| 8 And I would say that given the 12:31:26 | 8 significant evidence of which you are already 12:34:35 |
| 9 practical limitations of being able to do a 12:31:30 | 9 not aware. 12:34:38 |
| 10 systematic review for every single 12:31:34 | 10 Q. The systematic reviews on 12:34:38 |
| 11 recommendation in the guideline that a 12:31:36 | 11 psychosocial outcomes of puberty suppression or 12:34:47 |
| 12 guideline might be -- still be trustworthy and 12:31:40 | 12 cross-sex hormones in adolescents that you can 12:34:51 |
| 13 important in relevant ways without having 12:31:46 | 13 think of post date 2017, do they not? 12:34:53 |
| 14 conducted a systematic review for every single 12:31:48 | 14 MR. CHEEK: Objection, form. 12:34:58 |
| 15 recommendation that it makes. 12:31:52 | 15 THE WITNESS: So I don't recall the 12:35:01 |
| 16 Q. Would you agree that the 12:31:58 | 16 publication dates of the systematic reviews that I 12:35:02 |
| 17 importance of conducting a systematic review 12:31:59 | 17 can think of. So without referring -- 12:35:06 |
| 18 turns at least in part on the importance of the 12:32:02 | 18 BY MR. FRAMPTON: 12:35:10 |
| 19 outcome to be reviewed? 12:32:06 | 19 Q. Which ones can you think of? 12:35:10 |
| 20 MR. CHEEK: Objection, form. 12:32:10 | 20 A. So there are the two reviews which 12:35:11 |
| 21 THE WITNESS: Just so I understand 12:32:17 | 21 have been performed as part of the Cass Review. 12:35:17 |
| 22 your question, can you rephrase it? 12:32:18 | 22 But there is an older systematic review that 12:35:20 |
| 23 BY MR. FRAMPTON: 12:32:20 | 23 was published in Pediatrics, which is 12:35:23 |
| 24 Q. Absolutely. So, for example -- if 12:32:21 | 24 pre-pandemic. And so I don't recall from the 12:35:29 |
| 25 I am understanding your comment correctly, for 12:32:27 | 25 top of my head whether that was published 12:35:33 |

| | |
|---|---|
| Page 138 | Page 140 |
| 1 before or after 2017, I apologize. 12:35:36 | 1 A. I do, sir. 12:40:11 |
| 2 Q. Is that the Chew article; is that 12:35:38 | 2 Q. What is it? 12:40:12 |
| 3 the lead author? 12:35:43 | 3 A. As the title suggests, it's a 12:40:14 |
| 4 A. I don't -- 12:35:44 | 4 clinical practice guideline prepared by the 12:40:20 |
| 5 Q. Let's find Chew. 12:35:45 | 5 Endocrine Society for a clinical condition 12:40:22 |
| 6 A. I don't recall -- 12:35:47 | 6 called congenital adrenal hyperplasia. 12:40:27 |
| 7 Q. Let's see if it's the right one. 12:35:47 | 7 Q. And is that a condition that you 12:40:29 |
| 8 A. -- the first author of that 12:35:49 | 8 are responsible for making the initial 12:40:33 |
| 9 systematic review, sir. 12:35:52 | 9 diagnosis of? 12:40:38 |
| 10 Q. Maybe we'll get there, maybe we 12:36:57 | 10 A. No, sir, it is not. 12:40:38 |
| 11 won't. All right. Are you aware of any 12:36:59 | 11 Q. Is it a condition for which you 12:40:41 |
| 12 clinical practice guidelines that recommend 12:37:19 | 12 are responsible for initiating treatment? 12:40:45 |
| 13 puberty suppression or cross-sex hormones for 12:37:23 | 13 A. No, sir, it is not. 12:40:47 |
| 14 treating adolescents with gender dysphoria that 12:37:25 | 14 Q. Would those two things generally 12:40:50 |
| 15 are based on a systematic review of the 12:37:28 | 15 be done by an endocrinologist? 12:40:53 |
| 16 efficacy of puberty blockers or cross-sex 12:37:31 | 16 A. In clinical settings where an 12:40:55 |
| 17 hormones? 12:37:33 | 17 endocrinologist was available, yes. There may 12:41:06 |
| 18 A. Can you repeat your question just 12:37:34 | 18 be clinical settings in which a pediatric 12:41:10 |
| 19 so I am clear, sir? 12:37:42 | 19 endocrinologist was not available, and someone 12:41:13 |
| 20 Q. I am going to try. Are you aware 12:37:44 | 20 else might make that diagnosis and initiate 12:41:16 |
| 21 of any clinical practice guidelines that 12:37:48 | 21 that treatment. 12:41:21 |
| 22 recommend puberty suppression or cross-sex 12:37:51 | 22 Q. Tell us -- tell me generally what 12:41:21 |
| 23 hormones for adolescents with gender dysphoria 12:37:54 | 23 the condition is. Describe it for me, please. 12:41:25 |
| 24 that are based on a systematic review of the 12:37:57 | 24 A. So it is a condition in which 12:41:30 |
| 25 efficacy of either puberty blockers or 12:38:01 | 25 individuals are lacking an enzyme, that enzyme 12:41:35 |
| Page 139 | Page 141 |
| 1 cross-sex hormones? 12:38:04 | 1 being 21-Hydroxylase. And as a result, the 12:41:42 |
| 2 A. I am not, sir. Again, though, I 12:38:04 | 2 individuals produce an excess of I believe 12:41:48 |
| 3 think that that is consistent with clinical 12:38:21 | 3 cortisol, sir, which then has a variety of 12:41:53 |
| 4 practice guidelines in many other areas in 12:38:22 | 4 effects on the individual. 12:41:59 |
| 5 health care, in medicine, including pediatrics. 12:38:26 | 5 Q. The typical treatment is 12:42:00 |
| 6 Will we be coming back to these, 12:39:09 | 6 corticosteroids; is that correct? 12:42:06 |
| 7 sir? 12:39:12 | 7 A. It is, sir. 12:42:07 |
| 8 Q. We might. We'll come back to at 12:39:12 | 8 Q. And what happens if it's not 12:42:10 |
| 9 least some of them. 12:39:15 | 9 treated? 12:42:14 |
| 10 A. May I set them here? 12:39:16 | 10 A. It depends on the type of 12:42:15 |
| 11 Q. That's fine. 12:39:17 | 11 congenital adrenal hyperplasia the individual 12:42:21 |
| 12 (Thereupon, Exhibit 21, Congenital 12:39:22 | 12 has. But in the -- what's referred to as the 12:42:24 |
| 13 Adrenal Hyperplasia Due to Steroid 21-Hydroxylase 12:39:22 | 13 salt wasting form, individuals potentially can 12:42:28 |
| 14 Deficiency: An Endocrine Society Clinical 12:39:22 | 14 die as a result of a lack of treatment. 12:42:34 |
| 15 Practice Guideline, was marked for purposes of 12:39:22 | 15 Q. Flip to 4044, if you would. And 12:42:35 |
| 16 identification.) 12:39:22 | 16 just as sort of a backup, clinical practice 12:42:51 |
| 17 BY MR. FRAMPTON: 12:39:22 | 17 guidelines -- 12:42:55 |
| 18 Q. Handing you what I marked as 12:39:43 | 18 A. Hold on a second, sir. 12:42:55 |
| 19 Exhibit 21. All right. And this is a document 12:39:44 | 19 Q. Yeah. Well, this question 12:42:56 |
| 20 entitled Congenital Adrenal Hyperplasia Due to 12:39:57 | 20 actually doesn't -- 12:42:57 |
| 21 Steroid 21-Hydroxylase Deficiency, An Endocrine 12:40:01 | 21 A. No, that's -- 12:42:58 |
| 22 Society Clinical Practice Guideline. I would 12:40:06 | 22 Q. I appreciate you finding it. 12:42:59 |
| 23 never have read this but for you, 12:40:08 | 23 Clinical practice guidelines like this will 12:43:01 |
| 24 Dr. Antommaria. Do you recognize this 12:40:10 | 24 often have -- they will often address more than 12:43:03 |
| 25 document? 12:40:11 | 25 just therapy for the condition, correct? 12:43:07 |

| | |
|--|--|
| <p style="text-align: right;">Page 142</p> <p>1 A. Yes, sir. They will address 12:43:10 2 features such as diagnosis. 12:43:19 3 Q. Screening, potentially? 12:43:22 4 A. If screening is relevant to -- 12:43:24 5 Q. Right. 12:43:32 6 A. -- the -- to the diagnosis. In 12:43:34 7 many conditions, screening would be irrelevant. 12:43:35 8 Q. Right. Look at the section on 12:43:39 9 4044 entitled Treatment of Classic Congenital 12:43:47 10 Adrenal Hyperplasia. Do you see that, 4.1 12:43:52 11 through 4.6? 12:43:56 12 A. I do, sir. 12:43:57 13 Q. Are any of those strong 12:43:58 14 recommendations based on low quality evidence 12:44:02 15 in that section? 12:44:06 16 A. All of the recommendations are 12:44:07 17 based on moderate quality evidence, sir. 12:44:08 18 Q. Let's look then at the stress 12:44:12 19 dosing section, 4.7 to 4.11. Again, are all of 12:44:15 20 those based on at least moderate quality 12:44:27 21 evidence? 12:44:29 22 A. No, sir. 12:44:29 23 Q. Which one did I -- oh, there we 12:44:32 24 go. Are there any strong recommendations in 12:44:37 25 favor of pharmacological intervention based on 12:44:44</p> | <p style="text-align: right;">Page 144</p> <p>1 recommendation is for or against intervention, 12:46:33 2 or do you know? 12:46:35 3 MR. CHEEK: Objection, form. 12:46:37 4 THE WITNESS: So as I previously 12:46:42 5 stated, sir, I don't recall all of those criteria 12:46:43 6 at this point in time, so I don't know. But I 12:46:51 7 would say that in general, the GRADE approach 12:46:53 8 treats strong recommendations for and strong 12:46:57 9 recommendations against similarly. 12:46:59 10 BY MR. FRAMPTON: 12:47:03 11 Q. Look at -- go to the next -- are 12:47:13 12 you on 4045 now? 12:47:15 13 A. I am on 4044, sir. 12:47:17 14 Q. All right, go to 4045. All right. 12:47:19 15 And I am just going to do one more set of 12:47:21 16 these. Treatment of Nonclassic Congenital 12:47:23 17 Adrenal Hyperplasia, 5.1 through 5.6. Any 12:47:26 18 strong recommendations in favor of 12:47:31 19 pharmacological intervention based on low 12:47:34 20 quality evidence? 12:47:36 21 A. No, sir. But we skipped the 12:47:36 22 section on monitoring therapy. 12:47:50 23 Q. Okay. All right, we are going to 12:47:51 24 move to another document. 12:48:22 25 (Thereupon, Exhibit 22, Pediatric 12:48:22</p> |
| <p style="text-align: right;">Page 143</p> <p>1 low quality evidence? 12:44:47 2 A. Can you -- so I am reading the 12:44:48 3 recommendation that's based on low quality 12:45:08 4 evidence. Can you repeat your question, sir? 12:45:10 5 Q. Is there a strong recommendation 12:45:13 6 in favor of pharmacological intervention based 12:45:15 7 on low quality evidence? 12:45:18 8 A. There is a strong recommendation 12:45:20 9 against pharmacological treatment based on low 12:45:33 10 quality evidence, sir. 12:45:35 11 Q. Right. My question was is there a 12:45:36 12 strong recommendation in favor of 12:45:39 13 pharmacological intervention based on low 12:45:41 14 quality evidence? 12:45:43 15 A. So the answer to your question is 12:45:44 16 no, sir. But I don't understand the import of 12:46:01 17 your question, given that within the GRADE 12:46:04 18 approach, recommendations for and 12:46:08 19 recommendations against are treated as 12:46:10 20 symmetric. 12:46:15 21 Q. In the -- when the GRADE 12:46:16 22 guidelines go through the situations in which a 12:46:20 23 strong recommendation may be based on low 12:46:24 24 quality evidence, is it your testimony that 12:46:27 25 they are symmetric as to whether the 12:46:31</p> | <p style="text-align: right;">Page 145</p> <p>1 Obesity - Assessment, Treatment, and Prevention: 12:48:22 2 An Endocrine Society Clinical Practice Guideline, 12:48:22 3 was marked for purposes of identification.) 12:48:52 4 BY MR. FRAMPTON: 12:48:52 5 Q. I show you what I am marking as 12:48:52 6 Exhibit 22. It's entitled Pediatric Obesity - 12:48:54 7 Assessment, Treatment, and Prevention: An 12:48:54 8 Endocrine Society Clinical Practice Guideline. 12:49:03 9 Dr. Antommara, do you recognize this document? 12:49:05 10 A. I do, sir. 12:49:08 11 Q. Is this the Endocrine Society's 12:49:09 12 clinical practice guidelines for pediatric 12:49:12 13 obesity? 12:49:14 14 A. It is, sir. 12:49:16 15 Q. All right, a couple of very quick 12:49:16 16 things on this document. Go to page 710, 12:49:20 17 please. 12:49:24 18 A. Yes, sir. 12:49:24 19 Q. Do you see in 3.2 a strong 12:49:24 20 recommendation in favor of -- well, I'll just 12:49:30 21 read it. We recommend that clinicians 12:49:36 22 prescribe and support healthy eating habits 12:49:39 23 such as avoiding the consumption of 12:49:42 24 calorie-dense, nutrient-poor foods. Did I read 12:49:44 25 it correctly so far? 12:49:47</p> |

| Page 146 | Page 148 |
|--|---|
| <p>1 A. Yes, sir, you did. 12:49:48</p> <p>2 Q. And then they also encourage the 12:49:49</p> <p>3 consumption of whole fruits rather than fruit 12:49:52</p> <p>4 juices; is that correct? 12:49:55</p> <p>5 A. Omitting a parenthetical phrase, 12:49:55</p> <p>6 yes, sir. 12:50:01</p> <p>7 Q. Yeah, I didn't feel like we needed 12:50:01</p> <p>8 to read all of the various forms of junk food 12:50:02</p> <p>9 there. And that's a strong recommendation 12:50:06</p> <p>10 based on low quality evidence, correct? 12:50:08</p> <p>11 A. It is, sir. 12:50:09</p> <p>12 Q. Can you identify any risks 12:50:10</p> <p>13 associated with avoiding the consumption of 12:50:15</p> <p>14 calorie-dense, nutrient-poor foods? 12:50:19</p> <p>15 A. Sir, I think that many people 12:50:28</p> <p>16 derive enjoyment and pleasure from eating 12:50:31</p> <p>17 calorie-dense, nutrient-poor foods. 12:50:35</p> <p>18 Q. Can you -- can you identify any 12:50:37</p> <p>19 medical risks? 12:50:43</p> <p>20 A. I think that, unfortunately, 12:50:52</p> <p>21 individuals who live in food deserts may have 12:50:56</p> <p>22 limited access to other sources of nutrition, 12:51:02</p> <p>23 and foregoing alternative sources of nutrition 12:51:06</p> <p>24 might result in medical risks, sir. 12:51:11</p> <p>25 Q. If they just don't eat; is that 12:51:12</p> | <p>1 child can comply with this recommendation with 12:52:28</p> <p>2 minimal risk? 12:52:30</p> <p>3 A. Depending on the type of moderate 12:52:31</p> <p>4 to vigorous physical activity they are 12:52:53</p> <p>5 performing and where that is performed, yes. 12:52:55</p> <p>6 (Thereupon, Exhibit 23, Part 4: 12:52:55</p> <p>7 Pediatric Basic and Advanced Life Support, was 12:52:55</p> <p>8 marked for purposes of identification.) 12:53:03</p> <p>9 BY MR. FRAMPTON: 12:53:03</p> <p>10 Q. I will represent to you what I 12:53:21</p> <p>11 have done here. So these are pediatric basic 12:53:22</p> <p>12 and advanced life support. Do you recall 12:53:26</p> <p>13 citing the document that I am about showing 12:53:28</p> <p>14 you? I am about to hand it to you. 12:53:32</p> <p>15 A. I cited pediatric and advanced 12:53:34</p> <p>16 life support. I don't know that I have cited 12:53:36</p> <p>17 what you are about to hand to me until I see 12:53:38</p> <p>18 it. 12:53:40</p> <p>19 Q. Fair enough, and I'll tell you 12:53:40</p> <p>20 what I have done. There was a table in here 12:53:41</p> <p>21 that I just had to pull out and print 12:53:43</p> <p>22 separately because it wouldn't print within the 12:53:45</p> <p>23 document. That's what I have done. 12:53:47</p> <p>24 MR. CHEEK: Just for the record, 12:53:52</p> <p>25 counsel is attaching that table to the tail end 12:53:54</p> |
| Page 147 | Page 149 |
| <p>1 what you are saying? 12:51:17</p> <p>2 A. Yes, because of lack of access to 12:51:18</p> <p>3 alternative forms of food. 12:51:21</p> <p>4 Q. Any others? 12:51:23</p> <p>5 A. Not that I can think of at this 12:51:28</p> <p>6 time, sir. 12:51:30</p> <p>7 Q. And you don't imagine a reasonable 12:51:31</p> <p>8 pediatrician would ever recommend that a child 12:51:33</p> <p>9 not eat rather than eating nutrient-poor foods 12:51:35</p> <p>10 that are available to him or her? 12:51:40</p> <p>11 MR. CHEEK: Objection, form. 12:51:42</p> <p>12 BY MR. FRAMPTON: 12:51:47</p> <p>13 Q. Do you? 12:51:47</p> <p>14 A. I would think that a reasonable 12:51:47</p> <p>15 pediatrician would have other alternatives than 12:51:53</p> <p>16 making that recommendation, sir. 12:51:56</p> <p>17 Q. Flip one more page. 4.3 is: We 12:51:57</p> <p>18 recommend that clinicians prescribe and support 12:52:09</p> <p>19 the reduction of inactivity and also a minimum 12:52:10</p> <p>20 of 20 minutes of moderate to vigorous physical 12:52:13</p> <p>21 activity daily, with a goal of 60 minutes, all 12:52:16</p> <p>22 in the context of a calorie controlled diet. 12:52:19</p> <p>23 Did I read that correctly? 12:52:22</p> <p>24 A. You did, sir. 12:52:23</p> <p>25 Q. Do you agree that a normal healthy 12:52:25</p> | <p>1 of -- 12:53:54</p> <p>2 MR. FRAMPTON: In the back, yeah. 12:53:54</p> <p>3 MR. CHEEK: The tail end of the 12:53:54</p> <p>4 exhibit, yeah. 12:54:01</p> <p>5 BY MR. FRAMPTON: 12:54:01</p> <p>6 Q. Handing you what I am marking as 12:54:01</p> <p>7 Exhibit 23. Dr. Antommaria, does this appear 12:54:03</p> <p>8 to be a set of clinical practice guidelines 12:54:39</p> <p>9 published by the American Heart Association on 12:54:41</p> <p>10 pediatric basic and advanced life support? 12:54:43</p> <p>11 A. It does, sir. 12:54:48</p> <p>12 Q. And you recall citing this in your 12:54:49</p> <p>13 expert report, right? 12:54:52</p> <p>14 A. I do, sir. 12:54:52</p> <p>15 Q. And look at the back at this 12:54:53</p> <p>16 table. Yeah, you can detach it for now and 12:54:57</p> <p>17 just put it back when we finish. The table at 12:55:04</p> <p>18 the back is the recommendation and rating 12:55:13</p> <p>19 system that they use instead of the GRADE 12:55:16</p> <p>20 methodology, correct? 12:55:21</p> <p>21 A. Yes, sir. 12:55:21</p> <p>22 Q. Okay. And would you agree if you 12:55:22</p> <p>23 look on the right-hand column, level quality of 12:55:30</p> <p>24 evidence, that level C-LD most closely aligns 12:55:33</p> <p>25 to what GRADE would call low quality evidence? 12:55:42</p> |

Page 150

1 A. With certain exceptions, sir. I 12:55:46
 2 don't believe that GRADE includes physiological 12:56:14
 3 and mechanistic studies in human subjects 12:56:20
 4 within its categorization of low quality 12:56:22
 5 evidence. 12:56:24
 6 Q. And on the left-hand side, they 12:56:25
 7 have got a class of recommendation that's 12:56:26
 8 called a strong recommendation, correct? 12:56:28
 9 A. They have two classes of 12:56:30
 10 recommendations that are called strong 12:56:35
 11 recommendations, sir. 12:56:38
 12 Q. I am seeing strong and moderate. 12:56:39
 13 What am I missing? 12:56:44
 14 A. So Class I is strong. And Class 12:56:45
 15 III, the Roman numeral III at the bottom of 12:56:49
 16 column one, is also a strong recommendation. 12:56:52
 17 Q. Oh, okay. And one -- Class I is 12:56:53
 18 strongly recommend that the benefit is greater 12:57:02
 19 than the risk. Class III is strong that the 12:57:04
 20 risk is greater than the benefit, correct? 12:57:07
 21 A. Yes. In the GRADE 12:57:09
 22 recommendations, there are strong 12:57:13
 23 recommendations for and against, as we 12:57:15
 24 previously discussed. And I would take these 12:57:19
 25 to be strong recommendations for and against. 12:57:21

Page 151

1 Q. Okay. These clinical practice 12:57:23
 2 guidelines are for dealing with pediatric 12:57:29
 3 cardiac arrest, correct? 12:57:31
 4 A. That's the core issue, sir. 12:57:37
 5 Q. Yeah. That's a medical emergency, 12:57:40
 6 is it not? 12:57:43
 7 A. Yes, sir. 12:57:43
 8 Q. Okay. Left untreated, what's the 12:57:46
 9 mortality rate? 12:57:50
 10 A. Of someone in full arrest? 12:57:51
 11 Q. Yes, sir. 12:57:56
 12 A. Exceptionally high, sir. 12:57:58
 13 Q. Approaching a hundred percent? 12:58:00
 14 A. Not a hundred percent, but 12:58:02
 15 exceptionally close to a hundred percent. 12:58:05
 16 Q. Got it. So as a general matter, 12:58:06
 17 medical intervention is required to avoid 12:58:09
 18 imminent death, right? 12:58:11
 19 A. Some intervention, including 12:58:15
 20 bystander CPR, is necessary to prevent that, 12:58:20
 21 yes. 12:58:22
 22 Q. Okay. Go to page 9 of the 12:58:22
 23 document. 12:58:27
 24 A. Yes, sir. 12:58:42
 25 Q. All right. So we have got a -- in 12:58:43

Page 152

1 5.1, we have got lay rescuers should begin CPR 12:58:44
 2 for any victim who is unresponsive, not 12:58:48
 3 breathing normally, and does not have signs of 12:58:51
 4 life; do not check for a pulse. Did I read 12:58:53
 5 that correctly? 12:58:56
 6 A. That is the first recommendation, 12:58:57
 7 sir. 12:59:01
 8 Q. And that's a strong 12:59:01
 9 recommendation, correct? 12:59:03
 10 A. Yes, sir. 12:59:03
 11 MR. CHEEK: I just want to make clear 12:59:07
 12 for the record, we are also looking at the table 12:59:09
 13 as opposed to the recommendation-specific 12:59:11
 14 supportive text below. 12:59:15
 15 MR. FRAMPTON: Sure. 12:59:16
 16 BY MR. FRAMPTON: 12:59:17
 17 Q. And the level of evidence is 12:59:17
 18 classified as C-LD, correct? 12:59:19
 19 A. That is correct, sir. 12:59:22
 20 Q. And if you look at the specific 12:59:28
 21 supportive text, that's based on evidence that 12:59:29
 22 lay rescuers are not able to reliably determine 12:59:32
 23 if people have a pulse, right? 12:59:35
 24 A. I would need to read the text to 12:59:36
 25 confirm that, sir. Would you like me to? 12:59:39

Page 153

1 Q. Actually, no. In the absence 12:59:46
 2 of -- if you don't have medical equipment 12:59:52
 3 readily available, would you agree that CPR is 12:59:58
 4 the only intervention known to decrease 13:00:00
 5 mortality for someone who is in cardiac arrest? 13:00:02
 6 A. Can you repeat your question, sir? 13:00:06
 7 Q. Sure. If there is no medical 13:00:14
 8 equipment readily available, would you agree 13:00:18
 9 that CPR is the only intervention known to 13:00:21
 10 decrease mortality in someone with cardiac 13:00:25
 11 arrest? 13:00:27
 12 A. I am having difficulty with your 13:00:27
 13 formulation of your question because I don't 13:00:40
 14 quite understand how not having medical 13:00:43
 15 equipment available relates to performing CPR 13:00:47
 16 in that there are components of CPR that can be 13:00:55
 17 performed without medical equipment and 13:00:59
 18 components of CPR that require medical 13:01:01
 19 equipment. So I am just having trouble 13:01:03
 20 understanding the formulation of your question, 13:01:04
 21 sir. 13:01:09
 22 Q. What medical equipment do you need 13:01:09
 23 to perform CPR? 13:01:11
 24 A. So CPR is a very broad term. 13:01:13
 25 There are different forms of CPR. Potentially, 13:01:19

| | |
|---|--|
| Page 154 | Page 156 |
| 1 performing CPR entails establishing a reliable 13:01:23 | 1 only other Endocrine Society guidelines that 13:04:06 |
| 2 airway, which might include intubation. And 13:01:27 | 2 are specific to the pediatric population. And 13:04:09 |
| 3 so, again, as a doctor and you being a lawyer, 13:01:35 | 3 I picked CPR as a important non-Endocrine 13:04:13 |
| 4 there's reasons why I am having trouble 13:01:44 | 4 Society guideline. 13:04:26 |
| 5 understanding your question because of -- it's 13:01:46 | 5 Q. Okay. Why CPR? 13:04:26 |
| 6 conflating things that I wouldn't 13:01:50 | 6 A. Because of its view of its 13:04:29 |
| 7 necessarily -- 13:01:52 | 7 potential importance and it being potentially 13:04:41 |
| 8 Q. Let me try again. 13:01:53 | 8 salient to nonphysician readers in a way that 13:04:45 |
| 9 A. Please. 13:01:54 | 9 congenital adrenal -- I'm sorry, CAH would not 13:04:51 |
| 10 Q. For a lay rescuer, is there 13:01:54 | 10 be salient. 13:04:56 |
| 11 anything they can do for someone in cardiac 13:01:58 | 11 Q. Did you look at any clinical 13:04:57 |
| 12 arrest that increases mortality other than CPR? 13:02:01 | 12 practice guidelines in trying to decide which 13:04:59 |
| 13 MR. CHEEK: Objection, form. 13:02:06 | 13 ones to include that you did not end up citing 13:05:02 |
| 14 BY MR. FRAMPTON: 13:02:08 | 14 in your report? 13:05:07 |
| 15 Q. I'm sorry, that decreases 13:02:09 | 15 A. No, sir; I did not. 13:05:08 |
| 16 mortality. 13:02:11 | 16 Q. You just picked out these three? 13:05:08 |
| 17 A. That decreases mortality. So it's 13:02:11 | 17 A. Yes. 13:05:11 |
| 18 not my intention to be pedantic, sir. But yes, 13:02:17 | 18 MR. FRAMPTON: I think we can break 13:05:14 |
| 19 they could activate 9-1-1 if they didn't know 13:02:23 | 19 for lunch. 13:05:15 |
| 20 how to perform CPR or alert other individuals 13:02:26 | 20 (Lunch recess taken.) 13:05:17 |
| 21 who might know how to perform CPR in order to 13:02:29 | 21 MR. FRAMPTON: Let's go on the 13:40:33 |
| 22 decrease mortality. 13:02:32 | 22 record. 13:40:34 |
| 23 Q. Anything else? 13:02:33 | 23 (Thereupon, Exhibit 24, Hormonal 13:40:46 |
| 24 A. That would be the primary 13:02:34 | 24 Treatment in Young People With Gender Dysphoria: A 13:40:46 |
| 25 alternative, sir. 13:02:50 | 25 Systematic Review, was marked for purposes of 13:40:46 |
| Page 155 | Page 157 |
| 1 Q. Is that all you can think of 13:02:51 | 1 identification.) 13:40:46 |
| 2 sitting here today? 13:02:53 | 2 BY MR. FRAMPTON: 13:40:46 |
| 3 A. That are aside from performing CPR 13:02:54 | 3 Q. Doctor, I am handing you what I 13:40:47 |
| 4 that a lay bystander could do to decrease 13:02:59 | 4 marked as Exhibit 24, which is titled Hormonal 13:40:48 |
| 5 mortality in somebody with cardiac arrest, sir? 13:03:02 | 5 Treatment in Young People With Gender 13:40:50 |
| 6 Q. Yes. You said call 9-1-1, perform 13:03:05 | 6 Dysphoria, a Systematic Review. Lead author, 13:40:50 |
| 7 CPR, or alert someone who can perform CPR. 13:03:12 | 7 Denise Chew, published in Pediatrics in 2018. 13:40:54 |
| 8 Anything else? 13:03:16 | 8 And my question as you look at it is simply 13:40:58 |
| 9 A. I think that sitting here today, 13:03:16 | 9 going to be is that the systematic review that 13:41:00 |
| 10 those would be the primary options that I would 13:03:22 | 10 you believe you were referencing in your 13:41:04 |
| 11 think of, sir. 13:03:26 | 11 testimony this morning that you believed you 13:41:06 |
| 12 Q. You cited in your expert report 13:03:28 | 12 had seen? 13:41:09 |
| 13 the three non-gender dysphoria systematic 13:03:29 | 13 A. Yes, sir. You could appreciate 13:41:09 |
| 14 reviews that we have just looked at, correct? 13:03:33 | 14 distinguishing 2017 and 2018. 13:41:17 |
| 15 A. Can you repeat that, sir? 13:03:35 | 15 Q. Obviously. No, I just -- why we 13:41:20 |
| 16 Q. Sure. I am just -- you -- we have 13:03:39 | 16 wanted to show it to you, all right. Tell me 13:41:23 |
| 17 just now looked at three clinical practice 13:03:41 | 17 if I am correctly stating -- well, let me back 13:41:29 |
| 18 guidelines that you cited in your expert 13:03:43 | 18 up and lay a foundation. You are familiar with 13:41:35 |
| 19 report, correct? 13:03:47 | 19 the principle of clinical equipoise, correct? 13:41:38 |
| 20 A. Yes, I cite each of these clinical 13:03:47 | 20 A. I am, sir. 13:41:41 |
| 21 practice guidelines in my expert report. 13:03:52 | 21 Q. Tell me if I am stating it 13:41:41 |
| 22 Q. And how did you select those to 13:03:53 | 22 correctly, the idea being that there is 13:41:44 |
| 23 cite? 13:03:57 | 23 clinical equipoise when there is genuine 13:41:50 |
| 24 A. I selected the two other Endocrine 13:03:57 | 24 uncertainty within the community of experts as 13:41:53 |
| 25 Society guidelines because they are two -- the 13:04:03 | 25 to which arm of a trial is more beneficial. 13:41:54 |

| | |
|---|---|
| Page 158 | Page 160 |
| 1 A. That's a reasonable summary, sir. 13:42:00 | 1 comparing transgender youth who did not take 13:45:33 |
| 2 (Thereupon, Exhibit 25, Consensus 13:42:37 | 2 puberty-suppressing medication to transgender 13:45:36 |
| 3 Parameter: Research Methodologies to Evaluate 13:42:37 | 3 youth who do take puberty-suppressing 13:45:40 |
| 4 Neurodevelopmental Effects of Pubertal Suppression 13:42:37 | 4 medication, can you say unequivocally sitting 13:45:43 |
| 5 in Transgender Youth, was marked for purposes of 13:42:37 | 5 here today such a study would be unethical? 13:45:45 |
| 6 identification.) 13:42:37 | 6 A. No, sir, I cannot. 13:45:48 |
| 7 BY MR. FRAMPTON: 13:42:37 | 7 (Thereupon, Exhibit 26, Evidence 13:46:04 |
| 8 Q. Dr. Antommaria, I am handing you 13:42:37 | 8 Review: Gonadotropin Releasing Hormone Analogues 13:46:04 |
| 9 what I have marked as Exhibit 25. It is titled 13:42:38 | 9 for Children and Adolescents With Gender 13:46:04 |
| 10 Consensus Parameter: Research Methodologies to 13:42:43 | 10 Dysphoria, was marked for purposes of 13:46:04 |
| 11 Evaluate Neurodevelopmental Effects of Pubertal 13:42:45 | 11 identification.) 13:46:05 |
| 12 Suppression in Transgender Youth. The lead 13:42:50 | 12 BY MR. FRAMPTON: 13:46:05 |
| 13 author, Diane Chen. And, Dr. Antommaria, is 13:42:51 | 13 Q. We are going to go across the 13:46:11 |
| 14 this a paper that you are familiar with? 13:43:04 | 14 Atlantic. Not physically, unfortunately, that 13:46:12 |
| 15 A. One minute, sir. 13:43:06 | 15 would be more fun, but in our minds. I hand 13:46:15 |
| 16 Q. I don't think you cited it in your 13:43:18 | 16 you what I am marking as Exhibit 26, a document 13:46:24 |
| 17 expert report. I am just curious if you are 13:43:21 | 17 entitled Evidence Review: Gonadotropin 13:46:33 |
| 18 familiar with it. 13:43:24 | 18 Releasing Hormone Analogues For Children and 13:46:38 |
| 19 A. It is not an article with which I 13:43:25 | 19 Adolescents With Gender Dysphoria, prepared by 13:46:39 |
| 20 am familiar, sir. 13:43:28 | 20 NICE in October of 2020. Dr. Antommaria, are 13:46:42 |
| 21 Q. That's fine. You can put it aside 13:43:30 | 21 you familiar with that document? 13:46:55 |
| 22 then. Do you believe -- do you believe it 13:43:32 | 22 A. I am, sir. 13:46:56 |
| 23 would be ethical to conduct a cohort study in 13:43:45 | 23 Q. Do you understand it to be a 13:46:56 |
| 24 which you are comparing -- again, cohort study, 13:43:50 | 24 systematic review conducted by NICE on puberty 13:46:59 |
| 25 not RCT, cohort study in which you are 13:43:54 | 25 suppression for children and adolescents with 13:47:03 |
| Page 159 | Page 161 |
| 1 comparing adolescents receiving cross-sex 13:43:58 | 1 gender dysphoria? 13:47:05 |
| 2 hormones to transgender adolescents who for 13:44:02 | 2 A. Yes, sir. 13:47:06 |
| 3 whatever reason are not receiving cross-sex 13:44:04 | 3 Q. Just as a general matter, I am 13:47:15 |
| 4 hormones? 13:44:07 | 4 presuming you don't view the British medical 13:47:17 |
| 5 A. So whether a study is ethical 13:44:07 | 5 establishment as less technically sophisticated 13:47:20 |
| 6 relies on a variety of different factors. In 13:44:27 | 6 than the medical establishment in the United 13:47:22 |
| 7 part, it would rely on the importance of the 13:44:31 | 7 States, or do you? 13:47:24 |
| 8 question and what the participants were 13:44:36 | 8 A. That high level of abstraction, 13:47:25 |
| 9 anticipated to do. So in your general 13:44:41 | 9 no, sir, I don't consider them less 13:47:32 |
| 10 description, it's hard to know what the 13:44:45 | 10 sophisticated. 13:47:34 |
| 11 relevant outcome is. 13:44:46 | 11 Q. And in the community of medical 13:47:35 |
| 12 And the way in which individuals 13:44:49 | 12 experts on gender dysphoria, you regularly 13:47:38 |
| 13 who are and are not receiving treatment might 13:44:52 | 13 review and rely upon studies conducted in 13:47:46 |
| 14 differ from one another. So if there were 13:44:59 | 14 Europe, do you not? 13:47:49 |
| 15 greater specificity provided about a variety of 13:45:05 | 15 MR. CHEEK: Objection, form. 13:47:51 |
| 16 different factors, that might potentially be 13:45:10 | 16 THE WITNESS: Can you repeat the 13:47:58 |
| 17 ethical. But it's hard to answer your question 13:45:12 | 17 question just so I answer it correctly? 13:47:58 |
| 18 at the level of abstraction that you have posed 13:45:14 | 18 BY MR. FRAMPTON: 13:48:00 |
| 19 it. 13:45:17 | 19 Q. Sure. In the community of medical 13:48:00 |
| 20 Q. You can't say sitting here today 13:45:17 | 20 experts who deal with gender dysphoria, would 13:48:02 |
| 21 that it would unequivocally be unethical? 13:45:19 | 21 you agree that you regularly review and rely 13:48:07 |
| 22 MR. CHEEK: Objection, form. 13:45:24 | 22 upon studies conducted in Europe? 13:48:10 |
| 23 THE WITNESS: No, sir, I could not. 13:45:29 | 23 A. I think that's a fair 13:48:13 |
| 24 BY MR. FRAMPTON: 13:45:30 | 24 characterization, sir. 13:48:17 |
| 25 Q. And the same thing, a cohort study 13:45:30 | 25 Q. Sure. Go to page 14 of this 13:48:17 |

| | |
|---|---|
| Page 162 | Page 164 |
| 1 document, if you would. 13:48:25 | 1 putting aside your concern about what they mean 13:51:30 |
| 2 A. I am on page 14, sir. 13:48:34 | 2 by children, do you agree that is also an 13:51:33 |
| 3 Q. Thank you. All right. They have 13:48:35 | 3 important question? 13:51:36 |
| 4 got under review process -- well, let me just 13:48:42 | 4 A. Yes, also evaluating the safety as 13:51:36 |
| 5 ask you this: Have you undertaken a close 13:48:51 | 5 well as the efficacy is important. 13:51:41 |
| 6 review of the search methodology that the 13:48:53 | 6 Q. Looking on page 15, so one more 13:51:43 |
| 7 authors of this systematic review undertook? 13:48:57 | 7 page. Would you agree that in Table 1, they 13:51:54 |
| 8 A. Again, I apologize for asking. 13:49:01 | 8 appear at least to have provided a summary of 13:52:00 |
| 9 Can you repeat the question? 13:49:10 | 9 all of the included studies? 13:52:09 |
| 10 Q. Sure. Have you undertaken a close 13:49:11 | 10 A. That is the title of the table, 13:52:14 |
| 11 review of the search methodology employed by 13:49:12 | 11 sir. 13:52:27 |
| 12 the authors of this systematic review? 13:49:14 | 12 Q. And you don't have any reason to 13:52:27 |
| 13 A. And search methodology, meaning 13:49:16 | 13 doubt that they did that, correct? 13:52:30 |
| 14 the specific search strategies -- 13:49:24 | 14 A. I do not, sir. 13:52:31 |
| 15 Q. Yes. 13:49:28 | 15 Q. Let's go to page 4. 13:52:32 |
| 16 A. -- that were implemented in the 13:49:29 | 16 A. I'm sorry, page number what? 13:52:41 |
| 17 various databases that they searched? 13:49:31 | 17 Q. 4, sorry. I let my voice drop. 13:52:42 |
| 18 Q. Yes, sir. 13:49:33 | 18 A. I am on page 4, sir. 13:52:50 |
| 19 A. No, I have not, sir. 13:49:34 | 19 Q. Would you agree that on page 4, 13:52:51 |
| 20 Q. So sitting here today, you don't 13:49:35 | 20 they have identified the critical outcomes that 13:52:59 |
| 21 have any criticisms of that process? 13:49:37 | 21 they have examined in this systematic review? 13:53:07 |
| 22 A. As I have said, I haven't reviewed 13:49:45 | 22 A. So, sir, on page 4, I see the 13:53:10 |
| 23 it, so I don't currently have any criticisms. 13:49:46 | 23 first question about clinical effectiveness. I 13:53:18 |
| 24 Q. Let's look at the review 13:49:49 | 24 see that they are providing greater specificity 13:53:24 |
| 25 questions. We are still on page 14. Review 13:49:57 | 25 as to which aspects of clinical effectiveness 13:53:29 |
| Page 163 | Page 165 |
| 1 question 1: For children and adolescents with 13:50:01 | 1 they considered and that they appear to be 13:53:35 |
| 2 gender dysphoria, what is the clinical 13:50:04 | 2 distinguishing critical and important outcomes. 13:53:41 |
| 3 effectiveness of treatment with GnRH analogs 13:50:07 | 3 Q. And they then provide the studies 13:53:51 |
| 4 compared with one or a combination of 13:50:12 | 4 that they were able to identify and examine for 13:53:54 |
| 5 psychological support, social transitioning to 13:50:15 | 5 each of those outcomes, correct? 13:53:57 |
| 6 the desired gender, or no intervention. Did I 13:50:18 | 6 A. Yes, sir. 13:53:59 |
| 7 read that correctly? 13:50:21 | 7 Q. Go to page 76, if you would. 13:54:06 |
| 8 A. You did, sir. 13:50:21 | 8 A. I am on page 76, sir. 13:54:41 |
| 9 Q. Do you agree that's an important 13:50:22 | 9 Q. And on page 76, Appendix E, which 13:54:43 |
| 10 question for a systematic review to look at? 13:50:26 | 10 is a set of evidence tables further discussing 13:54:46 |
| 11 A. So it's been awhile since I have 13:50:28 | 11 the included studies, correct? 13:54:49 |
| 12 looked at this report, sir. It's unclear how 13:50:37 | 12 A. That's what it appears to be, sir. 13:54:51 |
| 13 they are distinguishing children and 13:50:40 | 13 Q. Are you familiar with the 13:55:08 |
| 14 adolescents. Given that GnRH analogs are only 13:50:43 | 14 Newcastle-Ottawa tool for cohort studies? 13:55:10 |
| 15 used in individuals who are adolescents, I 13:50:48 | 15 A. Not at a high level of detail, 13:55:14 |
| 16 don't quite understand the children and 13:50:55 | 16 sir. 13:55:23 |
| 17 component of the question. But in terms of the 13:50:57 | 17 Q. Well, do you have any 13:55:23 |
| 18 remainder of the question, yes, I think that 13:51:00 | 18 understanding of what that is? 13:55:24 |
| 19 that's an important question, sir. 13:51:11 | 19 A. It appears to be a tool that they 13:55:25 |
| 20 Q. Question 2 is: For children and 13:51:12 | 20 are utilizing to appraise the quality of the 13:55:29 |
| 21 adolescents with gender dysphoria, what is the 13:51:17 | 21 evidence that appears to offer domains that are 13:55:32 |
| 22 short-term and long-term safety of GnRH analogs 13:51:21 | 22 not identical with the domains utilized by the 13:55:40 |
| 23 compared with one or a combination of 13:51:24 | 23 GRADE approach, sir. 13:55:45 |
| 24 psychological support, social transitioning to 13:51:25 | 24 Q. Okay. Have you studied what the 13:55:46 |
| 25 the desired gender, or no intervention. And 13:51:28 | 25 Newcastle-Ottawa tool is? 13:55:54 |

| | |
|---|---|
| Page 166 | Page 168 |
| 1 A. No, sir, I have not. 13:55:58 | 1 a level, but I don't -- you know, I don't 13:59:43 |
| 2 Q. Do you recognize it as a tool that 13:56:00 | 2 necessarily see a comprehensive list of 13:59:47 |
| 3 is sometimes cited in the literature? 13:56:05 | 3 upgrades and downgrades and the specific reason 13:59:49 |
| 4 A. I do, sir. 13:56:06 | 4 listed. So it would take me more time to 13:59:54 |
| 5 Q. And then if we -- go to page 99, 13:56:07 | 5 refamiliarize myself with the table. 13:59:58 |
| 6 if you would. 13:56:14 | 6 Q. Footnote 2 does say downgraded one 13:59:59 |
| 7 A. I am on page 99, sir. 13:56:21 | 7 level. The cohort study by de Vries, et al., 14:00:04 |
| 8 Q. All right. And here, they have 13:56:22 | 8 2011, was assessed as at high risk of bias, 14:00:08 |
| 9 given us GRADE profiles for the various studies 13:56:24 | 9 poor quality overall, lack of blinding, and no 14:00:10 |
| 10 included, correct? 13:56:30 | 10 control group, correct? 14:00:13 |
| 11 A. So, again, sir, I haven't looked 13:56:32 | 11 A. Yes, sir. 14:00:16 |
| 12 at this recently. It's in a different format 13:56:52 | 12 Q. Okay. And if you downgraded an 14:00:16 |
| 13 in terms of, like, Table 2 only includes a 13:56:58 | 13 observational -- let me back up. Observational 14:00:21 |
| 14 single study instead of all of the studies, 13:57:02 | 14 studies start out at low quality under the 14:00:24 |
| 15 sir. 13:57:06 | 15 GRADE methodology, right? 14:00:27 |
| 16 Q. Well, do you know how many studies 13:57:06 | 16 A. Yes, that's the initial category 14:00:28 |
| 17 they identified as included for that particular 13:57:11 | 17 to which they are assigned. 14:00:32 |
| 18 question? 13:57:15 | 18 Q. And if it was downgraded one 14:00:32 |
| 19 A. No, sir, I don't. I am just -- in 13:57:15 | 19 level, that would take it to very low, correct? 14:00:34 |
| 20 looking at this briefly at this time, I am just 13:57:26 | 20 A. Correct. 14:00:36 |
| 21 noting that the format of the table is 13:57:29 | 21 Q. And that appears to be what they 14:00:37 |
| 22 significantly different than the evidence 13:57:34 | 22 are reflecting in this table of the de Vries 14:00:40 |
| 23 tables presented in Appendix E. 13:57:37 | 23 study, correct? 14:00:47 |
| 24 Q. Right. But in Appendix G, they 13:57:41 | 24 A. Oh, and as I said, I am just 14:00:47 |
| 25 have given an evaluation of risk of bias, 13:57:52 | 25 unclear as to why the far right column is 14:00:51 |
| Page 167 | Page 169 |
| 1 indirectness, inconsistency, and imprecision 13:57:57 | 1 labeled as certainty as opposed to grade of the 14:00:53 |
| 2 for each of the studies, correct? 13:58:00 | 2 evidence. 14:00:56 |
| 3 A. They do, sir. There would be a 13:58:01 | 3 Q. All right. Go to page 74. 14:00:56 |
| 4 fifth category, if I recall correctly. And 13:58:17 | 4 A. Yes, sir. 14:01:21 |
| 5 it's not clear to me, again, not having 13:58:21 | 5 Q. This appears to be a table of 14:01:21 |
| 6 reviewed this recently why that fifth category 13:58:26 | 6 excluded studies; is that correct? 14:01:24 |
| 7 isn't included. 13:58:29 | 7 A. Yes, sir. 14:01:26 |
| 8 Q. Right. We don't see publication 13:58:29 | 8 Q. So they have listed the 14:01:29 |
| 9 bias, correct? 13:58:31 | 9 potentially relevant studies that they 14:01:32 |
| 10 A. I would have to double-check and 13:58:31 | 10 excluded, and then they have given reasons for 14:01:35 |
| 11 see which one is the one that is omitted. 13:58:33 | 11 the exclusion, right? 14:01:37 |
| 12 Q. And then they provide a certainty 13:58:36 | 12 A. One moment, sir. 14:01:38 |
| 13 rating, correct? 13:58:40 | 13 Q. Sure. 14:01:42 |
| 14 A. They do, sir. 13:58:40 | 14 A. So these appear to be the studies 14:01:57 |
| 15 Q. So they are telling you, for 13:58:48 | 15 that pass the level of screening for titles and 14:01:59 |
| 16 example, in Table 2, study one, they are 13:58:59 | 16 abstracts but were excluded at the level of 14:02:05 |
| 17 telling you that this study -- this is a cohort 13:59:03 | 17 reviewing the full article, and they have 14:02:07 |
| 18 study, and it was downgraded one level because 13:59:08 | 18 listed them, these 16 articles and the reasons 14:02:12 |
| 19 of high risk of bias, correct? Is that what 13:59:13 | 19 for exclusion. 14:02:15 |
| 20 they are reflecting here? 13:59:18 | 20 Q. And that's good practice if you 14:02:16 |
| 21 A. So, again, sir, it's been awhile 13:59:19 | 21 are doing a systematic review, is it not? 14:02:17 |
| 22 since I have looked at this. I am unclear as 13:59:24 | 22 A. Yes, sir. 14:02:19 |
| 23 to why they are listing a certainty category as 13:59:26 | 23 Q. That way, if you are a researcher 14:02:21 |
| 24 opposed to a grade of efficacy category. And 13:59:31 | 24 in the field and you think, well, why didn't 14:02:26 |
| 25 you represented this as having been downgraded 13:59:34 | 25 they include X, Y, or Z study, you know you can 14:02:29 |

| | |
|--|---|
| Page 170 | Page 172 |
| 1 look at this table and have some idea as to 14:02:33 | 1 right? 14:05:28 |
| 2 what their reasons were, correct? 14:02:35 | 2 A. I have, sir. 14:05:28 |
| 3 A. Correct, sir. 14:02:37 | 3 Q. And similar to the last one we 14:05:29 |
| 4 Q. All right. Let's flip back to 14:02:37 | 4 looked at, you don't have any criticisms of 14:05:36 |
| 5 page 4. 14:02:40 | 5 their search strategy or methodology in 14:05:40 |
| 6 A. I am on page 4, sir. 14:02:47 | 6 conducting this systematic review, do you? 14:05:43 |
| 7 Q. Thank you. Directly under 14:02:48 | 7 A. Not at this time, sir. 14:05:45 |
| 8 critical outcomes, it says: The critical 14:02:49 | 8 Q. Okay. Let's go to page 14. So 14:05:46 |
| 9 outcomes for decision making are the impact on 14:02:53 | 9 review question 1: For children and 14:06:07 |
| 10 gender dysphoria, mental health, and quality of 14:02:56 | 10 adolescents with gender dysphoria, what is the 14:06:12 |
| 11 life. The quality of evidence for these 14:02:58 | 11 clinical effectiveness -- 14:06:14 |
| 12 outcomes was assessed as very low certainty 14:03:01 | 12 A. I'm sorry. 14:06:15 |
| 13 using modified GRADE. Did I read that 14:03:04 | 13 Q. I am in the -- are we in the wrong 14:06:16 |
| 14 correctly? 14:03:06 | 14 place? I'm sorry. 14:06:19 |
| 15 A. You did, sir. 14:03:07 | 15 A. I am on page 14. I don't see 14:06:20 |
| 16 Q. So they do claim to be using some 14:03:07 | 16 review questions. 14:06:21 |
| 17 form of the GRADE methodology, correct? 14:03:11 | 17 Q. I think it's at the very bottom. 14:06:22 |
| 18 A. Yes, sir. 14:03:13 | 18 A. Oh, thank you so much. 14:06:25 |
| 19 Q. And have you done any research on 14:03:14 | 19 Q. No worries. It's cut off, which 14:06:26 |
| 20 NICE to understand how that particular 14:03:18 | 20 makes it a little bit more difficult. All 14:06:29 |
| 21 organization might modify the GRADE 14:03:21 | 21 right. So No. 1: For children and adolescents 14:06:31 |
| 22 methodology? 14:03:26 | 22 with gender dysphoria, what is the clinical 14:06:35 |
| 23 A. So, sir, I am not sure whether the 14:03:26 | 23 effectiveness of treatment with 14:06:38 |
| 24 reference to modify GRADE is a modification 14:03:32 | 24 gender-affirming hormones compared with one or 14:06:41 |
| 25 that NICE made or it is one of the various 14:03:35 | 25 a combination of psychological support, social 14:06:44 |
| Page 171 | Page 173 |
| 1 updated versions of the GRADE methodology. 14:03:39 | 1 transitioning to the desired gender, or no 14:06:47 |
| 2 Q. Fair enough. That assessment of 14:03:41 | 2 intervention. And, Dr. Antommara, presumably 14:06:51 |
| 3 very low certainty would appear to match up 14:04:06 | 3 putting aside again your concern about how they 14:06:55 |
| 4 with that GRADE evidence table we looked at, 14:04:12 | 4 are using the word children, do you agree this 14:06:58 |
| 5 correct, where they called it certainty? 14:04:15 | 5 is an important question? 14:07:02 |
| 6 A. It would appear to, sir. 14:04:17 | 6 A. I do, sir. 14:07:02 |
| 7 Q. Okay. You don't have any 14:04:18 | 7 Q. No. 2: For children and 14:07:03 |
| 8 criticisms of the thoroughness of the NICE 14:04:25 | 8 adolescents with gender dysphoria, what is the 14:07:08 |
| 9 review that we are looking at right now, do 14:04:29 | 9 short-term and long-term safety of 14:07:09 |
| 10 you? 14:04:31 | 10 gender-affirming hormones compared with one or 14:07:12 |
| 11 A. Not at this time, sir. 14:04:31 | 11 a combination of psychological support, social 14:07:14 |
| 12 (Thereupon, Exhibit 27, Evidence 14:04:31 | 12 transitioning to the desired gender, or no 14:07:17 |
| 13 Review: Gender-Affirming Hormones For Children and 14:04:31 | 13 intervention. Did I read that correctly? 14:07:20 |
| 14 Adolescents With Gender Dysphoria, was marked for 14:04:31 | 14 A. You did, sir. 14:07:22 |
| 15 purposes of identification.) 14:05:00 | 15 Q. And putting aside your concern 14:07:23 |
| 16 BY MR. FRAMPTON: 14:05:00 | 16 about how they are using the word children, you 14:07:25 |
| 17 Q. Dr. Antommara, I am going to hand 14:05:00 | 17 agree this is also an important question, 14:07:28 |
| 18 you what I am marking as Exhibit 27, which is 14:05:01 | 18 correct? 14:07:30 |
| 19 titled Evidence Review: Gender-Affirming 14:05:07 | 19 A. I do, sir. 14:07:30 |
| 20 Hormones For Children and Adolescents With 14:05:10 | 20 Q. Let's go to page 70, please. 14:07:33 |
| 21 Gender Dysphoria. Dr. Antommara, do you 14:05:12 | 21 A. Seven zero, sir? 14:07:43 |
| 22 recognize this as the other NICE 2020 14:05:17 | 22 Q. Seven zero, yes. 14:07:45 |
| 23 systematic review of evidence? 14:05:22 | 23 A. I am on page 70, sir. 14:07:54 |
| 24 A. Yes, sir. 14:05:24 | 24 Q. And this one also has a list of 14:07:55 |
| 25 Q. And you have reviewed this before, 14:05:26 | 25 excluded studies; is that correct? 14:07:57 |

| | |
|--|--|
| Page 174 | Page 176 |
| 1 A. It does, sir. 14:07:59 | 1 (Pause in proceedings.) 14:11:17 |
| 2 Q. Okay. And on page 72, does it 14:07:59 | 2 MR. FRAMPTON: All right. Let's go 14:15:27 |
| 3 appear that one of the excluded studies is the 14:08:13 | 3 back on the record. 14:15:27 |
| 4 de Vries 2014 study in Pediatrics? 14:08:18 | 4 BY MR. FRAMPTON: 14:15:27 |
| 5 A. It does, sir. 14:08:23 | 5 Q. All right. Dr. Antommaria, would 14:15:27 |
| 6 Q. Okay. And they have provided the 14:08:26 | 6 you go to page 6, please? 14:15:27 |
| 7 reasons why they excluded that study, correct? 14:08:28 | 7 A. I am on page 6, sir. 14:15:27 |
| 8 A. I am just reading the reasons, 14:08:30 | 8 Q. Great. At the top of that page, 14:15:27 |
| 9 sir. 14:08:42 | 9 do you see a discussion of a study by Kuper, et 14:15:27 |
| 10 Q. Sure. 14:08:42 | 10 al., published in 2020? 14:15:27 |
| 11 A. Yes, they provide reasons for 14:08:42 | 11 A. Yes, sir. 14:15:27 |
| 12 excluding the study, sir. 14:08:45 | 12 Q. Is that a study you are familiar 14:15:27 |
| 13 Q. And that was the study the 14:08:46 | 13 with? 14:15:28 |
| 14 Endocrine Society cited to support its 14:08:51 | 14 A. Sir, do you know where the full 14:15:28 |
| 15 recommendation for the use of cross-sex 14:08:58 | 15 reference to that article is? 14:15:28 |
| 16 hormones, correct? 14:09:00 | 16 Q. Yeah. Go to the very last page, 14:15:28 |
| 17 A. It was one of the studies, sir. 14:09:01 | 17 it's at the top. 14:15:28 |
| 18 Q. And the other was an NHS document; 14:09:05 | 18 A. I would need to look at the 14:15:28 |
| 19 is that right? 14:09:09 | 19 article itself. 14:15:28 |
| 20 A. For that single sentence, yes. 14:09:09 | 20 (Thereupon, Exhibit 28, Body 14:15:40 |
| 21 Q. Go to page 4, please. 14:09:22 | 21 Dissatisfaction and Mental Health Outcomes of 14:15:40 |
| 22 MR. CHEEK: Which page? 14:09:32 | 22 Youth on Gender-Affirming Hormone Therapy, was 14:15:40 |
| 23 MR. FRAMPTON: 4. Sorry, I let my 14:09:33 | 23 marked for purposes of identification.) 14:15:52 |
| 24 voice drop again. 14:09:35 | 24 BY MR. FRAMPTON: 14:15:52 |
| 25 THE WITNESS: I am on page 4, sir. 14:09:37 | 25 Q. I hand you what I am marking as 14:15:56 |
| Page 175 | Page 177 |
| 1 BY MR. FRAMPTON: 14:09:38 | 1 Exhibit 28. It's a study entitled 14:15:57 |
| 2 Q. All right. And it looks like in 14:09:38 | 2 Testicular -- wait a minute, that's the wrong 14:16:03 |
| 3 this review as well they have identified their 14:09:44 | 3 one. What did I hand you? 14:16:08 |
| 4 critical outcomes and listed the studies that 14:09:49 | 4 A. You handed me the Kuper study, 14:16:10 |
| 5 were relevant to each of their critical 14:09:52 | 5 sir. 14:16:12 |
| 6 outcomes, correct? 14:09:54 | 6 Q. That's what I meant to hand you. 14:16:12 |
| 7 A. Yes, sir. 14:09:54 | 7 I was looking at the wrong tab. All right. I 14:16:13 |
| 8 Q. And you have not reviewed these to 14:10:06 | 8 handed you a study called Body Dissatisfaction 14:16:16 |
| 9 determine whether you agree that they picked 14:10:09 | 9 and Mental Health Outcomes of Youth on 14:16:18 |
| 10 out the most pertinent studies for each 14:10:11 | 10 Gender-Affirming Hormone Therapy, correct? 14:16:21 |
| 11 outcome, have you? 14:10:14 | 11 A. You did, sir. 14:16:22 |
| 12 A. I have not reviewed it for that 14:10:14 | 12 Q. All right. It appears to be 14:16:24 |
| 13 purpose, sir. 14:10:18 | 13 the -- you are calling -- do we pronounce her 14:16:30 |
| 14 Q. And would the same be true for the 14:10:49 | 14 name Kuper? Is that your understanding, or do 14:16:35 |
| 15 studies listed for the important outcomes? 14:10:51 | 15 you know? 14:16:38 |
| 16 A. Would the same what be true, sir? 14:10:55 | 16 A. I don't know, sir. 14:16:38 |
| 17 Q. Let me just ask it again. With 14:11:00 | 17 Q. All right. In any event -- 14:16:39 |
| 18 respect to the studies they have listed 14:11:01 | 18 A. I am happy to refer to it as the 14:16:44 |
| 19 concerning what they have identified as 14:11:03 | 19 Kuper study, sir. 14:16:46 |
| 20 important outcomes, you have not gone and 14:11:05 | 20 Q. I don't know, either, so we will 14:16:47 |
| 21 determined if you agree or disagree with their 14:11:08 | 21 do our best. The question was this is the 14:16:48 |
| 22 list of included studies? 14:11:11 | 22 study that we just looked at that was cited in 14:16:51 |
| 23 A. No, sir, I haven't had to a reason 14:11:15 | 23 the NICE review on cross-sex hormone therapy, 14:16:54 |
| 24 to do that. 14:11:17 | 24 correct? 14:17:00 |
| 25 Q. Got it. 14:11:17 | 25 A. Yes, on gender-affirming hormone 14:17:00 |

| | |
|---|---|
| Page 178 | Page 180 |
| 1 therapy. 14:17:04 | 1 interventions are more long-term risks, risks 14:20:08 |
| 2 Q. Yes, okay. You asked to see the 14:17:04 | 2 that don't necessarily manifest in 1 to 5.8 14:20:13 |
| 3 whole thing. Is this a study that you are 14:17:08 | 3 years? 14:20:18 |
| 4 familiar with? 14:17:10 | 4 MR. CHEEK: Objection, form. 14:20:18 |
| 5 A. I may have seen it in the past. I 14:17:10 | 5 THE WITNESS: There may be risks that 14:20:24 |
| 6 am not particularly familiar with it, sir. 14:17:14 | 6 become apparent after 5.8 years that weren't 14:20:26 |
| 7 Q. All right. We won't mess with it 14:17:16 | 7 apparent prior to that time. 14:20:30 |
| 8 then, that's fine. Put it aside. All right. 14:17:18 | 8 BY MR. FRAMPTON: 14:20:31 |
| 9 Go back to the NICE review, the 14:17:32 | 9 Q. Well, 5.8 years is not typically 14:20:32 |
| 10 Gender-Affirming Hormones For Children and 14:17:37 | 10 long enough for the cardiovascular risks to 14:20:36 |
| 11 Adolescents With Gender Dysphoria. 14:17:40 | 11 result in someone having a heart attack or 14:20:39 |
| 12 MR. CHEEK: So you are referring to 14:17:42 | 12 stroke or something like that; is that correct? 14:20:42 |
| 13 Defendants' Exhibit 27? 14:17:44 | 13 A. There would be cardiovascular 14:20:44 |
| 14 MR. FRAMPTON: Yes. 14:17:46 | 14 risks which appeared later. There are also to 14:20:54 |
| 15 MR. CHEEK: Thank you. 14:17:47 | 15 the best of my knowledge adult studies with a 14:21:01 |
| 16 BY MR. FRAMPTON: 14:17:48 | 16 longer period of follow-up to look at those 14:21:03 |
| 17 Q. Go to page 13, if you would. I 14:17:59 | 17 risks in adult individuals, sir. 14:21:05 |
| 18 don't know if I already told you that or not. 14:18:02 | 18 Q. And they found increased 14:21:06 |
| 19 A. I am on page 13, sir. 14:18:08 | 19 mortality, have they not, or do you know? 14:21:12 |
| 20 Q. Great. The second paragraph under 14:18:10 | 20 A. I believe that some of them have 14:21:16 |
| 21 discussion: All the studies included in the 14:18:12 | 21 found increased mortality, sir, although not 14:21:20 |
| 22 evidence review are uncontrolled observational 14:18:15 | 22 necessarily primarily or solely associated with 14:21:26 |
| 23 studies which are subject to bias and 14:18:18 | 23 cardiovascular risks. 14:21:31 |
| 24 confounding and were a very low certainty using 14:18:21 | 24 Q. Some have found increased 14:21:34 |
| 25 modified GRADE. A fundamental limitation of 14:18:23 | 25 mortality associated with cardiovascular risks, 14:21:39 |
| Page 179 | Page 181 |
| 1 all the controlled studies included in this 14:18:26 | 1 have they not? Do you know? 14:21:42 |
| 2 review is that any changes in scores from 14:18:28 | 2 A. So my specific recall is that some 14:21:43 |
| 3 baseline to follow-up could be attributed to a 14:18:30 | 3 of the studies that have looked at long-term 14:21:51 |
| 4 regression to the mean. Did I read that 14:18:33 | 4 mortality attributed a significant component of 14:21:54 |
| 5 correctly? 14:18:37 | 5 long-term mortality to things such as HIV. And 14:21:59 |
| 6 A. Yes, you did, sir. 14:18:37 | 6 so I would need to look at the specific 14:22:06 |
| 7 Q. Do you agree that a reasonable 14:18:40 | 7 contribution that cardiovascular risk made to 14:22:11 |
| 8 scientist could share that concern about the 14:18:41 | 8 long-term mortality. 14:22:14 |
| 9 uncontrolled observational studies? 14:18:47 | 9 Q. And that wasn't something you 14:22:15 |
| 10 A. That is a possible explanation for 14:18:49 | 10 dealt with in your report, correct? 14:22:18 |
| 11 the results, sir. 14:18:54 | 11 A. So in my report, I discuss the 14:22:20 |
| 12 Q. Go to page 14. I'm sorry, let's 14:18:55 | 12 relative risks and benefits of gender-affirming 14:22:27 |
| 13 go to 13 again. I was wrong. Let's just read 14:19:29 | 13 health care and opine that the potential 14:22:30 |
| 14 the next paragraph there. The included studies 14:19:33 | 14 benefits may outweigh the potential risks, sir. 14:22:34 |
| 15 have relatively short follow-up, with an 14:19:37 | 15 And so that would be one of the considerations 14:22:37 |
| 16 average duration of treatment with 14:19:39 | 16 of the potential risks. 14:22:39 |
| 17 gender-affirming hormones between around 1 year 14:19:40 | 17 Q. Let's go to the next paragraph on 14:22:40 |
| 18 and 5.8 years. Further studies with a longer 14:19:43 | 18 page 13. Most studies included in this review 14:22:45 |
| 19 follow-up are needed to determine the long-term 14:19:47 | 19 did not report comorbidities, physical or 14:22:52 |
| 20 effect of gender-affirming hormones for 14:19:50 | 20 mental health, and no study reported 14:22:55 |
| 21 children and adolescents with gender dysphoria. 14:19:52 | 21 concomitant treatments in detail. Because of 14:22:58 |
| 22 Did I read that correctly? 14:19:56 | 22 this, it is not clear whether any changes seen 14:23:01 |
| 23 A. You did, sir. 14:19:57 | 23 were due to gender-affirming hormones or other 14:23:04 |
| 24 Q. Do you agree that some of the 14:19:58 | 24 treatments the participants may have received. 14:23:08 |
| 25 risks associated with these hormonal 14:20:02 | 25 Did I read that correctly? 14:23:12 |

| | |
|--|---|
| Page 182 | Page 184 |
| 1 A. You did, sir. 14:23:13 | 1 Q. And at least with respect to the 14:26:54 |
| 2 Q. And other treatments the 14:23:14 | 2 critical outcomes, the NICE review rated the 14:27:00 |
| 3 participants may have received could include 14:23:17 | 3 quality of evidence as very low, correct? 14:27:04 |
| 4 psychiatric medication, could it not? 14:23:20 | 4 A. For -- we have just reviewed the 14:27:06 |
| 5 A. That is one of the possible other 14:23:22 | 5 efficacy, we haven't looked at the safety. But 14:27:14 |
| 6 treatments, sir. 14:23:26 | 6 yes, relative to the efficacy of 14:27:18 |
| 7 Q. Another possible treatment could 14:23:27 | 7 gender-affirming hormones and the efficacy and 14:27:21 |
| 8 be some form of mental health therapy; is that 14:23:29 | 8 I believe safety of GnRH agonist, yes, it was 14:27:25 |
| 9 correct? 14:23:32 | 9 very low. 14:27:31 |
| 10 A. Yes, sir. 14:23:32 | 10 Q. So would you agree there is at 14:27:32 |
| 11 Q. Go back to page 4. 14:23:34 | 11 least some degree of discordance there? 14:27:33 |
| 12 A. I am on page 4, sir. 14:23:55 | 12 A. They rated the quality of the 14:27:35 |
| 13 Q. Under critical outcomes, using 14:23:57 | 13 evidence differently, sir. 14:27:39 |
| 14 modified GRADE, this review rated the quality 14:23:59 | 14 Q. And would you take the position 14:27:41 |
| 15 of evidence on clinical effectiveness as very 14:24:03 | 15 that no reasonable scientist could agree with 14:27:47 |
| 16 low certainty, correct? 14:24:13 | 16 the NICE reviews on that point and disagree 14:27:52 |
| 17 A. Yes, sir. 14:24:14 | 17 with the Endocrine Society? 14:27:55 |
| 18 Q. And in the GRADE methodology, 14:24:24 | 18 A. So, sir, I thought that part of 14:28:00 |
| 19 there is a qualitative difference between low 14:24:27 | 19 our conversation earlier today is that these 14:28:04 |
| 20 and very low quality evidence, correct? 14:24:34 | 20 were matters of judgment and that it would be a 14:28:09 |
| 21 A. That is why they have two 14:24:37 | 21 matter of judgment as to whether the evidence 14:28:16 |
| 22 different categories, sir. 14:24:41 | 22 is of low or very low quality. 14:28:20 |
| 23 Q. I assumed so, that's why I asked 14:24:41 | 23 Q. All right. Go back to Exhibit 15, 14:28:22 |
| 24 the question. And so when you are formulating 14:24:43 | 24 which is the Cass Review. 14:28:29 |
| 25 a treatment recommendation, it matters whether 14:24:47 | 25 A. One moment, sir. I have it, sir. 14:28:54 |
| Page 183 | Page 185 |
| 1 the evidence base is low or very low quality, 14:24:54 | 1 Q. All right. Let's look at page -- 14:29:01 |
| 2 correct? 14:24:57 | 2 I mean, I'm sorry, paragraph 4.15. 14:29:13 |
| 3 A. The quality of the evidence is one 14:24:57 | 3 Clinicians -- 14:29:19 |
| 4 of the factors that is considered in making 14:25:02 | 4 A. Can you tell me what page number 14:29:19 |
| 5 recommendations, sir. 14:25:06 | 5 that is? 14:29:21 |
| 6 Q. Would you agree that there is 14:25:07 | 6 Q. I'm sorry, 47. 14:29:21 |
| 7 discordance between the Endocrine Society's 14:25:13 | 7 A. 4.15, sir? 14:29:30 |
| 8 assessment of the evidence on gender-affirming 14:25:17 | 8 Q. Yes, sir. 14:29:31 |
| 9 hormones and the NICE's assessment? 14:25:23 | 9 A. All right. 14:29:31 |
| 10 A. May I, sir? 14:25:28 | 10 Q. Clinicians and associated 14:29:32 |
| 11 Q. Yeah. And what I am getting at is 14:25:32 | 11 professionals we have spoken to have 14:29:34 |
| 12 one assessed the quality of evidence as low, 14:25:37 | 12 highlighted the lack of an agreed consensus on 14:29:36 |
| 13 and the other assessed it as very low. 14:25:39 | 13 the different possible implications of 14:29:39 |
| 14 A. Again, it would be helpful to 14:25:49 | 14 gender-related stress, whether it may be an 14:29:42 |
| 15 refer specifically to the guideline and to 14:25:51 | 15 indication that the child or young person is 14:29:44 |
| 16 specific recommendations that we discussed 14:25:53 | 16 likely to grow up to be a transgender adult and 14:29:46 |
| 17 earlier today. May I? 14:25:57 | 17 would benefit from physical intervention or 14:29:49 |
| 18 Q. Sure. 14:25:59 | 18 whether it may be a manifestation of other 14:29:51 |
| 19 A. So, sir, the Endocrine Society 14:26:39 | 19 causes of distress. Following directly from 14:29:54 |
| 20 makes six recommendations relative to the 14:26:41 | 20 this is a spectrum of opinion about the correct 14:29:56 |
| 21 treatment of adolescents. They evaluate the 14:26:43 | 21 clinical approach ranging broadly between those 14:29:58 |
| 22 quality of evidence for five of those 14:26:46 | 22 two take a more gender-affirmative approach to 14:30:01 |
| 23 recommendations as being of low quality and of 14:26:47 | 23 those who take a more cautious development and 14:30:05 |
| 24 one of those recommendations as being very low 14:26:51 | 24 informed approach. Did I read that correctly? 14:30:08 |
| 25 quality. 14:26:54 | 25 A. You did, sir. 14:30:09 |

| | |
|---|--|
| <p style="text-align: right;">Page 186</p> <p>1 Q. And do you have any doubt that the 14:30:16 2 authors conducting the Cass Review found a lack 14:30:17 3 of consensus among the relevant clinicians? 14:30:19 4 A. So I am not aware of the specific 14:30:33 5 methodology that they utilized in order to 14:30:36 6 ascertain that conclusion. But given the 14:30:39 7 general credibility of Dr. Cass and the British 14:30:42 8 medical profession, I would not have a prima 14:30:46 9 facie reason to think that this is inaccurate. 14:30:49 10 Q. Fair enough. All right. Go to 14:30:52 11 page 63. 14:30:54 12 A. Yes, sir. 14:31:02 13 Q. 5.21. The lack of available high 14:31:03 14 level evidence was reflected in the recent NICE 14:31:08 15 review into the use of puberty blockers and 14:31:12 16 feminizing/masculinizing hormones commissioned 14:31:16 17 by NHS England with the evidence being too 14:31:19 18 inconclusive to form the basis of a policy 14:31:23 19 position. Did I read that correctly? 14:31:26 20 A. You did, sir. 14:31:29 21 Q. Would you agree that she is 14:31:30 22 saying -- well, this interim review based on 14:31:38 23 what they deemed to be too inconclusive in 14:31:46 24 evidence did not make specific treatment 14:31:49 25 recommendations, correct? 14:31:53</p> | <p style="text-align: right;">Page 188</p> <p>1 Q. They did not promote a policy 14:33:42 2 position, correct? 14:33:44 3 A. So this is a -- at the point of 14:33:44 4 issuing an interim report, the interim report 14:33:52 5 does not contain a policy position relative to 14:33:58 6 the use of puberty blockers and 14:34:02 7 gender-affirming hormone therapy. It makes 14:34:06 8 other recommendations for the organization of 14:34:11 9 services to individuals with gender dysphoria, 14:34:15 10 but it does not make recommendations either for 14:34:19 11 or against the use of puberty blockers or 14:34:22 12 gender-affirming hormone therapy. 14:34:29 13 Q. And they tell us the reason for 14:34:30 14 that is inconclusive evidence, correct? 14:34:32 15 A. So that's what this sentence says. 14:34:33 16 I don't -- one might still be able to take a 14:34:50 17 policy position relative to there being 14:34:55 18 inconclusive evidence. That's why I am having 14:34:58 19 difficulty interpreting this statement. We 14:35:00 20 frequently in medicine make -- have to make 14:35:03 21 medical judgments and decisions on the 14:35:06 22 available evidence. 14:35:09 23 Q. Making a judgment for a particular 14:35:11 24 patient is different from making a clinical 14:35:15 25 practice guideline recommendation, correct, 14:35:19</p> |
| <p style="text-align: right;">Page 187</p> <p>1 A. My understanding of the interim 14:31:54 2 report is that the Cass Review does not make 14:32:02 3 specific recommendations relative to the use of 14:32:07 4 so-called puberty blockers or gender-affirming 14:32:10 5 hormone therapy for adolescents. 14:32:12 6 Q. They believed in this interim 14:32:16 7 report that the evidence was too inconclusive 14:32:19 8 to form the basis of a policy position; is that 14:32:21 9 correct? 14:32:24 10 A. So that's what the sentence that 14:32:24 11 you read states, sir. 14:32:32 12 Q. Would you interpret that as them 14:32:33 13 saying that there is uncertainty as to what the 14:32:37 14 proper policy should be? 14:32:40 15 MR. CHEEK: Objection, speculation. 14:32:43 16 THE WITNESS: So, sir, we have spent 14:32:44 17 a considerable amount of time discussing the GRADE 14:32:50 18 approach to rating the quality of the evidence. 14:32:54 19 We haven't discussed the GRADE approach to making 14:32:59 20 recommendations. It's not clear to me at this 14:33:03 21 point that the Cass Review has under -- has 14:33:10 22 undertaken the necessary steps to formulate a 14:33:15 23 policy position. So I am somewhat agnostic to the 14:33:23 24 meaning of this sentence and its implications. 14:33:31 25 BY MR. FRAMPTON: 14:33:41</p> | <p style="text-align: right;">Page 189</p> <p>1 that would apply to many patients? 14:35:24 2 A. They are distinct but related, 14:35:25 3 sir. 14:35:32 4 Q. All right. Let's go -- 14:35:33 5 A. May I set this aside, sir? 14:35:52 6 Q. Yes. 14:35:54 7 (Thereupon, Exhibit 29, Care of 14:35:55 8 Children and Adolescents With Gender Dysphoria, 14:35:55 9 was marked for purposes of identification.) 14:36:05 10 BY MR. FRAMPTON: 14:36:05 11 Q. I show you what I am marking as 14:36:09 12 Defendants' Exhibit 29, a document entitled 14:36:11 13 Care of Children and Adolescents With Gender 14:36:20 14 Dysphoria. And, Dr. Antommara, are you 14:36:21 15 familiar with this document? 14:36:27 16 A. I am, sir. 14:36:28 17 Q. And what do you understand it to 14:36:31 18 be? 14:36:33 19 A. I understand it to be an official 14:36:33 20 English language translation of the summary of 14:36:39 21 the Swedish National Board of Health and 14:36:48 22 Welfare's report on the care of adolescents and 14:36:51 23 children with gender dysphoria, sir. 14:36:55 24 Q. Okay. Turn to page 3, please. 14:36:58 25 A. Yes, sir. 14:37:01</p> |

| | |
|---|---|
| Page 190 | Page 192 |
| <p>1 Q. The last paragraph begins: A 14:37:01 2 systematic review published in 2022 by the 14:37:07 3 Swedish Agency For Health Technology Assessment 14:37:11 4 and Assessment of Social Services, endnote 2, 14:37:16 5 shows that the state of knowledge largely 14:37:20 6 remains unchanged compared to 2015. Did I read 14:37:21 7 that correctly? 14:37:24 8 A. You did, sir. 14:37:25 9 Q. All right. So they are 14:37:26 10 purporting -- they purport to be citing to a 14:37:29 11 systematic review published in 2022, correct? 14:37:32 12 A. Yes, sir. 14:37:34 13 Q. Let me show you your expert 14:37:38 14 report, which oddly enough this far into our 14:37:45 15 deposition I have not yet marked, but we will 14:37:47 16 do that. 14:37:49 17 (Thereupon, Exhibit 30, Expert 14:37:50 18 Declaration of Armand H. Antommara, M.D., Ph.D., 14:37:50 19 FAAP, HEC-C, was marked for purposes of 14:37:50 20 identification.) 14:38:09 21 BY MR. FRAMPTON: 14:38:09 22 Q. I show you what I am marking as 14:38:09 23 Exhibit 30. And, Dr. Antommara, is Exhibit 30 14:38:11 24 your expert report in this case? 14:38:27 25 A. One moment, sir. 14:38:29</p> | <p>1 are double printed. Can you give me the 14:39:59 2 paragraph number, sir? 14:40:04 3 Q. Yeah, I am looking at footnote -- 14:40:06 4 looking at footnote 41, but the second page of 14:40:12 5 footnote 41. 14:40:17 6 A. So I'm sorry, the copy of the 14:40:27 7 report that you gave me has the references 14:40:29 8 included in the paragraph and not footnotes. 14:40:36 9 Q. Let me see it. We will mark a new 14:40:39 10 one. 14:40:55 11 MR. CHEEK: For clarity, Defense 14:40:57 12 Exhibit No. 30 is Dr. Antommara's declaration 14:40:59 13 from the PI hearing? 14:41:02 14 MR. FRAMPTON: Correct. 14:41:03 15 MR. CHEEK: Okay. 14:41:04 16 (Thereupon, Exhibit 31, 14:41:05 17 Plaintiff-Intervenor United States' Disclosure of 14:41:05 18 Expert Testimony of Armand H. Matheny Antommara, 14:41:05 19 M.D., Ph.D., FAAP, HEC-C, was marked for purposes 14:41:05 20 of identification.) 14:41:05 21 BY MR. FRAMPTON: 14:41:05 22 Q. All right. 31 is your expert 14:41:05 23 report. And hopefully, now you can turn to 14:41:07 24 page 19. 14:41:15 25 A. All right. I am on page 19, sir. 14:41:20</p> |
| Page 191 | Page 193 |
| <p>1 Q. Yeah. 14:38:30 2 A. It appears to be, sir. 14:38:45 3 MR. CHEEK: Hal, this is -- let me 14:38:49 4 just -- can you look and make sure that the one we 14:38:54 5 are entering as Defendants' Exhibit 30 is a 14:38:58 6 complete copy? That was your intention, right? 14:39:00 7 MR. FRAMPTON: Yes. 14:39:04 8 THE WITNESS: So the part where it 14:39:06 9 becomes unstapled looks like it has the relevant 14:39:08 10 pages. 14:39:12 11 MR. CHEEK: But it's a complete copy 14:39:13 12 of your expert report? The reason I am asking is 14:39:15 13 we have got an extra page here. 14:39:19 14 THE WITNESS: What is the page 14:39:21 15 titled, 50 what? It's like double printed. 14:39:23 16 MR. CHEEK: I don't know. 14:39:26 17 THE WITNESS: So that page is in 14:39:38 18 here. 14:39:40 19 MR. FRAMPTON: So it was in one of 14:39:43 20 yours. 14:39:44 21 BY MR. FRAMPTON: 14:39:44 22 Q. All right. Dr. Antommara, flip 14:39:45 23 to page 19. And I am looking at the 14:39:46 24 footnote -- 14:39:56 25 A. So I apologize, the page numbers 14:39:57</p> | <p>1 Q. Great. Do you see in that 14:41:22 2 footnote, it's one, two, three, four lines 14:41:27 3 down -- I'm sorry, yeah, three lines down: 14:41:29 4 Note the Swedish Agency for Health Technology 14:41:41 5 Assessment and Assessment of Social Services, 14:41:44 6 SBU, gender dysphoria in children and 14:41:49 7 adolescents, an inventory of the literature, 14:41:52 8 and then there is a citation, is a scoping 14:41:53 9 review. Do you see that language? 14:41:56 10 A. Yes, sir. 14:41:59 11 Q. And what is the date of that 14:42:01 12 scoping review document? 14:42:04 13 A. Here, it's reported as 2019, sir. 14:42:09 14 Q. Okay. Go back to the Swedish 14:42:11 15 English language summary. 14:42:19 16 A. Yes, sir. 14:42:20 17 Q. And go to endnote 2, which is the 14:42:20 18 systematic review that they appear to be 14:42:27 19 citing. 14:42:30 20 A. Yes, sir. 14:42:30 21 Q. And what is the date on that 14:42:30 22 document? 14:42:32 23 A. 2022, sir. 14:42:32 24 Q. Is it possible, Dr. Antommara, 14:42:35 25 that the Swedish government commissioned a 14:42:37</p> |

| | |
|--|---|
| Page 194 | Page 196 |
| 1 scoping review in 2019 and a systematic review 14:42:39 | 1 Q. Flip to page 4, please. 14:46:06 |
| 2 in 2022? 14:42:42 | 2 MR. CHEEK: And we are still on -- 14:46:57 |
| 3 A. That is a possibility, sir. 14:42:43 | 3 MR. FRAMPTON: We are on the 14:46:59 |
| 4 Q. Do you know one way or the other? 14:42:46 | 4 Swedish -- 14:47:00 |
| 5 A. Not at the present moment, sir. 14:42:52 | 5 MR. CHEEK: 29, right? 14:47:01 |
| 6 Q. And do you know whether the 2022 14:42:57 | 6 MR. FRAMPTON: Yes. 14:47:02 |
| 7 systematic review assessed the quality of 14:43:10 | 7 THE WITNESS: I am on page 4, sir. 14:47:03 |
| 8 evidence based on the GRADE methodology? 14:43:13 | 8 BY MR. FRAMPTON: 14:47:05 |
| 9 A. I do not, sir. You will note that 14:43:15 | 9 Q. All right. Do you see about 14:47:10 |
| 10 even in this English language translation of 14:43:35 | 10 halfway down the page, it says: To ensure that 14:47:12 |
| 11 the summary, the title of that document is 14:43:39 | 11 new knowledge is gathered, the NBHW further 14:47:16 |
| 12 given in Swedish. And so one of the 14:43:42 | 12 deems that treatment with GnRH analogs and sex 14:47:19 |
| 13 difficulties of assessing this literature is 14:43:48 | 13 hormones for young people should be provided 14:47:23 |
| 14 not all of the material is available in 14:43:52 | 14 within a research context which does not 14:47:24 |
| 15 official English translation. 14:43:55 | 15 necessarily imply the use of randomized control 14:47:26 |
| 16 Q. And have you made an effort to 14:43:57 | 16 trials, RCTs. Did I read that correctly? 14:47:30 |
| 17 obtain an English translation of the document 14:44:04 | 17 A. You did, sir. 14:47:33 |
| 18 reflected in endnote 2 of the Swedish language 14:44:08 | 18 Q. So the Swedish government is 14:47:34 |
| 19 summary? 14:44:14 | 19 concluding that going forward, puberty blockers 14:47:38 |
| 20 A. I have made an effort to ascertain 14:44:14 | 20 and cross-sex hormones should be provided only 14:47:41 |
| 21 all of the relevant European literature. I 14:44:21 | 21 within a research context; is that correct? 14:47:43 |
| 22 have not independently commissioned English 14:44:27 | 22 A. That is correct, sir. 14:47:45 |
| 23 translations of any of the literature, sir. 14:44:32 | 23 Q. And you don't consider that 14:47:48 |
| 24 Q. Have you run any of them through 14:44:35 | 24 recommendation unethical, do you? 14:47:52 |
| 25 Google Translate? 14:44:37 | 25 A. One minute, I am just reading the 14:47:55 |
| Page 195 | Page 197 |
| 1 A. No, sir. I have colleagues who 14:44:42 | 1 paragraphs. 14:48:05 |
| 2 conduct research in regard to patients with 14:44:45 | 2 Q. Sure. 14:48:06 |
| 3 what might be referred to as low health 14:44:50 | 3 A. So, in general, I don't, sir. I 14:48:42 |
| 4 literacy, and there is good evidence in the 14:44:54 | 4 will note that later in the paragraph, it does 14:48:46 |
| 5 literature that Google Translate is not a 14:44:56 | 5 state until the research study is in place that 14:48:48 |
| 6 reliable source of translation of medical 14:44:59 | 6 NBHW deems that relevant treatment with GnRH 14:48:53 |
| 7 documentation. 14:45:02 | 7 analogs and sex hormones may be given in 14:48:59 |
| 8 Q. So it is possible this Swedish 14:45:02 | 8 exceptional cases in accordance with the 14:49:01 |
| 9 recommendation is based on a systematic review 14:45:10 | 9 updated recommendations and criteria described 14:49:03 |
| 10 of the evidence rather than just a scoping 14:45:12 | 10 in this guideline. So I take it that they 14:49:07 |
| 11 review? 14:45:15 | 11 considered that treatment is sufficiently 14:49:10 |
| 12 A. That is a possibility, sir. 14:45:16 | 12 important that it should go on prior to 14:49:12 |
| 13 Q. And it's also possible that 14:45:21 | 13 research studies being in place. 14:49:18 |
| 14 systematic review may rate the quality of 14:45:24 | 14 Q. As soon as they get a research 14:49:21 |
| 15 evidence using the GRADE methodology? 14:45:27 | 15 protocol, everything is going to be in the 14:49:23 |
| 16 A. So, sir, this document makes a 14:45:33 | 16 context of research, correct? 14:49:24 |
| 17 variety of recommendations. In its making of 14:45:39 | 17 A. That's their recommendation, sir. 14:49:26 |
| 18 recommendations, it neither grades the quality 14:45:44 | 18 Q. Back on page 3. 14:49:29 |
| 19 of the evidence nor the strength of the 14:45:46 | 19 A. Yes, sir. 14:49:38 |
| 20 recommendations. If it was relying on a 14:45:48 | 20 Q. Recommendations and criteria for 14:49:38 |
| 21 document that graded the quality of the 14:45:51 | 21 hormonal treatment. So they say: For 14:49:44 |
| 22 evidence, I would have thought that that would 14:45:55 | 22 adolescents with gender incongruence, the NBHW 14:49:45 |
| 23 be reflected in this document. So, no, I don't 14:45:58 | 23 deems that the risks of puberty-suppressing 14:49:50 |
| 24 know for certain, but I would have good reason 14:46:01 | 24 treatment with GnRH analogs and 14:49:51 |
| 25 to believe that that's not the case. 14:46:05 | 25 gender-affirming hormonal treatment currently 14:49:54 |

| Page 198 | Page 200 |
|--|---|
| 1 outweigh the possible benefits and that the 14:49:57 | 1 Q. In reviewing what was available in 14:52:49 |
| 2 treatment should be offered only in exceptional 14:50:00 | 2 English from the Swedish report, did you not 14:52:59 |
| 3 cases. Did I read that correctly? 14:50:02 | 3 come across this document? 14:53:04 |
| 4 A. You did, sir. 14:50:03 | 4 A. No, I did not, sir. 14:53:06 |
| 5 Q. And does that suggest that they 14:50:04 | 5 Q. It appears to be a table of 14:53:08 |
| 6 believe there is significant uncertainty as to 14:50:10 | 6 evidence of included studies; is that at least 14:53:17 |
| 7 the benefits and risks of these treatments? 14:50:13 | 7 what it appears to be? 14:53:22 |
| 8 MR. CHEEK: Objection, speculation. 14:50:19 | 8 A. Sir, I can't read the -- may I? 14:53:23 |
| 9 THE WITNESS: So, sir, the difficulty 14:50:21 | 9 Q. Uh-huh. 14:53:47 |
| 10 with this document is that this is a six-page 14:50:22 | 10 A. So, sir, it's hard for me to know 14:54:11 |
| 11 summary of a substantially longer document which 14:50:29 | 11 what this is. I am looking at Reference 2, 14:54:14 |
| 12 presumably would go into greater detail about that 14:50:33 | 12 which you pointed to earlier. That title in 14:54:17 |
| 13 judgment. But because that is not currently 14:50:40 | 13 Swedish is different than the title in the top 14:54:22 |
| 14 available in an official English translation, it's 14:50:48 | 14 right-hand corner of this. So -- so it's hard 14:54:24 |
| 15 hard to fully assess the justification for the 14:50:51 | 15 for -- although this is dated 2002, it's hard 14:54:34 |
| 16 statement, sir. 14:50:54 | 16 for me to -- at this point, not knowing from 14:54:38 |
| 17 BY MR. FRAMPTON: 14:50:55 | 17 where this was downloaded or other information, 14:54:40 |
| 18 Q. The statement certainly suggests 14:50:56 | 18 it's hard for me to know what it is, sir. 14:54:43 |
| 19 they believe there is uncertainty -- 14:50:58 | 19 Q. The title -- were you looking at 14:54:45 |
| 20 MR. CHEEK: Objection. 14:51:00 | 20 endnote 2? 14:54:50 |
| 21 BY MR. FRAMPTON: 14:51:01 | 21 A. I am looking at -- I am going to 14:54:51 |
| 22 Q. -- as to the risks and benefits, 14:51:01 | 22 go to Exhibit 29. 14:54:55 |
| 23 correct? 14:51:02 | 23 Q. Yes. 14:54:56 |
| 24 MR. CHEEK: Objection, speculation. 14:51:03 | 24 A. Reference 2, I believe that was a 14:54:58 |
| 25 THE WITNESS: So in reading that 14:51:13 | 25 reference that you pointed me to earlier, sir? 14:55:01 |
| Page 199 | Page 201 |
| 1 statement, sir, they don't make reference to 14:51:15 | 1 Q. Right. And do you see in that 14:55:04 |
| 2 uncertainty. 14:51:20 | 2 title Hormonbehandling vid könsdysfori? 14:55:06 |
| 3 BY MR. FRAMPTON: 14:51:20 | 3 A. So, again, sir, I don't read 14:55:19 |
| 4 Q. They make reference to their 14:51:23 | 4 Swedish. There is a sentence -- a first 14:55:22 |
| 5 judgment being that the benefits generally 14:51:24 | 5 sentence, then I do see a second sentence which 14:55:27 |
| 6 outweigh the risks -- I mean, I'm sorry, that 14:51:28 | 6 appears to have some similarity, but I don't -- 14:55:32 |
| 7 the risks generally outweigh the benefits, 14:51:30 | 7 so I will -- I don't know what the top title 14:55:36 |
| 8 correct? 14:51:32 | 8 is, and that top title doesn't correspond to 14:55:41 |
| 9 A. Correct, sir. 14:51:32 | 9 the first sentence. So, again, it would be 14:55:44 |
| 10 (Thereupon, Exhibit 32, Bilaga 3. 14:51:39 | 10 hard for me to form an opinion about -- 14:55:46 |
| 11 Inkluderade Studier Appendix 3. Characteristics of 14:51:39 | 11 Q. Sure. 14:55:50 |
| 12 Included Studies: Extracted data, was marked for 14:51:39 | 12 A. -- what this is. 14:55:52 |
| 13 purposes of identification.) 14:51:39 | 13 Q. Okay. It's just not something you 14:55:52 |
| 14 BY MR. FRAMPTON: 14:51:39 | 14 have come across in your review of the Swedish 14:55:55 |
| 15 Q. I hand you what I am marking as 14:51:39 | 15 documents? 14:55:58 |
| 16 Defendants' Exhibit 32. And my question, 14:51:42 | 16 A. It is not, sir. 14:55:58 |
| 17 Dr. Antommaria, have you -- the document is 14:51:58 | 17 Q. Okay. 14:55:59 |
| 18 marked Characteristics of Included Studies: 14:52:03 | 18 (Thereupon, Exhibit 33, Bilaga till 14:56:03 |
| 19 Extracted Data. Does this document appear to 14:52:06 | 19 rapport, was marked for purposes of 14:56:03 |
| 20 be available in English? 14:52:12 | 20 identification.) 14:56:03 |
| 21 A. May I look at the document, sir? 14:52:13 | 21 BY MR. FRAMPTON: 14:56:03 |
| 22 Q. Yeah, of course. 14:52:16 | 22 Q. I hand you what I am marking as 14:56:04 |
| 23 A. So this document, which I am not 14:52:42 | 23 Exhibit 33. And is this also not something you 14:56:07 |
| 24 certain what the nature of the document is, is 14:52:44 | 24 came across in your review of the Swedish 14:56:40 |
| 25 in English, sir. 14:52:48 | 25 literature? 14:56:42 |

| | |
|---|---|
| Page 202 | Page 204 |
| 1 A. So this is not something that I am 14:56:43 | 1 Q. Do you know the quality of 14:59:41 |
| 2 familiar with, sir. 14:56:45 | 2 evidence supporting the efficacy of any 14:59:45 |
| 3 Q. All right, then I won't ask you 14:56:46 | 3 particular treatment on progressive or 14:59:48 |
| 4 about that. What's lupus nephritis? 14:56:58 | 4 refractory MS? 14:59:51 |
| 5 A. So nephritis would be an 14:57:04 | 5 A. At a high level of generality, I 14:59:52 |
| 6 inflammation of the kidneys, and lupus is a 14:57:09 | 6 do, sir. 14:59:59 |
| 7 rheumatologic condition. So it would be a 14:57:12 | 7 Q. Okay. What about therapy that can 14:59:59 |
| 8 inflammation of the kidneys caused by a 14:57:15 | 8 be gonadotoxic? 15:00:06 |
| 9 specific rheumatologic condition. 14:57:17 | 9 MR. CHEEK: Objection, form. 15:00:10 |
| 10 Q. Is that -- would treating that 14:57:20 | 10 THE WITNESS: Do I know the level of 15:00:13 |
| 11 condition normally be the province of a 14:57:23 | 11 evidence that supports gonado -- potentially 15:00:14 |
| 12 nephrologist? 14:57:28 | 12 gonadotoxic therapy for MS? 15:00:21 |
| 13 A. A nephrologist or a 14:57:29 | 13 BY MR. FRAMPTON: 15:00:21 |
| 14 rheumatologist, sir. 14:57:31 | 14 Q. Yes. 15:00:21 |
| 15 Q. You typically would not initiate 14:57:31 | 15 A. No, sir, I do not. 15:00:22 |
| 16 treatment for that condition? 14:57:35 | 16 Q. What is familial adenomatous 15:00:22 |
| 17 A. No, sir; I am neither a 14:57:36 | 17 polyposis? Did I even say that right? 15:00:29 |
| 18 rheumatologist nor a nephrologist. 14:57:40 | 18 A. You are close enough that I 15:00:31 |
| 19 Q. Do you have an understanding of 14:57:42 | 19 understand what you are asking me, sir. 15:00:33 |
| 20 what happens if that condition is left 14:57:53 | 20 Q. That's all I'm going for. 15:00:34 |
| 21 untreated? 14:57:55 | 21 A. It is a genetic condition that 15:00:38 |
| 22 A. A general understanding, sir. 14:57:55 | 22 results in polyps in the intestinal tract which 15:00:41 |
| 23 Q. And what is that? 14:57:57 | 23 can progress to be cancerous. 15:00:46 |
| 24 A. My general understanding is that 14:57:58 | 24 Q. Without surgical intervention, is 15:00:50 |
| 25 if it is left untreated, the individual might 14:58:04 | 25 a person with that condition's likelihood of 15:00:58 |
| Page 203 | Page 205 |
| 1 progress to chronic renal failure and require 14:58:08 | 1 developing cancer at a young age pretty high? 15:01:01 |
| 2 dialysis or a kidney transplant for their renal 14:58:12 | 2 A. Without appropriate screening and 15:01:04 |
| 3 failure. 14:58:17 | 3 intervention, yes, sir. 15:01:08 |
| 4 Q. Okay. Chronic kidney disease is a 14:58:17 | 4 Q. What are endometriomas? 15:01:10 |
| 5 life-threatening disease, is it not? 14:58:25 | 5 A. An endometrioma would be a 15:01:16 |
| 6 A. Untreated it can be 14:58:26 | 6 proliferation of the endometrium, which is the 15:01:20 |
| 7 life-threatening, sir. 14:58:30 | 7 lining of the uterus, sir. 15:01:21 |
| 8 Q. Do you have -- do you have any 14:58:31 | 8 Q. And can -- if they are large 15:01:22 |
| 9 knowledge of the quality of evidence supporting 14:58:36 | 9 enough, can they impair fertility? 15:01:25 |
| 10 the efficacy of cyclophosphamide to treat lupus 14:58:40 | 10 A. They can, sir. 15:01:27 |
| 11 nephritis? 14:58:45 | 11 Q. Do you know the quality of 15:01:28 |
| 12 MR. CHEEK: Objection, foundation. 14:58:46 | 12 evidence supporting the efficacy of surgical 15:01:36 |
| 13 THE WITNESS: No, sir, I do not. 14:58:49 | 13 intervention to treat large endometriomas? 15:01:38 |
| 14 BY MR. FRAMPTON: 14:58:50 | 14 A. I do not, sir. 15:01:44 |
| 15 Q. When we call -- just generally in 14:58:57 | 15 Q. What is ulcerative colitis? 15:01:45 |
| 16 the medical literature, when we call a 14:59:00 | 16 A. Ulcerative colitis is an 15:01:56 |
| 17 condition refractory, what does that generally 14:59:02 | 17 inflammatory process of the intestinal tract, 15:02:00 |
| 18 mean? 14:59:06 | 18 sir. 15:02:05 |
| 19 A. It would generally mean that it 14:59:06 | 19 Q. And surgery is not generally the 15:02:05 |
| 20 has not responded to treatment. 14:59:12 | 20 first line treatment for that condition, is it? 15:02:07 |
| 21 Q. What -- could you treat multiple 14:59:15 | 21 A. No, there would be other 15:02:08 |
| 22 sclerosis in your practice? 14:59:25 | 22 interventions that would be utilized to try to 15:02:10 |
| 23 A. I do not, sir. So in my practice 14:59:26 | 23 prevent the need for surgery, sir. 15:02:13 |
| 24 as a pediatric hospitalist, I do not treat 14:59:36 | 24 Q. And surgery generally would only 15:02:17 |
| 25 multiple sclerosis. 14:59:39 | 25 be done if there is no other way of controlling 15:02:20 |

| | |
|--|--|
| Page 206 | Page 208 |
| <p>1 the condition, correct? 15:02:23</p> <p>2 A. If medical therapy was 15:02:23</p> <p>3 unsuccessful, surgery might be considered, sir. 15:02:28</p> <p>4 Q. And you can have with that 15:02:30</p> <p>5 condition emergency situations that require 15:02:34</p> <p>6 surgery, correct, like a bleed or perforation, 15:02:36</p> <p>7 if you know? 15:02:42</p> <p>8 A. I don't know that surgery would be 15:02:44</p> <p>9 necessarily the primary intervention for 15:02:46</p> <p>10 bleeding, but for perforation, yes, sir. 15:02:48</p> <p>11 Q. Because if a perforation is left 15:02:52</p> <p>12 untreated, that can cause death presumably, 15:02:54</p> <p>13 right? 15:02:57</p> <p>14 A. It can cause peritonitis, which 15:02:58</p> <p>15 would be an infection in the abdominal cavity 15:03:01</p> <p>16 which if left untreated could result in death, 15:03:06</p> <p>17 sir. 15:03:08</p> <p>18 Q. For a natal male at Tanner Stage 2 15:03:09</p> <p>19 seeking to begin puberty blockers, what are the 15:03:22</p> <p>20 options for preserving that child's fertility? 15:03:26</p> <p>21 A. The primary option for preserving 15:03:29</p> <p>22 fertility in that case would be delaying the 15:03:38</p> <p>23 use of puberty blockers, sir. 15:03:41</p> <p>24 Q. So you wouldn't actually start 15:03:43</p> <p>25 them at Tanner 2 if you were trying to preserve 15:03:45</p> | <p>1 anti-androgen therapy, that person will never 15:05:06</p> <p>2 develop fertility, correct, without stopping 15:05:10</p> <p>3 treatment? 15:05:14</p> <p>4 A. So, in general, the expectation 15:05:14</p> <p>5 would be if that individual continued 15:05:19</p> <p>6 treatment, that is correct that they would not 15:05:23</p> <p>7 be fertile. 15:05:25</p> <p>8 Q. And, likewise, with a natal female 15:05:26</p> <p>9 who begins puberty suppression at Tanner Stage 15:05:30</p> <p>10 2 and progresses seamlessly to testosterone 15:05:34</p> <p>11 therapy, that individual would not develop 15:05:38</p> <p>12 fertility, correct? 15:05:41</p> <p>13 A. If they continued on treatment, 15:05:43</p> <p>14 they would not be anticipated to have 15:05:51</p> <p>15 biologically related children. It is to say 15:05:53</p> <p>16 that for some individuals the benefit of 15:05:56</p> <p>17 treatment would outweigh that risk, but that 15:05:59</p> <p>18 risk would exist. 15:06:01</p> <p>19 Q. And it wouldn't be a risk, it 15:06:02</p> <p>20 would be they are not going to have fertility 15:06:12</p> <p>21 without discontinuing treatment, correct? 15:06:15</p> <p>22 MR. CHEEK: Objection, form. 15:06:20</p> <p>23 THE WITNESS: I'm sorry, I don't 15:06:21</p> <p>24 understand the distinction that you are making, 15:06:22</p> <p>25 sir. 15:06:24</p> |
| Page 207 | Page 209 |
| <p>1 fertility? 15:03:48</p> <p>2 MR. CHEEK: Objection, foundation. 15:03:48</p> <p>3 THE WITNESS: If that was your 15:03:50</p> <p>4 exclusive or predominant goal, there would be a 15:03:56</p> <p>5 reason to delay utilizing puberty blockers. There 15:04:00</p> <p>6 might be other ways later in the future that by 15:04:05</p> <p>7 discontinuing gender-affirming medical care 15:04:13</p> <p>8 fertility could be reestablished. 15:04:16</p> <p>9 BY MR. FRAMPTON: 15:04:20</p> <p>10 Q. Have you seen any studies showing 15:04:22</p> <p>11 the success of that process? 15:04:23</p> <p>12 A. I am aware of studies that show 15:04:28</p> <p>13 the resumption of fertility in individuals who 15:04:34</p> <p>14 have discontinued gender-affirming hormone 15:04:37</p> <p>15 therapy, sir. 15:04:41</p> <p>16 Q. Aware of any studies dealing with 15:04:41</p> <p>17 individuals who started puberty suppression at 15:04:44</p> <p>18 Tanner Stage 2? 15:04:47</p> <p>19 MR. CHEEK: Objection, form. 15:04:48</p> <p>20 THE WITNESS: Not specifically of 15:04:50</p> <p>21 that population, sir. 15:04:53</p> <p>22 BY MR. FRAMPTON: 15:04:54</p> <p>23 Q. Just as a general matter, if a 15:04:54</p> <p>24 natal male starts puberty suppression at Tanner 15:04:57</p> <p>25 Stage 2, continues seamlessly into estrogen and 15:05:01</p> | <p>1 BY MR. FRAMPTON: 15:06:24</p> <p>2 Q. Well, I think you were 15:06:25</p> <p>3 characterizing it as a risk of infertility, and 15:06:26</p> <p>4 I was distinguishing it's really -- without 15:06:30</p> <p>5 discontinuing treatment, it's a certainty of 15:06:33</p> <p>6 infertility, is it not? 15:06:36</p> <p>7 A. So when -- as an emphasis, when I 15:06:37</p> <p>8 would refer to a risk, I wouldn't say that 15:06:40</p> <p>9 risks involve both a magnitude and a 15:06:42</p> <p>10 probability. So while colloquially risk might 15:06:44</p> <p>11 have implications about probability, I don't 15:06:48</p> <p>12 know that in the way an ethicist uses a risk 15:06:52</p> <p>13 that it necessarily has those similar 15:06:57</p> <p>14 implications. 15:07:04</p> <p>15 Q. But you would agree, again, for 15:07:06</p> <p>16 the natal female starting puberty suppression 15:07:08</p> <p>17 at Tanner Stage 2 continuing seamlessly through 15:07:10</p> <p>18 to testosterone therapy that that person -- you 15:07:13</p> <p>19 would not have any expectation that person 15:07:16</p> <p>20 would develop fertility with that course of 15:07:18</p> <p>21 treatment, correct? 15:07:22</p> <p>22 A. So given the currently available 15:07:22</p> <p>23 resources for fertility preservation, no. 15:07:34</p> <p>24 Q. Are you aware of any studies that 15:07:37</p> <p>25 document healthy conception and birth by a 15:08:02</p> |

| | |
|--|---|
| Page 210 | Page 212 |
| 1 natal female after an extended period of years 15:08:06 | 1 well-known to endocrinologists and geneticists. 15:13:08 |
| 2 on cross-sex hormones? By that, I mean at 15:08:09 | 2 In medicine, these situations are generally 15:13:12 |
| 3 least five years. 15:08:11 | 3 termed disorders of sexual development, DSD, or 15:13:14 |
| 4 A. So as I have said, I am aware of 15:08:17 | 4 differences in sexual development. DSD 15:13:18 |
| 5 literature that shows that individuals have 15:08:19 | 5 includes genetic disorders in the sexual 15:13:22 |
| 6 fertility after discontinuing gender-affirming 15:08:26 | 6 determination pathway, disorders of 15:13:25 |
| 7 hormone therapy for a period of time. I would 15:08:31 | 7 steroidogenesis, disorders of steroid hormone 15:13:30 |
| 8 have to look at those specific studies to see 15:08:32 | 8 action, especially androgen insensitivity 15:13:33 |
| 9 whether individual -- whether individuals are 15:08:36 | 9 syndrome, and less well-defined, quote, 15:13:36 |
| 10 assigned female at birth in those studies had 15:08:39 | 10 developmental field defects, unquote, such as 15:13:38 |
| 11 been on gender-affirming hormone therapy for 15:08:42 | 11 Mayer-Rokitansky-Küster-Hauser syndrome. Did I 15:13:45 |
| 12 more than or less than five years. 15:08:45 | 12 read that correctly? 15:13:48 |
| 13 Q. Okay. Sitting here today, can you 15:08:47 | 13 A. Yes, sir. 15:13:48 |
| 14 name any studies we should look at for that 15:08:50 | 14 Q. That's amazing. Is that a 15:13:49 |
| 15 proposition? 15:08:52 | 15 reasonable sort of explanation of what a DSD is 15:13:51 |
| 16 A. I thought they might be referenced 15:08:53 | 16 to your understanding, or do you have a 15:13:58 |
| 17 in my report, sir, but they are not. 15:11:33 | 17 different understanding? 15:14:00 |
| 18 Q. Okay. Let me -- let me show you 15:11:34 | 18 A. May I reread it, sir? 15:14:00 |
| 19 what I am going to mark as Defendants' 15:11:43 | 19 Q. Of course. 15:14:03 |
| 20 Exhibit 34. 15:11:46 | 20 A. So I would say that it has a 15:14:58 |
| 21 (Thereupon, Exhibit 34, Considering 15:11:46 | 21 relative slant toward endocrinological causes 15:15:02 |
| 22 Sex as a Biological Variable in Basic and Clinical 15:11:46 | 22 of DSDs but that the general description is 15:15:07 |
| 23 Studies: An Endocrine Society Scientific 15:11:46 | 23 accurate, sir. 15:15:13 |
| 24 Statement, was marked for purposes of 15:11:46 | 24 Q. Do you agree that most transgender 15:15:13 |
| 25 identification.) 15:11:47 | 25 people do not have a DSD? 15:15:17 |
| Page 211 | Page 213 |
| 1 BY MR. FRAMPTON: 15:11:47 | 1 A. I believe that that's an accurate 15:15:20 |
| 2 Q. 34 is an exhibit entitled 15:12:01 | 2 statement, sir. 15:15:31 |
| 3 Considering Sex As a Biological Variable in 15:12:03 | 3 Q. What is complete androgen and 15:15:32 |
| 4 Basic and Clinical Studies, an Endocrine 15:12:06 | 4 sensitivity syndrome? 15:15:48 |
| 5 Society Scientific Statement. And I am 15:12:09 | 5 A. It is a disorder in which an 15:15:51 |
| 6 curious, Dr. Antommaria, if you are familiar 15:12:11 | 6 individual has a variant in androgen receptor. 15:15:57 |
| 7 with this scientific statement from the 15:12:14 | 7 And so although they make testosterone, their 15:16:04 |
| 8 Endocrine Society? 15:12:16 | 8 body does not respond to testosterone or other 15:16:10 |
| 9 A. I am not, sir. 15:12:19 | 9 androgens. 15:16:15 |
| 10 Q. I have got one thing I am going to 15:12:20 | 10 Q. They are chromosomally male; is 15:16:15 |
| 11 ask you about in it, and I simply want to see 15:12:22 | 11 that correct? 15:16:20 |
| 12 if their delineation of what a DSD is aligns 15:12:26 | 12 A. They have XY chromosomes. 15:16:20 |
| 13 with your understanding. So flip to page 225, 15:12:31 | 13 Q. But they will not experience 15:16:26 |
| 14 please. 15:12:34 | 14 endogenous male puberty, correct? 15:16:34 |
| 15 A. Yes, sir. 15:12:41 | 15 A. If by -- if by male puberty you 15:16:36 |
| 16 Q. Under Biological Basis of 15:12:42 | 16 mean puberty in the technical sense of the 15:16:49 |
| 17 Diversity in Sexual/Gender Development and 15:12:48 | 17 development, enlargement of testes and other 15:16:54 |
| 18 Orientation, do you see that heading? 15:12:51 | 18 features of a typically masculinizing puberty, 15:16:58 |
| 19 A. I do, sir. 15:12:53 | 19 no, they will not. 15:17:02 |
| 20 Q. There it says: Given the 15:12:53 | 20 Q. And there is no medical 15:17:03 |
| 21 complexities of the biology of sexual 15:12:55 | 21 intervention that will cause them to experience 15:17:07 |
| 22 determination and differentiation, it is not 15:12:58 | 22 male puberty, correct? 15:17:10 |
| 23 surprising that there are dozens of examples of 15:12:59 | 23 A. There is no current intervention 15:17:11 |
| 24 variations or errors in these pathways 15:13:02 | 24 that's capable of producing those phenotypic 15:17:17 |
| 25 associated with genetic mutations that are now 15:13:05 | 25 changes. 15:17:21 |

| | |
|---|---|
| Page 214 | Page 216 |
| 1 Q. And they nearly always identify as 15:17:22 | 1 though. All right. You are looking at the 15:34:29 |
| 2 female, according to the literature, correct? 15:17:26 | 2 Endocrine Society guidelines from 2017, 15:34:34 |
| 3 A. Yes, individuals with complete 15:17:28 | 3 correct? 15:34:36 |
| 4 androgen sensitivity generally have female 15:17:33 | 4 A. I am, sir. 15:34:36 |
| 5 gender identities. 15:17:37 | 5 Q. All right. Go to page 3879. 15:34:37 |
| 6 Q. And the only -- the only 15:17:39 | 6 A. Yes, sir. 15:34:47 |
| 7 experience of puberty that they can have just 15:17:48 | 7 Q. All right. And you have 15:34:47 |
| 8 physically is female puberty, correct? 15:17:51 | 8 already -- you said you believe that these 15:34:53 |
| 9 A. As I have said, they are unable to 15:17:55 | 9 guidelines were developed through a rigorous 15:34:56 |
| 10 develop a so-called masculine phenotype. And, 15:18:09 | 10 method, correct? 15:34:58 |
| 11 yes, they are capable of developing 15:18:16 | 11 A. Yes, sir. 15:34:59 |
| 12 effeminate -- so-called effeminate or female 15:18:21 | 12 Q. All right. Under 3879 under 15:35:05 |
| 13 phenotype. 15:18:22 | 13 evidence it says: In most children -- 15:35:10 |
| 14 Q. For a natal male with gender 15:18:23 | 14 A. So I'm sorry, just so we are in 15:35:13 |
| 15 dysphoria who does not have a DSD, okay? 15:18:33 | 15 the same place, that's under 1.3 and 1.4, sir? 15:35:15 |
| 16 A. Okay. 15:18:39 | 16 Q. That's right. 15:35:20 |
| 17 Q. Without medical intervention, that 15:18:40 | 17 A. Okay. 15:35:21 |
| 18 individual will experience endogenous male 15:18:44 | 18 Q. It says: In most children 15:35:21 |
| 19 puberty, assuming they progress to that point 15:18:48 | 19 diagnosed with GD/gender incongruence, it did 15:35:23 |
| 20 in their life? 15:18:51 | 20 not persist into adolescence. The percentages 15:35:28 |
| 21 A. Well, so there might be multiple 15:18:52 | 21 differed among studies, probably dependent on 15:35:31 |
| 22 other reasons why somebody assigned male at 15:19:02 | 22 which version of the DSM clinicians used, the 15:35:33 |
| 23 birth doesn't have an endogenous male puberty, 15:19:05 | 23 patient's age, the recruitment criteria, and 15:35:37 |
| 24 aside from a DSD such as a physical injury to 15:19:11 | 24 perhaps cultural factors. However, the large 15:35:39 |
| 25 their testes so that there would be multiple 15:19:15 | 25 majority, about 85 percent, of prepubertal 15:35:42 |
| Page 215 | Page 217 |
| 1 reasons why they might not experience 15:19:19 | 1 children with a childhood diagnosis did not 15:35:46 |
| 2 masculinizing puberty, sir. 15:19:22 | 2 remain GD/gender incongruent in adolescence. 15:35:49 |
| 3 Q. So barring some other medical 15:19:25 | 3 Did I read that correct? 15:35:54 |
| 4 condition or injury, no DSD, no other medical 15:19:27 | 4 A. You did, sir. 15:35:55 |
| 5 condition or injury other than gender 15:19:31 | 5 Q. And that was the Endocrine Society 15:35:55 |
| 6 dysphoria, they will experience endogenous 15:19:33 | 6 author's view of the evidence, correct? 15:36:03 |
| 7 puberty, correct? 15:19:39 | 7 A. That is their summary of the 15:36:05 |
| 8 A. Yes, aside from injury, infection, 15:19:40 | 8 evidence, sir. 15:36:10 |
| 9 or other illness, yes. 15:19:47 | 9 Q. Okay. And they are basing it -- 15:36:10 |
| 10 Q. And, again, we are assuming no 15:19:48 | 10 and if you need to look at endnote 20, go 15:36:14 |
| 11 other medical conditions, injuries, infections, 15:20:03 | 11 ahead. They are basing it on primarily Dutch 15:36:17 |
| 12 illness. During endogenous puberty, they will 15:20:07 | 12 studies, correct? 15:36:19 |
| 13 develop secondary sex characteristics typical 15:20:10 | 13 A. So there is a single reference to 15:36:20 |
| 14 of their native sex, correct? 15:20:13 | 14 Reference 20, which is a study by the Dutch 15:36:32 |
| 15 A. Yes, typical of their sex assigned 15:20:14 | 15 group, yes. 15:36:37 |
| 16 at birth. 15:20:16 | 16 Q. Okay. And in the Dutch group 15:36:37 |
| 17 If you are reaching the end of a 15:20:29 | 17 studies on persistence and desistance, they did 15:36:44 |
| 18 section, sir, can we take another brief break? 15:20:30 | 18 not measure the point at which someone stopped 15:36:49 |
| 19 MR. FRAMPTON: Let's do it. 15:20:32 | 19 experiencing gender dysphoria, correct? 15:36:56 |
| 20 (Recess taken.) 15:20:33 | 20 A. Correct, sir. I believe my 15:36:58 |
| 21 MR. FRAMPTON: Back on the record. 15:34:01 | 21 general understanding of this study design was 15:37:05 |
| 22 BY MR. FRAMPTON: 15:34:01 | 22 to look at individuals' gender identity at two 15:37:08 |
| 23 Q. Dr. Antommaria, could you pull out 15:34:18 | 23 different points in time. 15:37:14 |
| 24 Exhibit 17 of your -- my apologies for your 15:34:20 | 24 Q. And no understanding of where 15:37:15 |
| 25 ridiculous stack there. It's all good stuff, 15:34:25 | 25 between those points in time their experience 15:37:17 |

| | | | |
|----------|---|----------|---|
| Page 218 | <p>1 of gender dysphoria changed, right? 15:37:21</p> <p>2 A. So presumably, the clinicians may 15:37:23</p> <p>3 have a sense of when that changed, but the 15:37:30</p> <p>4 studies did not report data about that. 15:37:34</p> <p>5 (Thereupon, Exhibit 35, A Critical 15:37:55</p> <p>6 Commentary on Follow-up Studies and Desistance 15:37:55</p> <p>7 Theories About Transgender and 15:37:55</p> <p>8 Gender-nonconforming Children, was marked for 15:37:55</p> <p>9 purposes of identification.) 15:37:55</p> <p>10 BY MR. FRAMPTON: 15:37:55</p> <p>11 Q. I show you what I am marking as 15:37:59</p> <p>12 Exhibit 35. It is a document that I have got 15:38:01</p> <p>13 to switch notebooks for. All better. It is a 15:38:07</p> <p>14 document entitled Critical Commentary on 15:38:20</p> <p>15 Follow-up Studies and Desistance Theories About 15:38:23</p> <p>16 Transgender and Gender Nonconforming Children. 15:38:26</p> <p>17 The lead author is Julia Temple Newhook. All 15:38:29</p> <p>18 right. You are -- I imagine you are familiar 15:38:43</p> <p>19 with this paper, correct, sir? 15:38:46</p> <p>20 A. Yes, sir. 15:38:48</p> <p>21 Q. All right. And it comments on 15:38:50</p> <p>22 four studies related to -- that it believes are 15:38:58</p> <p>23 related to desistance rates, correct? 15:39:04</p> <p>24 A. Without reviewing the study again, 15:39:09</p> <p>25 I don't know if it's forcer, but it does 15:39:15</p> | Page 220 | <p>1 is reporting that she is -- that they are going 15:40:36</p> <p>2 to review these four particular studies 15:40:38</p> <p>3 concerning desistance? 15:40:42</p> <p>4 A. In part, sir. 15:40:45</p> <p>5 Q. What do you mean in part? 15:40:49</p> <p>6 A. Well, they say this statement 15:40:50</p> <p>7 largely draws on. So I take it that they are 15:40:52</p> <p>8 also drawing on other sources than the four 15:40:55</p> <p>9 studies that are -- that are subsequently 15:40:59</p> <p>10 listed in the reference. 15:41:01</p> <p>11 Q. Is this -- this paper is not a 15:41:02</p> <p>12 systematic review of the literature on 15:41:14</p> <p>13 desistance rates, is it? 15:41:16</p> <p>14 A. No, it's not, sir. 15:41:18</p> <p>15 Q. And you would agree that there are 15:41:21</p> <p>16 more than four studies out there measuring 15:41:25</p> <p>17 desistance rates, correct? 15:41:28</p> <p>18 A. Yes, sir. 15:41:31</p> <p>19 Q. Okay. And we don't know if these 15:41:33</p> <p>20 authors -- well, strike that. The only studies 15:41:37</p> <p>21 these authors call out are the four listed 15:41:44</p> <p>22 there on page 1, right? 15:41:49</p> <p>23 A. So, again, sir, I would have to 15:41:50</p> <p>24 reread relevant portions of the article. At 15:41:58</p> <p>25 the beginning of the article, yes, they 15:42:01</p> |
| Page 219 | <p>1 analyze studies about so-called desistance. 15:39:18</p> <p>2 Q. Well, let me nail that down. Go 15:39:23</p> <p>3 to page 1. We have got somewhat normal page 15:39:27</p> <p>4 numbers. 15:39:34</p> <p>5 A. I am on what I take to be the 15:39:37</p> <p>6 first page, sir. 15:39:39</p> <p>7 Q. That's my understanding as well, 15:39:39</p> <p>8 you are with me. The second sentence in the 15:39:43</p> <p>9 main text: This statement largely draws on 15:39:48</p> <p>10 estimates from four follow-up studies conducted 15:39:51</p> <p>11 with samples of gender-nonconforming children 15:39:54</p> <p>12 in one of two clinics in Canada or the 15:39:58</p> <p>13 Netherlands, and then it contains a citation to 15:40:03</p> <p>14 four studies; is that correct? 15:40:05</p> <p>15 A. Oh, I'm sorry, I was reading that 15:40:06</p> <p>16 in the abstract, not in the text. Let me look 15:40:08</p> <p>17 in the text, sir. 15:40:11</p> <p>18 Q. Okay. 15:40:12</p> <p>19 A. You read that correctly, sir. 15:40:20</p> <p>20 Q. And then it says: This article 15:40:21</p> <p>21 outlines methodological, theoretical, ethical, 15:40:25</p> <p>22 and interpretive concerns regarding these 15:40:28</p> <p>23 studies, correct? 15:40:31</p> <p>24 A. Correct, sir. 15:40:31</p> <p>25 Q. So would you agree that the author 15:40:32</p> | Page 221 | <p>1 identify them. Their article is focusing on 15:42:02</p> <p>2 these four articles. 15:42:06</p> <p>3 Q. They certainly -- because it's not 15:42:07</p> <p>4 a systematic review, they are not purporting to 15:42:14</p> <p>5 provide any kind of comprehensive analysis of 15:42:19</p> <p>6 the literature on desistance rates, correct? 15:42:22</p> <p>7 A. They are not purporting to have 15:42:25</p> <p>8 conducted a systematic review. 15:42:29</p> <p>9 Q. Did -- to your awareness, did any 15:42:30</p> <p>10 of the authors of the four studies they did 15:42:42</p> <p>11 call out publish any kind of response to this 15:42:46</p> <p>12 article? 15:42:50</p> <p>13 A. It's my understanding that 15:42:50</p> <p>14 Professor Zucker published an article that he 15:42:59</p> <p>15 in part comments on this one, sir. 15:43:03</p> <p>16 Q. Is he the only one? 15:43:05</p> <p>17 A. Others may have commented on it in 15:43:09</p> <p>18 passing, so that's a possibility. I don't 15:43:20</p> <p>19 recall. 15:43:29</p> <p>20 Q. You are not aware of Dr. Steensma 15:43:29</p> <p>21 publishing a response to this article? 15:43:32</p> <p>22 A. So I know that Dr. Steensma has 15:43:34</p> <p>23 published articles about desistance. It's hard 15:43:39</p> <p>24 for me to recall whether I would characterize 15:43:49</p> <p>25 any of those articles as a response to this 15:43:52</p> |

| Page 222 | Page 224 |
|--|--|
| <p>1 article, as opposed to he references this 15:43:53</p> <p>2 article among other articles. My sense was 15:43:57</p> <p>3 that Professor Zucker's article is much more a 15:44:05</p> <p>4 response, sir. 15:44:07</p> <p>5 (Thereupon, Exhibit 36, A Critical 15:44:10</p> <p>6 Commentary on A Critical Commentary on Follow-up 15:44:10</p> <p>7 Studies and Desistance Theories About Transgender 15:44:10</p> <p>8 and Gender Nonconforming Children, was marked for 15:44:10</p> <p>9 purposes of identification.) 15:44:11</p> <p>10 BY MR. FRAMPTON: 15:44:11</p> <p>11 Q. I show you what I am marking as 15:44:43</p> <p>12 Defendants' Exhibit 36. The document I am 15:44:45</p> <p>13 handing you is titled A Critical Commentary on 15:44:56</p> <p>14 a Critical Commentary on Follow-up Studies and 15:44:59</p> <p>15 Desistance Theories About Transgender and 15:45:04</p> <p>16 Gender Nonconforming Children. The lead author 15:45:09</p> <p>17 is Thomas Steensma. Is that the document I 15:45:10</p> <p>18 have handed you, Dr. Antommara? 15:45:12</p> <p>19 A. It is. I appreciate -- 15:45:14</p> <p>20 Q. Have you seen this one before? 15:45:14</p> <p>21 A. I appreciate you having such a 15:45:15</p> <p>22 comprehensive collection of articles, sir. 15:45:17</p> <p>23 Q. I have got a lot. Have you seen 15:45:19</p> <p>24 this one before? 15:45:21</p> <p>25 A. I believe I -- I believe I have, 15:45:22</p> | <p>1 say that Reference 52 does cite Zucker on the 15:48:02</p> <p>2 natural history of gender identity disorder in 15:48:06</p> <p>3 children in Zucker debate Different Strokes For 15:48:09</p> <p>4 Different Folks, which -- you know, I would 15:48:13</p> <p>5 have to look at those articles. But I believe 15:48:17</p> <p>6 one of those is his quote, response, or 15:48:22</p> <p>7 commentary on Temple Newhook, or at least 15:48:25</p> <p>8 references that. 15:48:29</p> <p>9 Q. So the reference to Temple Newhook 15:48:29</p> <p>10 is -- I'll find it for you. This is going to 15:48:42</p> <p>11 be on page 22. 15:48:50</p> <p>12 MR. CHEEK: And just so we are clear, 15:48:51</p> <p>13 this is Defendants' Exhibit 31? 15:48:52</p> <p>14 MR. FRAMPTON: Yes, you are right. 15:48:53</p> <p>15 BY MR. FRAMPTON: 15:48:53</p> <p>16 Q. Middle of the page, the studies to 15:48:56</p> <p>17 which the legislation refers have substantial 15:48:58</p> <p>18 limitations. For example, many include 15:49:01</p> <p>19 children who would not fulfill the current 15:49:03</p> <p>20 diagnostic criteria for gender dysphoria. Do 15:49:05</p> <p>21 you see that? 15:49:09</p> <p>22 THE WITNESS: Yes. So I'm sorry that 15:49:09</p> <p>23 I don't understand your question, sir. So I 15:49:21</p> <p>24 believe that Newhook's paper does provide support 15:49:24</p> <p>25 for that claim and is an appropriate reference to 15:49:28</p> |
| Page 223 | Page 225 |
| <p>1 sir. 15:45:27</p> <p>2 Q. Would you not -- you would 15:45:27</p> <p>3 characterize this as a response to the Temple 15:45:28</p> <p>4 Newhook article we just looked at, would you 15:45:34</p> <p>5 not? 15:45:36</p> <p>6 A. So seeing it again, sir, yes, it's 15:45:36</p> <p>7 a commentary on the commentary. 15:45:41</p> <p>8 Q. Is there some reason you didn't 15:45:44</p> <p>9 cite either Professor Zucker's or Professor 15:45:51</p> <p>10 Steensma's responses in your expert report? 15:45:54</p> <p>11 A. Sir, I don't understand my expert 15:45:57</p> <p>12 report to be a systematic review of the 15:46:05</p> <p>13 literature. There are lots of articles that I 15:46:09</p> <p>14 don't cite in my expert report. 15:46:12</p> <p>15 Q. Sure. You didn't think it 15:46:13</p> <p>16 relevant to cite Professor Steensma and Zucker 15:46:15</p> <p>17 critically responding to Professor Temple 15:46:22</p> <p>18 Newhook's article? 15:46:27</p> <p>19 A. May I look at my report, sir? 15:46:28</p> <p>20 Q. Sure. 15:46:32</p> <p>21 A. So, sir, I am trying to find where 15:47:49</p> <p>22 I cite -- 15:47:50</p> <p>23 Q. Temple Newhook? 15:47:55</p> <p>24 A. -- Temple Newhook. But I would 15:47:56</p> <p>25 say in the process of looking at that, I would 15:47:59</p> | <p>1 support that claim. 15:49:34</p> <p>2 Q. Okay. And you didn't think it 15:49:35</p> <p>3 appropriate to cite Steensma or Zucker 15:49:37</p> <p>4 responding to the claim of methodological 15:49:42</p> <p>5 deficiencies? 15:49:51</p> <p>6 A. No, sir. I believe that the 15:49:52</p> <p>7 Newhook paper does identify limitations in the 15:50:01</p> <p>8 studies that she analyzes and that the Steensma 15:50:06</p> <p>9 article and the Zucker article do not 15:50:12</p> <p>10 comprehensively refute her identification of 15:50:15</p> <p>11 some of the limitations of those studies. 15:50:19</p> <p>12 Q. They do disagree with some of her 15:50:22</p> <p>13 methodological concerns, do they not, or do you 15:50:33</p> <p>14 recall? 15:50:36</p> <p>15 MR. CHEEK: Objection, form. 15:50:36</p> <p>16 THE WITNESS: They do, sir. But, 15:50:43</p> <p>17 again, my report is not intended to be a 15:50:44</p> <p>18 comprehensive review of the literature. I have 15:50:48</p> <p>19 cited a reference that provides appropriate 15:50:51</p> <p>20 justification for the opinion that I have offered. 15:50:57</p> <p>21 (Thereupon, Exhibit 37, The Amsterdam 15:51:16</p> <p>22 Cohort of Gender Dysphoria Study (1972-2015): 15:51:16</p> <p>23 Trends in Prevalence, Treatment, and Regrets, was 15:51:16</p> <p>24 marked for purposes of identification.) 15:51:17</p> <p>25 BY MR. FRAMPTON: 15:51:17</p> |

| | |
|---|--|
| Page 226 | Page 228 |
| 1 Q. I show you what I am marking as 15:51:17 | 1 to them asking for hormones consistent with 15:54:07 |
| 2 Exhibit 37, a document titled The Amsterdam 15:51:19 | 2 their birth sex, right? 15:54:12 |
| 3 Cohort of Gender Dysphoria Study (1972-2015): 15:51:28 | 3 A. Yes, they analyzed regret, or what 15:54:15 |
| 4 Trends in Prevalence, Treatment, and Regrets. 15:51:33 | 4 they characterize as regret. 15:54:22 |
| 5 The lead author is Dr. Wiepjes. That's my best 15:51:36 | 5 Q. Yeah, well, that's what I am 15:54:24 |
| 6 Dutch pronunciation for today. Does that 15:51:43 | 6 trying to get at is they are characterizing 15:54:24 |
| 7 appear to be what I have handed you, sir? 15:51:46 | 7 regret as a patient who had a gonadectomy but 15:54:28 |
| 8 A. It does, sir. 15:51:48 | 8 then came back to them asking for hormones 15:54:33 |
| 9 Q. Are you familiar with this one? 15:51:49 | 9 consistent with their birth sex, correct? 15:54:37 |
| 10 A. I am, sir. 15:51:51 | 10 A. Yes, sir. 15:54:40 |
| 11 Q. All right. So let's look at what 15:51:52 | 11 Q. Okay. And those are the only 15:54:40 |
| 12 this study did. All right. So if you look at 15:51:58 | 12 people that they are characterizing as 15:54:50 |
| 13 the bottom of the first column on page 583, are 15:52:15 | 13 regretting, correct? 15:54:51 |
| 14 you there? 15:52:22 | 14 A. I'm sorry, I am reviewing their 15:54:52 |
| 15 A. The left-hand column, sir? 15:52:22 | 15 methods. 15:54:59 |
| 16 Q. Yes. 15:52:24 | 16 Q. Understood. 15:54:59 |
| 17 A. Yes. 15:52:26 | 17 A. So I am reading, sir, at the top 15:55:35 |
| 18 Q. It says: In the present study we 15:52:26 | 18 of page 584. Some people regretted the 15:55:37 |
| 19 included the complete population seen at the 15:52:29 | 19 interventions they had undergone. Trans women 15:55:40 |
| 20 gender identity clinic of the VUmc from 1972 15:52:31 | 20 who started testosterone treatment after a 15:55:42 |
| 21 through December 2015 to assess the current 15:52:35 | 21 vaginoplasty or trans men who started estrogen 15:55:45 |
| 22 prevalence of transgender people who received 15:52:38 | 22 treatment after oophorectomy and expressed 15:55:49 |
| 23 medical treatment, the frequency of specific 15:52:41 | 23 regret were categorized as those who 15:55:51 |
| 24 medical treatments performed, and the numbers 15:52:44 | 24 experienced regret. So it appears that there 15:55:54 |
| 25 of people who received HT in line with their 15:52:46 | 25 were two criteria; that it was both initiating 15:55:58 |
| Page 227 | Page 229 |
| 1 sex assigned at birth because they regretted 15:52:50 | 1 hormone therapy consistent with the sex 15:56:03 |
| 2 undergoing gonadectomy. Did I read that 15:52:56 | 2 assigned at birth and an expression of regret. 15:56:05 |
| 3 correctly? 15:52:59 | 3 Q. Okay. But they are only people 15:56:08 |
| 4 A. Yes, sir. 15:52:59 | 4 who underwent a gonadectomy and then came back 15:56:13 |
| 5 Q. So if I understand that sentence, 15:53:01 | 5 and sought hormones consist with their birth 15:56:17 |
| 6 they are reporting -- they are measuring 15:53:07 | 6 sex, correct? 15:56:21 |
| 7 essentially three things, how many of their 15:53:09 | 7 A. I think that's roughly analogous 15:56:21 |
| 8 patients received specific medical treatments, 15:53:15 | 8 to what they are saying, sir. 15:56:29 |
| 9 that's one, right, they are measuring that? 15:53:18 | 9 Q. Okay. They did not measure 15:56:30 |
| 10 A. Well, I believe the first thing 15:53:20 | 10 satisfaction with any particular therapy, did 15:56:35 |
| 11 that they list, sir, is, yes, the prevalence of 15:53:24 | 11 they? 15:56:38 |
| 12 transgender people who received medical 15:53:31 | 12 A. May I look at the methods? 15:56:38 |
| 13 treatment. 15:53:32 | 13 Q. Of course. 15:56:42 |
| 14 Q. And by prevalence, they are 15:53:33 | 14 A. So it appears that they did 15:57:15 |
| 15 counting their own patients as to how many of 15:53:36 | 15 abstract reasons for regret from the patients' 15:57:17 |
| 16 them received particular medical treatments, 15:53:38 | 16 medical records, but they did not appear to 15:57:24 |
| 17 right? 15:53:41 | 17 have administered a measure of patient 15:57:26 |
| 18 A. Correct. 15:53:41 | 18 satisfaction, sir. 15:57:29 |
| 19 Q. All right. And second, they are 15:53:42 | 19 Q. But, again, Doctor, that is only 15:57:31 |
| 20 measuring the frequency within their patient 15:53:49 | 20 people who underwent a gonadectomy and then 15:57:33 |
| 21 population of specific medical treatments, 15:53:53 | 21 came back to them requesting hormones consist 15:57:36 |
| 22 right? 15:53:57 | 22 with their birth sex, right? 15:57:38 |
| 23 A. Correct. 15:53:58 | 23 A. So I don't -- I don't know -- so, 15:57:40 |
| 24 Q. And then, third, how many of their 15:53:58 | 24 again, I would have to read this more closely 15:57:44 |
| 25 patients had a gonadectomy but then came back 15:54:02 | 25 to know whether they reviewed all of the 15:57:46 |

| | |
|---|--|
| Page 230 | Page 232 |
| 1 patients' records for expressions of regret or 15:57:49 | 1 experience regret without pursuing reversal 16:01:54 |
| 2 just that subpopulation of patients' records 15:57:55 | 2 surgery or hormone therapy, HT. Regret might 16:01:58 |
| 3 for expressions of regret. But you had asked 15:57:59 | 3 not always result in a desire for reversal 16:01:59 |
| 4 about satisfaction, and they did not administer 15:58:05 | 4 therapy, as it may be hidden from others. In 16:02:02 |
| 5 a measure of satisfaction to the patient 15:58:08 | 5 addition, in our population the average time to 16:02:04 |
| 6 population. 15:58:12 | 6 regret was 130 months, so it might be too early 16:02:07 |
| 7 Q. They did not measure how long 15:58:12 | 7 to examine regret rates in people who started 16:02:10 |
| 8 patients continued a particular therapy? 15:58:19 | 8 with HT in the past 10 years. Do you see that? 16:02:12 |
| 9 A. Please let me look. So your 15:58:26 | 9 A. I do, sir. 16:02:16 |
| 10 question again, sir? 15:59:20 | 10 Q. So they seem to be saying they 16:02:16 |
| 11 Q. They did not measure how long 15:59:21 | 11 were not counting people who chose not to seek 16:02:20 |
| 12 patients continued a particular therapy? 15:59:23 | 12 reversal therapy, correct? 16:02:22 |
| 13 A. So I am looking at Table 1, and it 15:59:25 | 13 A. So your question again, sir, is? 16:02:23 |
| 14 provides data about individuals starting what I 15:59:45 | 14 Q. The authors are noting that they 16:03:03 |
| 15 would believe to be puberty suppression and 15:59:49 | 15 are not counting people who regret the 16:03:05 |
| 16 stopping puberty suppression. So there may be 15:59:51 | 16 gonadectomy but did not pursue reversal 16:03:09 |
| 17 data potentially about the duration of therapy, 16:00:02 | 17 therapy, correct? 16:03:12 |
| 18 but I don't -- again, in this -- and, again, in 16:00:05 | 18 A. Reversal surgery or hormone 16:03:12 |
| 19 Table 4 there is information about the 16:00:21 | 19 therapy, yes, sir. It's common for authors at 16:03:20 |
| 20 characteristics of people who regret, and they 16:00:22 | 20 the conclusion of a study to discuss potential 16:03:29 |
| 21 report ages and times. 16:00:29 | 21 limitations, and I take it that that's what 16:03:32 |
| 22 So there does appear to be some 16:00:30 | 22 they are doing. 16:03:36 |
| 23 data in the report about duration of some 16:00:32 | 23 Q. Sure. Go to page 587. 16:03:36 |
| 24 treatments for some patient populations. So, 16:00:35 | 24 A. Yes, sir. 16:03:47 |
| 25 again, I would have to reread the article to 16:00:39 | 25 Q. Bottom of the page: An 16:03:48 |
| Page 231 | Page 233 |
| 1 give you more detail about what that looks 16:00:42 | 1 interesting finding is the percentage of 16:03:54 |
| 2 like. 16:00:44 | 2 children who were referred in childhood before 16:03:56 |
| 3 Q. In Table 4, everyone that they 16:00:44 | 3 12 years of age and who started PS when the GD 16:03:59 |
| 4 characterize as having regret, all of them had 16:00:48 | 4 persisted and the eligibility criteria were 16:04:03 |
| 5 a gonadectomy, did they not? You have got a 16:00:50 | 5 fulfilled. This 40 percent of children who 16:04:07 |
| 6 year listed for all of them, right? 16:00:53 | 6 started PS is almost identical to the 39 16:04:10 |
| 7 A. So your question again, sir? 16:00:55 | 7 percent of persistence of childhood GD reported 16:04:13 |
| 8 Q. Everyone they characterize as 16:01:07 | 8 in a previous Dutch study using a smaller 16:04:17 |
| 9 having regret had a gonadectomy, correct? 16:01:08 | 9 cohort of children. Did I read that correctly? 16:04:22 |
| 10 A. Yes, sir. 16:01:11 | 10 A. You did, sir. 16:04:23 |
| 11 Q. Go to page 589. 16:01:13 | 11 Q. So in this study, they are 16:04:24 |
| 12 A. Yes, sir. 16:01:22 | 12 claiming that they are reporting essentially a 16:04:30 |
| 13 Q. All right. First full paragraph 16:01:22 | 13 40 percent persistence rate for childhood 16:04:32 |
| 14 towards the bottom: Our findings could be an 16:01:25 | 14 gender dysphoria; is that right? 16:04:35 |
| 15 underestimation of people with -- 16:01:29 | 15 A. Yes, in the population that they 16:04:37 |
| 16 A. Oh, I'm sorry. Sir, are you -- 16:01:30 | 16 are referring to, yes, sir. 16:04:44 |
| 17 which paragraph are you in, sir? 16:01:32 | 17 (Thereupon, Exhibit 38, A Follow-Up 16:05:19 |
| 18 Q. First full paragraph on the 16:01:34 | 18 Study of Boys With Gender Identity Disorder, was 16:05:19 |
| 19 left-hand side, page 589. And I am towards the 16:01:35 | 19 marked for purposes of identification.) 16:05:20 |
| 20 bottom of that paragraph. 16:01:39 | 20 BY MR. FRAMPTON: 16:05:20 |
| 21 A. All right. 16:01:40 | 21 Q. I show you what I am marking as 16:05:21 |
| 22 Q. Our findings could be an 16:01:41 | 22 Defendants' Exhibit 38. This is a study titled 16:05:22 |
| 23 underestimation of people with regret after 16:01:44 | 23 A Follow-Up Study of Boys With Gender Identity 16:05:26 |
| 24 gonadectomy because some might choose to go 16:01:49 | 24 Disorder. The lead author is Devita Singh. 16:05:30 |
| 25 elsewhere for reversal therapy or might 16:01:51 | 25 Dr. Antommara, are you familiar with this 16:05:53 |

| | |
|---|---|
| Page 234 | Page 236 |
| 1 study? 16:05:55 | 1 treatment, GnRH analogs, to suppress somatic 16:09:06 |
| 2 A. I have read this study previously. 16:05:55 | 2 masculinization until sometime during 16:09:13 |
| 3 Q. And have you evaluated the 16:05:58 | 3 adolescence. Did I read that correctly? 16:09:15 |
| 4 desistance rates calculated in this study? 16:06:21 | 4 A. You did, sir. 16:09:17 |
| 5 A. What do you mean by evaluated, 16:06:26 | 5 Q. Okay. So if I am understanding 16:09:18 |
| 6 sir? 16:06:27 | 6 what I am reading, the children in this study 16:09:20 |
| 7 Q. Are you familiar with them? 16:06:28 | 7 did not receive puberty suppression at Tanner 16:09:26 |
| 8 A. To the extent that I have 16:06:30 | 8 Stage 2, they received it later, correct? 16:09:30 |
| 9 previously read the study, yes, sir. 16:06:35 | 9 A. So, sir, it's been awhile since I 16:09:32 |
| 10 Q. Go to page 14. 16:06:37 | 10 have read this study. You are reading material 16:09:37 |
| 11 A. Yes, sir. 16:06:52 | 11 out of the discussion, and it seems as though 16:09:40 |
| 12 Q. All right. In that very first 16:06:52 | 12 she is -- that the authors are making a 16:09:46 |
| 13 partial paragraph at the top left-hand side we 16:06:57 | 13 conjecture about what individuals' state of 16:09:48 |
| 14 read: It can, however, be said with certainty 16:07:03 | 14 pubertal development was based on their age 16:09:53 |
| 15 that the vast majority of boys were seen over a 16:07:06 | 15 rather than having explicitly collected data 16:09:56 |
| 16 particular period of time when the therapeutic 16:07:10 | 16 about individuals' Tanner staging. So I don't 16:10:00 |
| 17 approach of recommending or supporting a gender 16:07:12 | 17 know about the rigor or the evidence supporting 16:10:04 |
| 18 social transition prior to puberty was not 16:07:15 | 18 that claim without reviewing their methods and 16:10:09 |
| 19 made. Indeed, in the current study, there was 16:07:18 | 19 results. 16:10:14 |
| 20 only one patient who had socially transitioned 16:07:21 | 20 Q. Do you agree that the point -- the 16:10:15 |
| 21 prior to puberty at the suggestion and support 16:07:25 | 21 point in pubertal development -- I'm sorry, 16:10:22 |
| 22 of the professionals involved in this 16:07:27 | 22 strike that. You agree in this study, as with 16:10:25 |
| 23 individual's care and this particular patient 16:07:30 | 23 the Dutch studies we discussed earlier, they 16:10:44 |
| 24 was one of the persisters with a 16:07:32 | 24 did not measure the point at which a child 16:10:47 |
| 25 bipolar/androphilic sexual orientation. Did I 16:07:38 | 25 experienced desistance, the age or Tanner stage 16:10:51 |
| Page 235 | Page 237 |
| 1 read that correctly? 16:07:40 | 1 at which someone experienced desistance, 16:10:56 |
| 2 A. You did, sir. 16:07:40 | 2 correct? 16:10:58 |
| 3 Q. Do you agree that social 16:07:41 | 3 A. So my general recall is that 16:10:59 |
| 4 transition may affect rates of persistence and 16:07:51 | 4 studies of so-called desistance measured 16:11:05 |
| 5 desistance? 16:07:56 | 5 individuals' gender identity at two separate 16:11:10 |
| 6 A. So, sir, the care of prepubertal 16:07:56 | 6 points in time, as opposed to continuously. 16:11:13 |
| 7 children with gender dysphoria is not an area 16:08:11 | 7 But I would have to again review the methods of 16:11:16 |
| 8 of my clinical practice and is somewhat outside 16:08:16 | 8 this study to confirm that that is, in fact, 16:11:19 |
| 9 of the scope of my expertise. 16:08:21 | 9 what these authors did in this specific study. 16:11:22 |
| 10 Q. Okay, fair. It's the easiest way 16:08:24 | 10 Q. And in a study like that of that 16:11:25 |
| 11 to make me stop asking the question. Let's go 16:08:27 | 11 design, the child could have ceased experienced 16:11:30 |
| 12 to the next sentence there. Second, it should 16:08:32 | 12 gender dysphoria at any point between the 16:11:37 |
| 13 also be recognized -- 16:08:34 | 13 initial visit and the follow-up visit, correct? 16:11:40 |
| 14 A. May I go back, sir? 16:08:36 | 14 A. Yes, sir. 16:11:43 |
| 15 Q. Yeah, yeah, yeah, go back to where 16:08:38 | 15 Q. Okay. Let's go back to the Cass 16:11:48 |
| 16 I was. I am just going to read the next 16:08:40 | 16 report, Exhibit 15 for you, Dr. Antommaria. 16:11:52 |
| 17 sentence. 16:08:42 | 17 A. I have it, sir. 16:12:00 |
| 18 A. No, you had just implied that you 16:08:42 | 18 Q. Great, the question is do I have 16:12:00 |
| 19 were going to stop asking questions, and I had 16:08:44 | 19 it. Go to page 38. 16:12:06 |
| 20 closed the document. 16:08:48 | 20 A. Yes, sir. 16:12:35 |
| 21 Q. Not yet. Second, it should also 16:08:49 | 21 Q. Paragraph 3.31: The most 16:12:37 |
| 22 be recognized that for the boys seen in the 16:08:53 | 22 difficult question is whether puberty blockers 16:12:42 |
| 23 current study, none who were in late childhood 16:08:56 | 23 do, indeed, provide valuable time for children 16:12:44 |
| 24 and had likely entered puberty, Tanner Stage 2, 16:09:00 | 24 and young people to consider their options or 16:12:46 |
| 25 had received puberty-blocking hormone 16:09:04 | 25 whether they effectively lock in children and 16:12:49 |

| | |
|--|--|
| Page 238 | Page 240 |
| 1 young people to a treatment pathway which 16:12:52 | 1 A. So the studies of initiating 16:15:19 |
| 2 culminates in progression to 16:12:55 | 2 individuals on puberty blockers continue to 16:15:27 |
| 3 feminizing/masculinizing hormones by impeding 16:13:00 | 3 follow their gender identity, so they are 16:15:30 |
| 4 the usual process of sexual orientation and 16:13:01 | 4 investigating the persistence of gender 16:15:34 |
| 5 gender identity development. Did I read that 16:13:05 | 5 dysphoria. It's harder for me to understand 16:15:37 |
| 6 correctly? 16:13:07 | 6 what you mean by the difference between that 16:15:41 |
| 7 A. You did, sir. 16:13:07 | 7 and evaluating quote, unquote, changing the 16:15:44 |
| 8 Q. I will keep going, I'm sorry. 16:13:08 | 8 path. 16:15:48 |
| 9 Data from both the Netherlands and the study 16:13:12 | 9 Q. Right. Any studies evaluating 16:15:48 |
| 10 conducted by GIDS demonstrated that almost all 16:13:15 | 10 whether the administration of puberty blockers 16:15:52 |
| 11 children and young people who are put on 16:13:18 | 11 as opposed to some other intervention like 16:15:55 |
| 12 puberty blockers go on to sex hormone 16:13:21 | 12 counseling or psychological support changes the 16:16:00 |
| 13 treatment, 96.5 percent and 98 percent 16:13:23 | 13 pathway? 16:16:04 |
| 14 respectively. The reasons for this need to be 16:13:26 | 14 MR. CHEEK: Objection, form. 16:16:04 |
| 15 better understood. Did I read that correctly? 16:13:28 | 15 THE WITNESS: So, in particular, I am 16:16:09 |
| 16 A. You did, sir. 16:13:30 | 16 not aware of any randomized controlled trials of 16:16:10 |
| 17 Q. Do you agree, is it consistent 16:13:31 | 17 puberty blockers and mental health care compared 16:16:16 |
| 18 with your experience and understanding of the 16:13:35 | 18 to mental health care alone. 16:16:23 |
| 19 literature that almost all children put on 16:13:37 | 19 BY MR. FRAMPTON: 16:16:24 |
| 20 puberty blockers continue on to cross-sex 16:13:40 | 20 Q. Or any cohort studies? 16:16:25 |
| 21 hormones? 16:13:42 | 21 A. So there are -- so I would have to 16:16:27 |
| 22 A. Yes, it's consistent with my 16:13:43 | 22 refresh my memory. There are cohort studies 16:16:33 |
| 23 understanding. I am not sure that the 16:13:47 | 23 that look at -- I don't recall off the top of 16:16:36 |
| 24 significant majority of individuals who begin 16:13:50 | 24 my head whether it is puberty blockers or 16:16:39 |
| 25 puberty blockers proceed to treatment with 16:13:53 | 25 gender-affirming hormone therapy in adolescents 16:16:42 |
| Page 239 | Page 241 |
| 1 gender-affirming hormone therapy. 16:13:56 | 1 and compare them to some types of control, 16:16:46 |
| 2 Q. Do you agree that it is a 16:13:57 | 2 particularly the CoSta study. But I would have 16:16:52 |
| 3 difficult question whether the effect of 16:14:03 | 3 to acquaint myself with what the intervention 16:16:55 |
| 4 beginning puberty blockers during adolescence 16:14:07 | 4 in that study is. 16:16:58 |
| 5 effectively locks children and young people to 16:14:09 | 5 Q. The CoSta study is the only one 16:17:00 |
| 6 a treatment pathway? 16:14:12 | 6 that's coming to mind? 16:17:06 |
| 7 A. So I think it's difficult to 16:14:13 | 7 A. Yes, sir. 16:17:07 |
| 8 assess the statement in the Cass report that, 16:14:20 | 8 Q. And you are not recalling what the 16:17:07 |
| 9 quote, the most difficult question is this one. 16:14:24 | 9 control was there? 16:17:09 |
| 10 But I would agree that it is a important 16:14:30 | 10 A. Well, the control was individuals 16:17:13 |
| 11 question and methodologically difficult to 16:14:34 | 11 who did not receive the gender-affirming 16:17:15 |
| 12 answer. 16:14:39 | 12 hormone treatment -- the gender-affirming 16:17:19 |
| 13 Q. Are you aware of any studies that 16:14:39 | 13 medical care. What I don't recall is whether 16:17:21 |
| 14 have attempted to determine whether the 16:14:45 | 14 that gender-affirming medical care was puberty 16:17:25 |
| 15 administration of puberty blockers is changing 16:14:46 | 15 blockers or gender-affirming hormone therapy 16:17:27 |
| 16 the path of gender identity development in 16:14:48 | 16 and/or both. 16:17:29 |
| 17 children and increasing persistence of gender 16:14:52 | 17 Q. Okay. And what was the conclusion 16:17:30 |
| 18 dysphoria or transgender identification? 16:14:55 | 18 of that study as to whether the administration 16:17:40 |
| 19 A. Can you repeat the question, sir? 16:14:59 | 19 of either puberty blockers or cross-sex 16:17:43 |
| 20 Q. Absolutely. Are you aware of any 16:15:04 | 20 hormones is changing the path of gender 16:17:46 |
| 21 study that has attempted to determine whether 16:15:06 | 21 identity development, or was it not evaluating 16:17:49 |
| 22 puberty blockers are changing the path of 16:15:08 | 22 that question? 16:17:52 |
| 23 gender identity development in children and 16:15:11 | 23 A. I don't believe that there were 16:17:54 |
| 24 increasing the persistence of gender dysphoria 16:15:13 | 24 differences between the two groups in terms of 16:17:56 |
| 25 or transgender identification? 16:15:18 | 25 individuals' gender identity at the beginning 16:18:03 |

| | |
|---|---|
| Page 242 | Page 244 |
| 1 of the study and at the end of the study, sir. 16:18:05 | 1 which I am not familiar. There would be 16:21:36 |
| 2 But, again, I would have to look at the 16:18:13 | 2 reasons for me to have concern about some of 16:21:40 |
| 3 particular study and the outcomes that they 16:18:15 | 3 the claims that are being made in these 16:21:43 |
| 4 reported. 16:18:18 | 4 sentences, but I would need to read the article 16:21:45 |
| 5 Q. Sure. 16:18:19 | 5 in order to fully evaluate them. 16:21:49 |
| 6 A. Are we done with the Cass review, 16:18:58 | 6 Q. What concerns immediately come to 16:21:52 |
| 7 sir, for the time being? 16:19:00 | 7 mind? 16:21:55 |
| 8 Q. Yes. 16:19:01 | 8 A. So in the US context, competence 16:21:56 |
| 9 (Thereupon, Exhibit 39, Medical 16:19:03 | 9 would generally be seen as a legal category, 16:22:04 |
| 10 Decision-making in Children and Adolescents: 16:19:03 | 10 not a medical or ethical category. And the 16:22:08 |
| 11 Developmental and Neuroscientific Aspects, was 16:19:03 | 11 relative -- relevant category would be medical 16:22:13 |
| 12 marked for purposes of identification.) 16:19:04 | 12 decision-making capacity and that the authors 16:22:18 |
| 13 BY MR. FRAMPTON: 16:19:04 | 13 refer to developmental leaps. And my general 16:22:25 |
| 14 Q. I hand you what I am marking as 16:19:17 | 14 understanding is that there are gradual changes 16:22:29 |
| 15 Exhibit 39. This is a document titled Medical 16:19:18 | 15 in neurodevelopment over adolescence and young 16:22:34 |
| 16 Decision-making in Children and Adolescents: 16:19:25 | 16 adulthood. But the categorization of something 16:22:39 |
| 17 Developmental and Neuroscientific Aspects, from 16:19:27 | 17 as a developmental leap is language that I am 16:22:44 |
| 18 BMC Pediatrics. Do you have the article, 16:19:32 | 18 not familiar with, sir. 16:22:48 |
| 19 Dr. Antommaria? 16:19:35 | 19 Q. Are you familiar in general with a 16:22:49 |
| 20 A. I do. 16:19:35 | 20 notion that adolescents -- that adolescent 16:22:57 |
| 21 Q. Are you familiar with this one? 16:19:35 | 21 decision making is affected particularly by 16:23:02 |
| 22 A. I try to be familiar with much of 16:19:39 | 22 whether a context is, quote, hot or cold, the 16:23:08 |
| 23 the literature, sir, but I am not familiar with 16:19:45 | 23 emotional context? 16:23:15 |
| 24 this article. 16:19:46 | 24 A. I am familiar with that account of 16:23:16 |
| 25 Q. Are you familiar with the journal, 16:19:47 | 25 increased risk taking in adolescents, yes, sir. 16:23:18 |
| Page 243 | Page 245 |
| 1 BMC Pediatrics? 16:19:51 | 1 Q. And the notion that adolescent 16:23:22 |
| 2 A. I am familiar with the family of 16:19:54 | 2 decision making is particularly affected by 16:23:26 |
| 3 BMC journals, but I do not frequently read BMC 16:19:59 | 3 emotionally difficult situations? 16:23:32 |
| 4 Pediatrics, sir. 16:20:05 | 4 A. I don't know that my understanding 16:23:35 |
| 5 Q. Go to page 4, please. 16:20:05 | 5 of hot contexts is specifically framed in terms 16:23:44 |
| 6 A. I am on page 4, sir. 16:20:30 | 6 of -- your term again, emotionally -- 16:23:53 |
| 7 Q. Under adolescence and 16:20:31 | 7 Q. Emotionally loaded. 16:23:55 |
| 8 decision-making competence, it says, the second 16:20:43 | 8 A. Emotionally loaded circumstances. 16:23:57 |
| 9 sentence: However, due to differences in 16:20:48 | 9 My understanding is that, in part, hot 16:23:59 |
| 10 cross-talk between the various -- 16:20:51 | 10 circumstances are related to things such as 16:24:03 |
| 11 A. Hang on one second, sir, let me 16:20:52 | 11 peer influence and that as a clinician I try to 16:24:07 |
| 12 find it. 16:20:54 | 12 support adolescent decision making by creating 16:24:13 |
| 13 Q. Oh, I'm sorry. 16:20:54 | 13 what in that frame -- that conceptualization 16:24:19 |
| 14 A. Okay. Please go ahead. 16:20:56 | 14 might be a cold environment. 16:24:23 |
| 15 Q. However, due to differences in 16:20:57 | 15 Q. Other than peer influence, what 16:24:26 |
| 16 cross-talk between the various brain structures 16:21:03 | 16 contributes to a hot context for adolescents, 16:24:29 |
| 17 over the course of brain development, 16:21:05 | 17 in your understanding? 16:24:34 |
| 18 competence might fluctuate. A period in which 16:21:08 | 18 A. So I would say that the -- so I 16:24:50 |
| 19 this is especially pronounced is adolescence. 16:21:11 | 19 would distinguish the relative risks and 16:24:59 |
| 20 In this period, great changes and developmental 16:21:15 | 20 benefits of the decision that is being made 16:25:02 |
| 21 leaps take place in the brain, which can have a 16:21:17 | 21 from the emotionality of the situation and have 16:25:04 |
| 22 profound effect on decision-making competence. 16:21:20 | 22 certainly interacted with adolescents in making 16:25:10 |
| 23 Do you agree with those statements? 16:21:25 | 23 decisions that have significant risks and 16:25:12 |
| 24 A. So, again, you have asked me, sir, 16:21:27 | 24 benefits that they have nonetheless been able 16:25:15 |
| 25 to read several sentences out of an article of 16:21:31 | 25 to approach in a cool circumstance. So 16:25:18 |

Page 246

1 emotionality might be things like anger or 16:25:22
 2 frustration, as opposed to other components of 16:25:30
 3 emotionality. 16:25:32
 4 Q. So are you saying that 16:25:33
 5 emotionality can contribute to a hot 16:25:34
 6 circumstance? 16:25:36
 7 A. Some forms of emotionality can. 16:25:37
 8 Q. Have you seen literature 16:25:44
 9 suggesting that adolescents tend to overvalue 16:25:53
 10 short-term rewards rather than long-term 16:25:58
 11 rewards? 16:26:01
 12 A. I am aware of literature that 16:26:01
 13 reports that as an aggregate finding for 16:26:11
 14 adolescent -- for children and adolescents, 16:26:13
 15 yes, sir. 16:26:23
 16 (Thereupon, Exhibit 40, Assessing 16:26:28
 17 Medical Decision-Making Competence in Transgender 16:26:28
 18 Youth, was marked for purposes of identification.) 16:26:29
 19 BY MR. FRAMPTON: 16:26:29
 20 Q. I am showing you what I am marking 16:27:00
 21 as Exhibit 40, a document titled Assessing 16:27:01
 22 Medical Decision-Making Competence in 16:27:17
 23 Transgender Youth. Dr. Antommaria, are you 16:27:17
 24 familiar with this document? 16:27:19
 25 A. May I look at it for a moment, 16:27:20

Page 247

1 sir? 16:27:27
 2 Q. Of course. 16:27:27
 3 A. I am, sir. 16:27:29
 4 Q. And this was a study of medical 16:27:30
 5 decision-making capacity in adolescents who 16:27:40
 6 were about to go on puberty suppression; is 16:27:45
 7 that correct? I'm sorry, medical 16:27:50
 8 decision-making competence. 16:27:51
 9 A. So it is about individuals' 16:28:02
 10 medical decision-making capacity to make 16:28:07
 11 decisions about pubertal suppression, yes, sir. 16:28:10
 12 Q. Okay. And the structure of this 16:28:25
 13 study is the patients were all at a point where 16:28:29
 14 the clinician was ready to prescribe puberty 16:28:35
 15 suppression, and then they did both an informed 16:28:38
 16 consent interview and then an interview where 16:28:42
 17 they applied this MacCAT-T instrument, correct? 16:28:46
 18 A. So I am just reviewing the method, 16:28:54
 19 sir. 16:28:56
 20 Q. Yep. 16:28:56
 21 A. So yes, the population were 16:29:31
 22 adolescents who were -- the language that the 16:29:36
 23 report uses were about to start PS, or puberty 16:29:39
 24 suppression. And the second part of your 16:29:43
 25 question, sir? 16:29:48

Page 248

1 Q. So they did an informed consent 16:29:48
 2 interview with them that was videotaped, 16:29:51
 3 correct? 16:29:53
 4 A. I'm sorry, I am looking at their 16:29:54
 5 procedure, sir. 16:30:03
 6 Q. And I am looking in procedures, 16:30:03
 7 that this standard IC session was videotaped 16:30:14
 8 and used to establish the reference standard. 16:30:17
 9 A. Yes. And then it says in the 16:30:21
 10 following sentence after the IC or informed 16:30:23
 11 consent session, the MacCAT-T interview was 16:30:26
 12 administered by one of the researchers. 16:30:31
 13 Q. Okay. Is MacCAT-T an instrument 16:30:32
 14 that you have ever used? 16:30:35
 15 A. To the -- it is not, sir. 16:30:36
 16 Q. All right. So with the informed 16:30:44
 17 consent interviews, staying in procedures, they 16:30:54
 18 then had two experts and the clinician review 16:30:58
 19 those to determine if they thought the 16:31:07
 20 adolescent exhibited medical decision-making 16:31:12
 21 competence, correct? 16:31:14
 22 A. Yes, medical decision-making 16:31:15
 23 capacity, sir. 16:31:20
 24 Q. Yeah. And then they had three 16:31:20
 25 different people review the MacCAT-T video and 16:31:24

Page 249

1 make a decision based on that, whether they 16:31:28
 2 believed the adolescent exhibited MDC, correct? 16:31:31
 3 A. Yes, sir. 16:31:34
 4 Q. And on the MacCAT-T, if you flip 16:31:44
 5 back a page, you can see where they explain 16:31:48
 6 what that is. There is no cutoff score, 16:31:51
 7 correct? 16:32:00
 8 MR. CHEEK: Objection to form. 16:32:12
 9 THE WITNESS: So I am reading the 16:32:13
 10 MacCAT-T is a quantitative semi-structured 16:32:17
 11 interview used to assess the four medical 16:32:19
 12 decision-making capacity criteria. I am 16:32:22
 13 continuing to read, sir. 16:32:28
 14 BY MR. FRAMPTON: 16:32:28
 15 Q. You will get there. 16:32:29
 16 A. So yes, it states that an overall 16:32:37
 17 cutoff score for the MDC is not provided. 16:32:40
 18 Q. So no particular score means that 16:32:43
 19 the adolescent has medical decision-making 16:32:49
 20 competence, correct? 16:32:52
 21 A. So it states, sir, that the 16:32:53
 22 overall cutoff score for the MDC is not 16:33:05
 23 provided. The assessor weighs the sub scale 16:33:08
 24 scores along with conceptual information and 16:33:11
 25 judges the MDC in each individual case. I 16:33:14

| | |
|--|--|
| <p style="text-align: right;">Page 250</p> <p>1 would assume that -- and we have talked about 16:33:18 2 the GRADE criteria earlier today. And, again, 16:33:21 3 often tools rely on individual judgment in 16:33:26 4 applying them. 16:33:31 5 Q. So the reviewers could -- one 16:33:31 6 reviewer could regard a score of 14 as 16:33:36 7 generally establishing competence, and one 16:33:39 8 reviewer could regard a score of 18 as 16:33:41 9 generally establishing competence, correct? 16:33:44 10 A. Well, that's assuming that they 16:33:46 11 were judging competence on the basis of a 16:33:51 12 score, as opposed to weighing the scores and 16:33:53 13 other contextual information. It would suggest 16:33:57 14 that using the cutoff score in the way you 16:34:00 15 suggest was not the way the tool was designed. 16:34:03 16 Q. Go to Table 2, please, if you 16:34:06 17 would. 16:34:09 18 A. On what page, sir? 16:34:12 19 Q. It's going to be page 6. 16:34:13 20 A. I am on page 6, sir. 16:34:16 21 Q. Okay. The study involved 16 natal 16:34:18 22 boys, correct? 16:34:25 23 A. Yes, 16 individuals who were 16:34:26 24 assigned male at birth. 16:34:30 25 Q. So far fewer natal males than 16:34:31</p> | <p style="text-align: right;">Page 252</p> <p>1 A. Oh, can you repeat your question, 16:36:06 2 sir? 16:36:09 3 Q. Does it appear that four out of 16:36:09 4 the 16 natal males in the study were adjudged 16:36:14 5 incompetent on one or both standards? 16:36:18 6 A. Yes, four out of 16, sir. 16:36:23 7 Q. And that is 25 percent, is it not? 16:36:25 8 A. Yes, sir. 16:36:29 9 Q. Okay. Go to -- stay on that same 16:36:29 10 page. Go to the main column of text on the 16:36:32 11 right-hand side, first full paragraph. Do you 16:36:34 12 see -- 16:36:46 13 A. Yes, sir. 16:36:46 14 Q. -- where it says: In all of these 16:36:47 15 11 adolescents assessed incompetent except for 16:36:50 16 one, the involved clinician had no doubts about 16:36:53 17 medical decision-making competence. Do you see 16:36:59 18 that? 16:37:01 19 A. You read that sentence correctly, 16:37:01 20 sir. 16:37:04 21 Q. So in the 11 cases where the 16:37:04 22 adolescent was assessed incompetent on one or 16:37:08 23 both measures, the clinician got it wrong 10 16:37:12 24 out of 11 times; is that right? 16:37:19 25 A. Can I read the study, sir? 16:37:21</p> |
| <p style="text-align: right;">Page 251</p> <p>1 natal females, right? 16:34:33 2 A. So there were 58 individuals 16:34:35 3 assigned female at birth in the study, sir. 16:34:38 4 Q. And if we go down to Table 3 and 16:34:41 5 count the number of natal males who were judged 16:34:49 6 incompetent on one or both standards, how many 16:34:54 7 do you get? 16:34:59 8 A. So if you combine the reference 16:35:00 9 standard and the MacCAT-T, it would appear 11, 16:35:20 10 sir. 16:35:26 11 Q. Now, how many of them were natal 16:35:26 12 males? 16:35:28 13 A. Four. It appears that the number 16:35:29 14 is four, sir. 16:35:40 15 Q. So 25 percent of natal males, 16:35:41 16 correct, adjudged incompetent on one or both 16:35:43 17 standards? 16:35:47 18 MR. CHEEK: Objection, form, 16:35:52 19 misstates evidence. 16:35:53 20 MR. FRAMPTON: No, it doesn't. But 16:35:55 21 go ahead. 16:35:56 22 THE WITNESS: Four out of 11, sir. 16:35:57 23 BY MR. FRAMPTON: 16:36:00 24 Q. I'm sorry, four out of 16 natal 16:36:01 25 males in the study, correct? 16:36:04</p> | <p style="text-align: right;">Page 253</p> <p>1 Q. Uh-huh. 16:37:29 2 A. So I don't -- so the sentence that 16:38:18 3 you are quoting, sir, appears in the 16:39:06 4 discussion. I am just having difficulty seeing 16:39:10 5 where in the results, including the tables, 16:39:14 6 it's reporting the results that in all of these 16:39:18 7 11 adolescents assessed incompetent except for 16:39:22 8 one, the involved clinician had no doubts about 16:39:26 9 the MDC. 16:39:29 10 For example, in Table 3 where 16:39:32 11 those 11 individuals are described, it 16:39:35 12 describes the results for the reference 16:39:37 13 standard in the MacCAT-T, but I don't see a 16:39:40 14 separate column for the involved clinician. So 16:39:43 15 I am just having trouble putting the discussion 16:39:51 16 together with the results that the 16:39:54 17 investigators provide. 16:39:58 18 Q. They could be discussing results 16:39:58 19 that they did not separately report in a table, 16:40:00 20 correct? 16:40:02 21 MR. CHEEK: Objection, calls for 16:40:03 22 speculation. 16:40:05 23 THE WITNESS: In general, it would be 16:40:08 24 best practice to include all of the results in the 16:40:10 25 results section and not introduce new results in 16:40:15</p> |

| | |
|---|---|
| Page 254 | Page 256 |
| 1 the discussion. So I am just having difficulty 16:40:19 | 1 Q. The scenarios they did include do 16:42:55 |
| 2 reconciling that with reacquainting myself with 16:40:22 | 2 not involve potential loss of fertility, 16:43:00 |
| 3 this study this afternoon, sir. 16:40:26 | 3 correct? 16:43:10 |
| 4 BY MR. FRAMPTON: 16:40:27 | 4 A. That would not be a major risk of 16:43:10 |
| 5 Q. Okay. But you do see that in the 16:40:28 | 5 diabetes, epilepsy, depression, or enuresis, 16:43:14 |
| 6 discussion, at least, they report that in 10 16:40:30 | 6 sir. 16:43:19 |
| 7 out of the 11 adolescents assessed incompetent, 16:40:35 | 7 Q. Impairment of neurodevelopment? 16:43:19 |
| 8 the clinician believed the adolescent was 16:40:38 | 8 A. I'm sorry, sir? 16:43:22 |
| 9 competent, correct? 16:40:40 | 9 Q. Would impairment of 16:43:23 |
| 10 A. Yes, I see that sentence, sir. 16:40:41 | 10 neurodevelopment be a major risk of any of 16:43:26 |
| 11 Q. All right. I am going to move on. 16:40:44 | 11 those diseases? 16:43:28 |
| 12 (Thereupon, Exhibit 41, The 16:40:46 | 12 A. Potentially epilepsy, sir. 16:43:29 |
| 13 Competency of Children and Adolescents to Make 16:40:46 | 13 Q. If I understand the basic 16:43:32 |
| 14 Informed Treatment Decisions, was marked for 16:40:46 | 14 structure of this study, they were presenting 16:43:46 |
| 15 purposes of identification.) 16:40:46 | 15 the participants with these sort of medical 16:43:49 |
| 16 BY MR. FRAMPTON: 16:40:46 | 16 scenarios and then applying a couple of -- a 16:43:54 |
| 17 Q. Show you what I am marking as 16:40:47 | 17 series of instruments to how they made 16:43:57 |
| 18 Exhibit 41. I am handing you an article titled 16:40:53 | 18 decisions based on the scenario, correct, in at 16:43:59 |
| 19 The Competency of Children and Adolescents to 16:41:16 | 19 least general terms? 16:44:06 |
| 20 Make Informed Decisions, from 1982. Do you 16:41:17 | 20 A. One moment, sir. So, yes, they 16:44:08 |
| 21 recognize this article, sir? 16:41:20 | 21 were presented with the dilemmas and then 16:44:37 |
| 22 A. I do, sir. 16:41:20 | 22 interviewed about decision making relative to 16:44:39 |
| 23 Q. And this is a study that you 16:41:21 | 23 those dilemmas, sir. 16:44:41 |
| 24 cited, correct? 16:41:34 | 24 Q. Go to page 1596, please. 16:44:42 |
| 25 A. I believe so, sir. 16:41:34 | 25 A. Yes, sir. 16:44:58 |
| Page 255 | Page 257 |
| 1 Q. Look on page 1592, please. 16:41:36 | 1 Q. And before I ask you, these are -- 16:44:58 |
| 2 A. Yes, sir. 16:41:46 | 2 they are presenting these folks with 16:45:01 |
| 3 Q. All right. The top left-hand 16:41:46 | 3 hypothetical scenarios, correct? 16:45:05 |
| 4 corner, the second sentence says: From 25 16:41:48 | 4 A. Yes, sir. 16:45:08 |
| 5 dilemmas that were pilot tested, four were 16:41:50 | 5 Q. So, by definition, the participant 16:45:11 |
| 6 chosen because they represented a range of 16:41:52 | 6 has no sort of emotional stake in the scenario 16:45:14 |
| 7 complexity, content, and difficulty and were 16:41:55 | 7 that's being presented, correct? It's not a 16:45:20 |
| 8 not viewed as being too sensitive or disturbing 16:41:57 | 8 medical problem they are actually experiencing, 16:45:22 |
| 9 to present to the youngest subjects. Of these 16:41:59 | 9 right? 16:45:24 |
| 10 four dilemmas, two described treatment 16:42:05 | 10 A. So I would have to look at their 16:45:25 |
| 11 alternatives for medical problems, diabetes and 16:42:07 | 11 inclusion and exclusion criteria to know if 16:45:29 |
| 12 epilepsy, and two described alternatives for 16:42:10 | 12 they excluded individuals who might be 16:45:33 |
| 13 psychological problems, depression and 16:42:12 | 13 experiencing those conditions. Certainly, 16:45:36 |
| 14 enuresis. Did I read that correctly? 16:42:17 | 14 enuresis is relatively common, and so I 16:45:43 |
| 15 A. You did, sir. 16:42:17 | 15 don't -- again, looking at this again today, I 16:45:44 |
| 16 Q. So the authors report that they 16:42:18 | 16 don't know whether they explicitly excluded 16:45:48 |
| 17 avoided scenarios that they deemed too 16:42:31 | 17 individuals with enuresis from this study. 16:45:51 |
| 18 sensitive or disturbing, correct? 16:42:33 | 18 Q. As a general matter, these were 16:45:53 |
| 19 A. That's what you read, sir. 16:42:36 | 19 hypothetical scenarios, correct? 16:45:56 |
| 20 Q. And you don't have any way of 16:42:38 | 20 A. That's my understanding of this 16:45:58 |
| 21 knowing if they would have judged gender 16:42:39 | 21 study. 16:46:02 |
| 22 dysphoria too sensitive or disturbing, do you? 16:42:41 | 22 Q. So unless a participant had a 16:46:02 |
| 23 A. So based on what you have read, 16:42:45 | 23 particular one of these, they would not have a 16:46:04 |
| 24 sir, they don't identify the topics of the 16:42:50 | 24 particular emotional stake in the treatment 16:46:07 |
| 25 scenarios that they excluded. 16:42:54 | 25 decision, correct? 16:46:10 |

| | |
|---|--|
| Page 258 | Page 260 |
| <p>1 A. So, again, sir, I think that 16:46:10</p> <p>2 that's an overgeneralization. Certainly, 16:46:19</p> <p>3 diabetes and epilepsy and depression are very 16:46:21</p> <p>4 common in the general population so that even 16:46:24</p> <p>5 if the participant in the study did not have 16:46:26</p> <p>6 one of those conditions, one of their family 16:46:29</p> <p>7 members may have had those conditions and they 16:46:30</p> <p>8 may have had a significant emotional investment 16:46:33</p> <p>9 in the condition. Again, I don't know that 16:46:35</p> <p>10 those individuals were excluded from the study. 16:46:36</p> <p>11 Q. Page 1596, the first full 16:46:39</p> <p>12 paragraph. It says, second sentence: Subjects 16:46:49</p> <p>13 clearly were not influenced by a current 16:46:53</p> <p>14 physical illness or physiological disorder or 16:46:58</p> <p>15 by factors such as weakness, confusion, 16:46:58</p> <p>16 depression, or anxiety, which sometimes 16:47:02</p> <p>17 accompany such conditions. These factors may 16:47:02</p> <p>18 decrease individuals' ability to use their 16:47:05</p> <p>19 cognitive capacities in health care decision 16:47:08</p> <p>20 making. Do you see that? 16:47:11</p> <p>21 A. Which paragraph are you in, sir? 16:47:12</p> <p>22 Q. Right-hand column, first full 16:47:14</p> <p>23 paragraph. 16:47:17</p> <p>24 A. So, again, sir, I take it that 16:47:33</p> <p>25 that description is stating that the 16:47:36</p> | <p>1 causing an excess growth of body hair, 16:48:52</p> <p>2 hirsutism. Did I read that correctly? 16:48:56</p> <p>3 A. You did, sir. 16:48:57</p> <p>4 Q. And then it goes on to say: These 16:48:57</p> <p>5 differences do suggest that competency, as 16:49:00</p> <p>6 defined by certain legal tests, may depend to 16:49:02</p> <p>7 some degree upon the dimensions of the specific 16:49:05</p> <p>8 decision-making context. 16:49:07</p> <p>9 A. You read that correctly, sir. 16:49:12</p> <p>10 Q. Do you recall from looking at this 16:49:14</p> <p>11 study that the 14-year-olds experienced 16:49:17</p> <p>12 decreased decision-making competence with 16:49:21</p> <p>13 respect to matters affecting body image? 16:49:23</p> <p>14 A. Prior to your reading this, sir, I 16:49:27</p> <p>15 did not recall that nuance of the study 16:49:32</p> <p>16 results. 16:49:37</p> <p>17 Q. Okay, that's fine. I'll move on. 16:49:37</p> <p>18 (Thereupon, Exhibit 42, A Qualitative 16:49:46</p> <p>19 Study of Adolescents' Understanding of Biobanks 16:49:46</p> <p>20 and Their Attitudes Toward Participation, 16:49:46</p> <p>21 Re-contact, and Data Sharing, was marked for 16:49:46</p> <p>22 purposes of identification.) 16:49:47</p> <p>23 BY MR. FRAMPTON: 16:49:47</p> <p>24 Q. I hand you what I am marking as 16:50:02</p> <p>25 Defendants' Exhibit 42. And this is an article 16:50:03</p> |
| Page 259 | Page 261 |
| <p>1 participant in the study doesn't currently have 16:47:39</p> <p>2 a physical illness or a psychological disorder. 16:47:41</p> <p>3 I think the claim that I was making is that 16:47:44</p> <p>4 certainly their parent or a family member might 16:47:47</p> <p>5 have diabetes or epilepsy. I don't see that 16:47:50</p> <p>6 that possibility is excluded by that 16:47:53</p> <p>7 sentence, sir. 16:47:55</p> <p>8 Q. You don't have any reason to 16:47:56</p> <p>9 disagree with the author's sentence, do you? 16:47:58</p> <p>10 A. May I read the full paragraph, 16:48:01</p> <p>11 sir? 16:48:08</p> <p>12 Q. Actually, I'll leave it. Let me 16:48:14</p> <p>13 go to the paragraph on -- left-hand column, 16:48:16</p> <p>14 last paragraph. Although the performance of 16:48:19</p> <p>15 the 14-year-olds was generally equivalent to 16:48:23</p> <p>16 that of the adults, numerically small but 16:48:26</p> <p>17 statistically significant differences between 16:48:29</p> <p>18 these groups were found for the epilepsy 16:48:32</p> <p>19 dilemma on two of the four competency scales. 16:48:35</p> <p>20 These findings may relate to the concerns of 16:48:37</p> <p>21 early adolescence about body image and physical 16:48:39</p> <p>22 attractiveness, since the recommended 16:48:41</p> <p>23 medication rejected by 12.5 percent of the 16:48:43</p> <p>24 14-year-olds was described as sometimes leading 16:48:47</p> <p>25 to periodontal problems and occasionally 16:48:50</p> | <p>1 titled A Qualitative Study of Adolescents' 16:50:19</p> <p>2 Understanding of Biobank and Their Attitudes 16:50:23</p> <p>3 Towards Participation, Re-contact, and Data 16:50:25</p> <p>4 Sharing; is that right? 16:50:28</p> <p>5 A. Yes, sir. 16:50:29</p> <p>6 Q. You obviously recognize this one, 16:50:30</p> <p>7 do you not? 16:50:33</p> <p>8 A. I do, sir. 16:50:34</p> <p>9 Q. You were one of the investigators 16:50:34</p> <p>10 here, correct? 16:50:38</p> <p>11 A. Correct, sir. 16:50:38</p> <p>12 Q. What are biobanks? 16:50:39</p> <p>13 A. Biobanks are large collections of 16:50:41</p> <p>14 individuals' biological specimens and data that 16:50:49</p> <p>15 are utilized to conduct research potentially at 16:50:54</p> <p>16 a particular point in time and then in the 16:50:58</p> <p>17 future. 16:51:03</p> <p>18 Q. They are not used for treatment of 16:51:04</p> <p>19 the individual patients who contribute, 16:51:07</p> <p>20 correct? 16:51:10</p> <p>21 A. So there is an ongoing debate 16:51:10</p> <p>22 about the potential return of medically 16:51:17</p> <p>23 actionable results to participants in biobanks. 16:51:20</p> <p>24 But yes, the primary intention of a biobank is 16:51:24</p> <p>25 to support research, not clinical care. 16:51:27</p> |

| | |
|---|---|
| Page 262 | Page 264 |
| 1 Q. And in this study, you personally 16:51:31 | 1 adolescents had previously heard of biobanks, 16:54:20 |
| 2 conducted all of the interviews, correct? 16:51:38 | 2 and many of them had misconceptions about 16:54:22 |
| 3 A. No, sir; I did not personally 16:51:40 | 3 biobanks that persisted even after attempts at 16:54:25 |
| 4 conduct the interviews. 16:51:44 | 4 education, correct? 16:54:29 |
| 5 Q. Oh, all right. Look at page 931. 16:51:45 | 5 A. Correct, sir. 16:54:30 |
| 6 Oh, I see what happened here. You are not 16:51:52 | 6 Q. Dropping down to the next 16:54:31 |
| 7 A.M.M. 16:51:58 | 7 paragraph, it says: Misunderstandings about 16:54:35 |
| 8 A. I am not, sir. 16:51:58 | 8 the purpose of biobanks persisted throughout 16:54:41 |
| 9 Q. You are A.M.A. All right. So one 16:51:59 | 9 the interview. Some of these misunderstands 16:54:42 |
| 10 of your colleagues conducted the interviews, 16:52:04 | 10 were sufficient, for example, that the primary 16:54:45 |
| 11 correct? 16:52:06 | 11 purpose of the biobank was clinical care rather 16:54:46 |
| 12 A. Ms. Murad, the primary author, who 16:52:06 | 12 than research, to suggest that some adolescents 16:54:49 |
| 13 was a graduate student in the genetic 16:52:14 | 13 may have insufficient background knowledge to 16:54:52 |
| 14 counseling program, I believe conducted the 16:52:17 | 14 make an adequately informed decision about 16:54:54 |
| 15 interviews. 16:52:20 | 15 participation. Did I read that correctly? 16:54:57 |
| 16 Q. Okay. Go to page 932. 16:52:20 | 16 A. You did, sir. 16:54:58 |
| 17 A. I am on 932, sir. 16:52:38 | 17 Q. So in this study, you found that 16:54:59 |
| 18 Q. All right. Under results, it says 16:52:39 | 18 at least some of your participants would not 16:55:03 |
| 19 sort of second full paragraph, the second 16:52:45 | 19 have been in a sufficient place to make an 16:55:05 |
| 20 sentence: Following the presentation of the 16:52:47 | 20 adequately informed decision about 16:55:09 |
| 21 educational information about biobanks, 16:52:49 | 21 participating in a biobank, correct? 16:55:10 |
| 22 participants were asked to restate in their own 16:52:51 | 22 A. So it is to say that it is not 16:55:12 |
| 23 words what they thought a biobank was and were 16:52:53 | 23 uncommon for adolescents or adults to confuse 16:55:17 |
| 24 then asked to describe the benefits of 16:52:56 | 24 research with clinical practice and, hence, the 16:55:22 |
| 25 participating in a biobank. Many participants 16:52:59 | 25 concept of therapeutic misconception. The 16:55:26 |
| Page 263 | Page 265 |
| 1 did not have a good understanding of biobanks, 16:53:01 | 1 objective of this study was not to consent 16:55:30 |
| 2 and then it references Table 2. Did I read 16:53:04 | 2 individuals for participation in biobanks. And 16:55:34 |
| 3 that correctly? 16:53:07 | 3 so yes, after a brief education about biobanks, 16:55:41 |
| 4 A. You did, sir. 16:53:07 | 4 there was still inadequate knowledge about what 16:55:44 |
| 5 Q. Was that, indeed, something you 16:53:08 | 5 a biobank was. We did not conduct further 16:55:47 |
| 6 found in this study, that most of the 16:53:11 | 6 interventions to see if that misunderstanding 16:55:51 |
| 7 participants did not ultimately exhibit a good 16:53:13 | 7 was persistent or whether that was surmountable 16:55:54 |
| 8 understanding of biobanks? 16:53:19 | 8 with new -- with additional or improved 16:55:58 |
| 9 A. So what a biobank, as you had 16:53:21 | 9 educational interventions, sir. 16:56:01 |
| 10 asked me to describe a biobank, is not a 16:53:30 | 10 Q. Go over to the next column. Tell 16:56:03 |
| 11 concept that is very familiar or seemingly to 16:53:32 | 11 me what the effect heuristic is. 16:56:10 |
| 12 adolescents. They were provided brief 16:53:38 | 12 A. Which paragraph are you in, sir? 16:56:16 |
| 13 educational information. But as Table 2 16:53:39 | 13 Q. The second full paragraph on the 16:56:18 |
| 14 suggests, that after that brief educational 16:53:43 | 14 second column of 935. 16:56:19 |
| 15 intervention, there were some misunderstandings 16:53:47 | 15 A. So the sentence in the paragraph 16:56:30 |
| 16 that persisted, yes, sir. 16:53:51 | 16 reads, sir: The affect heuristic is when 16:56:32 |
| 17 Q. Go to page 935. 16:53:53 | 17 individuals who have favorable feelings about 16:56:36 |
| 18 A. I am on 935, sir. 16:54:03 | 18 participating in an activity tend to judge the 16:56:38 |
| 19 Q. All right. You list -- under 16:54:05 | 19 risks of participation as low and the benefits 16:56:40 |
| 20 discussion, you list four numbered findings, 16:54:07 | 20 as high. 16:56:43 |
| 21 correct? 16:54:13 | 21 Q. And so the basic idea is if 16:56:44 |
| 22 A. The first paragraph in the 16:54:13 | 22 someone comes into -- if someone comes in with 16:56:51 |
| 23 discussion includes four numbered findings, 16:54:16 | 23 a preconception with favorable feelings about a 16:56:59 |
| 24 yes. 16:54:18 | 24 particular activity or intervention, that may 16:57:03 |
| 25 Q. The first of which is very few 16:54:18 | 25 color their perception of the risks and 16:57:06 |

| | |
|--|--|
| Page 266 | Page 268 |
| 1 benefits, correct? 16:57:10 | 1 understand the importance of healthy sexual 16:59:38 |
| 2 A. So, sir, given the increasing 16:57:11 | 2 relationships to mental health and happiness 16:59:40 |
| 3 literature about behavioral economics, we 16:57:17 | 3 across the decades of adult life? 16:59:42 |
| 4 increasingly understand the heuristics that 16:57:22 | 4 MR. CHEEK: Objection, form. 16:59:45 |
| 5 both adolescents and adults use in decision 16:57:25 | 5 THE WITNESS: So I think the 16:59:47 |
| 6 making. And in clinical practice, we attempt 16:57:28 | 6 fundamental thing to say is that medical decision 16:59:52 |
| 7 to recognize those potential heuristics and 16:57:31 | 7 making for adolescents generally requires parental 16:59:57 |
| 8 lead individuals in decision making in ways 16:57:37 | 8 consent and that adolescents are not being -- 17:00:01 |
| 9 that address ways that those heuristics might 16:57:40 | 9 Tanner Stage 2 adolescents are not being asked to 17:00:06 |
| 10 mislead them. 16:57:45 | 10 give informed consent to the use of GnRH analogs. 17:00:10 |
| 11 Q. My question was is it the case 16:57:46 | 11 I would say that there is variability in the 17:00:17 |
| 12 that if someone -- I understand what you are 16:57:50 | 12 medical decision-making capacity of adolescents 17:00:21 |
| 13 saying you do in practice. My question is if 16:57:52 | 13 and that there are adolescents who are capable of 17:00:27 |
| 14 someone comes in with favorable feelings about 16:57:55 | 14 understanding the implications of a variety of 17:00:32 |
| 15 a particular activity, do we understand that 16:57:59 | 15 medical treatments for their adult life, including 17:00:36 |
| 16 that may lead them to judge the risks as low 16:58:02 | 16 having biologically related children. 17:00:42 |
| 17 and the benefits as high? 16:58:08 | 17 BY MR. FRAMPTON: 17:00:44 |
| 18 MR. CHEEK: Objection, form. 16:58:10 | 18 Q. You believe that they can 17:00:46 |
| 19 THE WITNESS: So, sir, this is a 16:58:12 | 19 understand the importance of being able to 17:00:47 |
| 20 paragraph from the discussion. It is speculating 16:58:14 | 20 become a biological parent? 17:00:50 |
| 21 about a potential cause of a finding. The 16:58:18 | 21 A. Can you repeat the question, sir? 17:00:52 |
| 22 sentence at the end of the paragraph reads: 16:58:23 | 22 Q. Sure. You believe that a Tanner 17:00:55 |
| 23 Additional research would be needed to validate 16:58:26 | 23 Stage 2 adolescent can meaningfully understand 17:00:58 |
| 24 this hypothesis. 16:58:28 | 24 the importance of becoming -- being able to 17:01:00 |
| 25 BY MR. FRAMPTON: 16:58:30 | 25 become a biological parent? 17:01:03 |
| Page 267 | Page 269 |
| 1 Q. And I am just saying as a general 16:58:31 | 1 A. Yes, I believe that there are 17:01:04 |
| 2 matter, is that what the -- is the affect 16:58:33 | 2 adolescents who are at Tanner Stage 2 who are 17:01:08 |
| 3 heuristic something that you are aware of from 16:58:36 | 3 capable in a meaningful way of understanding 17:01:11 |
| 4 the literature? 16:58:38 | 4 that. 17:01:17 |
| 5 A. We cite to the literature in this 16:58:39 | 5 Q. And also meaningfully 17:01:17 |
| 6 discussion, sir. 16:58:43 | 6 understanding the importance of healthy sexual 17:01:18 |
| 7 Q. Right, right. And did I 16:58:43 | 7 relationships? 17:01:21 |
| 8 accurately describe the affect heuristic as the 16:58:46 | 8 A. Can you be more specific about 17:01:21 |
| 9 idea that if someone comes in with a favorable 16:58:51 | 9 what you mean by healthy sexual relationships, 17:01:31 |
| 10 view of a particular activity, then they tend 16:58:54 | 10 sir? 17:01:34 |
| 11 to judge the risks as low and the benefits as 16:58:56 | 11 Q. Sure, the ability to orgasm. 17:01:34 |
| 12 high? 16:58:59 | 12 MR. CHEEK: Objection, form. 17:01:37 |
| 13 A. Yes, sir. And we discussed 16:58:59 | 13 THE WITNESS: I would say that many 17:01:45 |
| 14 earlier the risks of bias and masking. And 16:59:01 | 14 Tanner Stage 2 adolescents do not have personal 17:01:50 |
| 15 part of the reason for masking is to affect to 16:59:06 | 15 experience with the experience that you have 17:01:54 |
| 16 prevent those types of heuristics from 16:59:12 | 16 described, but certainly they may well understand 17:01:57 |
| 17 influencing the results of studies. 16:59:14 | 17 the importance of sexuality broadly understood in 17:02:01 |
| 18 Q. Do you contend that Tanner Stage 2 16:59:16 | 18 their parental relationships and may be able to 17:02:06 |
| 19 adolescents can meaningfully understand the 16:59:21 | 19 understand in some ways the way that that would 17:02:08 |
| 20 importance of healthy sexual relationships to 16:59:25 | 20 affect the relationships which they wish to have 17:02:11 |
| 21 mental health and happiness across the decades 16:59:26 | 21 as an adult. 17:02:14 |
| 22 of adult life? 16:59:29 | 22 BY MR. FRAMPTON: 17:02:14 |
| 23 A. Can you repeat your question, sir? 16:59:30 | 23 Q. And I simply asked the question 17:02:17 |
| 24 Q. Yeah. Do you contend that a 16:59:34 | 24 because would you agree that anorgasmia is one 17:02:19 |
| 25 Tanner Stage 2 adolescent can meaningfully 16:59:35 | 25 of the risks associated with starting pubertal 17:02:23 |

Page 270

1 suppression at Tanner Stage 2 and continuing 17:02:27
 2 immediately to cross-sex hormones, particularly 17:02:29
 3 for natal males? 17:02:32
 4 A. So I understand that there is some 17:02:33
 5 discussion of that in the literature. I 17:02:40
 6 haven't seen substantial data about the 17:02:43
 7 frequency with which that occurs. 17:02:48
 8 MR. FRAMPTON: Let's take a quick 17:02:51
 9 break. I think I have got about five minutes 17:02:52
 10 left. 17:02:54
 11 (Recess taken.) 17:02:56
 12 MR. FRAMPTON: Let's go back on the 17:04:25
 13 record. 17:04:26
 14 BY MR. FRAMPTON: 17:04:26
 15 Q. Dr. Antommara, are you aware of 17:04:26
 16 any published literature documenting a Tanner 17:04:28
 17 Stage 2 natal male beginning puberty 17:04:36
 18 suppression at that point and continuing on 17:04:41
 19 cross-sex hormones immediately and then in 17:04:43
 20 adult life being able to achieve orgasm? 17:04:47
 21 MR. CHEEK: Objection, form. 17:04:50
 22 THE WITNESS: So that's not a subject 17:04:51
 23 that I have searched the literature in order to 17:04:57
 24 find an answer for, sir. 17:04:59
 25 BY MR. FRAMPTON: 17:05:00


Page 271

1 Q. So you are not aware of one 17:05:01
 2 sitting here today; is that correct? 17:05:02
 3 A. I am not, but there would not be a 17:05:03
 4 particular reason that I would know whether 17:05:10
 5 that type of literature exists or not. 17:05:14
 6 Q. It's not something you have ever 17:05:16
 7 looked for? 17:05:17
 8 A. No, it's not something I have 17:05:18
 9 specifically looked for, sir. 17:05:21
 10 MR. FRAMPTON: I think we are done. 17:05:24
 11 In fact, I know we are done. 17:05:26
 12 (Thereupon, the deposition was 17:05:41
 13 concluded at 5:05 p.m.) 17:05:42
 14
 15
 16
 17
 18
 19
 20
 21
 22
 23
 24
 25

Page 272

1 STATE OF OHIO)
 2 COUNTY OF MONTGOMERY) SS: CERTIFICATE
 3 I, Monica K. Schrader, a Notary
 4 Public within and for the State of Ohio, duly
 5 commissioned and qualified,
 6 DO HEREBY CERTIFY that the
 7 above-named ARMAND H. AN TOMMARRIA, M.D., Ph.D.,
 8 FAAP, HEC-C, was by me first duly sworn to testify
 9 the truth, the whole truth and
 10 nothing but the truth.
 11 Said testimony was reduced to
 12 writing by me stenographically in the presence
 13 of the witness and thereafter reduced to
 14 typewriting.
 15 I FURTHER CERTIFY that I am not a
 16 relative or Attorney of either party, in any
 17 manner interested in the event of this action,
 18 nor am I, or the court reporting firm with which
 19 I am affiliated, under a contract as defined in
 20 Civil Rule 28(D).
 21
 22
 23
 24
 25

Page 273

1 IN WITNESS WHEREOF, I have hereunto set
 2 my hand and seal of office at Dayton, Ohio, on
 3 this 4th day of May, 2023.
 4
 5
 6
 7 
 8
 9 MUNICA K. SCHRADER
 10 NOTARY PUBLIC, STATE OF OHIO
 11 My commission expires 4-18-2025
 12
 13
 14
 15
 16
 17
 18
 19
 20
 21
 22
 23
 24
 25

Page 274

1 To: Jason R. Cheek, Esq.
 2 Re: Signature of Deponent Armand H. Antommara, M.D., Ph.D.
 3 Date Errata due back at our offices: 30 days
 4
 5 Greetings:
 6 This deposition has been requested for read and sign by
 the deponent. It is the deponent's responsibility to
 7 review the transcript, noting any changes or corrections
 on the attached PDF Errata. The deponent may fill
 8 out the Errata electronically or print and fill out
 manually.
 9
 10 Once the Errata is signed by the deponent and notarized,
 please mail it to the offices of Veritext (below).
 11
 12 When the signed Errata is returned to us, we will seal
 and forward to the taking attorney to file with the
 13 original transcript. We will also send copies of the
 Errata to all ordering parties.
 14
 15 If the signed Errata is not returned within the time
 above, the original transcript may be filed with the
 16 court without the signature of the deponent.
 17
 18 Please Email the completed errata/witness cert page
 to CS-SOUTHEAST@VERITEXT.COM
 19 or mail to
 20 Veritext Production Facility
 21 2031 Shady Crest Drive
 22 Hoover, AL 35216
 23 205-397-2397
 24
 25

Page 276

1 Page ____ Line ____ Change _____
 2 _____
 3 Reason for change _____
 4 Page ____ Line ____ Change _____
 5 _____
 6 Reason for change _____
 7 Page ____ Line ____ Change _____
 8 _____
 9 Reason for change _____
 10 Page ____ Line ____ Change _____
 11 _____
 12 Reason for change _____
 13 Page ____ Line ____ Change _____
 14 _____
 15 Reason for change _____
 16 _____
 17 _____
 18 _____
 19

DEPONENT'S SIGNATURE

 20 Sworn to and subscribed before me this ____ day of
 _____, _____.
 21 _____
 22 _____
 23 NOTARY PUBLIC / My Commission Expires: _____
 24 _____
 25 _____

Page 275

1 ERRATA for ASSIGNMENT #5816974
 2 I, the undersigned, do hereby certify that I have read the
 transcript of my testimony, and that
 3
 4 ___ There are no changes noted.
 5 ___ The following changes are noted:
 6
 Pursuant to Civil Procedure, Rule 30. ALA. CODE § 5-30(e)
 7 (2017). Rule 30(e) states any changes in form or
 substance which you desire to make to your testimony shall
 8 be entered upon the deposition with a statement of the
 reasons given for making them. To assist you in making any
 9 such corrections, please use the form below. If additional
 pages are necessary, please furnish same and attach.
 10
 11 Page ____ Line ____ Change _____
 12 _____
 13 Reason for change _____
 14 Page ____ Line ____ Change _____
 15 _____
 16 Reason for change _____
 17 Page ____ Line ____ Change _____
 18 _____
 19 Reason for change _____
 20 Page ____ Line ____ Change _____
 21 _____
 22 Reason for change _____
 23 Page ____ Line ____ Change _____
 24 _____
 25 _____

Page 276